

1 SUPREME COURT OF THE STATE OF NEW YORK

2 TRIAL TERM PART IA-6 : COUNTY OF BRONX

3 -----X

4 ANA FERNANDEZ,

5 Plaintiff(s), Index # 302486/10

6 -against-

of TED A.  
OUSMANE

7 LAUREN BETH ROSENTHAL, as the EXECUTRIX of the Estate  
SCHAEVITZ, HARRISON REDD, PLAKOTO TRANSPORT, INC.,

8 DIABY, and G TRANSPORTATION,

9 Defendant(s).

10 -----X

851 Grand Concourse  
Bronx, New York, 10451  
March 6, 2017

11

12 B E F O R E:

13

HON. JAMES W. HUBERT,  
J U S T I C E.

14

15

A P P E A R A N C E S:

16

LAW OFFICES OF MULLANEY AND GJELAJ,

P.L.L.C.

17

100-09 Metropolitan Avenue  
Forest Hills, New York 11375  
BY: PATRICK J. MULLANEY, ESQ.,  
Attorney for Plaintiff

18

19

PILLINGER, MILLER, TARALLO, LLP  
570 Taxter Road, Second Floor  
Elmsford, New York 10523  
BY: NEIL T. VEILLEUX, ESQ.,

20

21

Attorney for Defendant Lauren Beth

Rosenthal

22

23

24

25

2

1

GERBER AND GERBER  
1 Metro Tech Center  
Brooklyn, New York 11201  
BY: CARLOS CALDERON, ESQ.,  
Attorney for Defendants Reed and Plakoto

2

3

4

PICCIANO & SCAHILL, PC  
900 Merchants Concourse, Suite 310  
Westbury, New York 11590  
Attorney for Defendants G Transportation

5

and Diaby

6

7

Michele Henley,  
SENIOR COURT REPORTER

8

9

10

11

12

13

14

15

16  
17  
18  
19  
20  
21  
22  
23  
24  
25

3

Proceedings

1                                   (Whereupon, the following proceedings  
take place  
2                                   on the record, in open court, out of the hearing  
and  
3                                   presence of the jury:)  
4                                   (Whereupon, Plaintiff's exhibits 21, 22,  
23, 24,  
5                                   25, 26, 27, 28, 29, 30, 31, 32, 33, 34, 35 and 36,  
6                                   respectively, for Identification.)  
7                                   THE CLERK: Docket 302486 of 2010. Anna  
Fernandez  
8                                   against Lauren Beth Rosenthal, as the Executrix of  
the

9 Estate of Ted A. Schaevitz, Harrison Redd, Plakoto  
10 Transport, Inc., Ousmane Diaby and G  
Transportation.

11 THE COURT: All right.

12 Are all sides ready to proceed,  
plaintiff?

13 MR. MULLANEY: Yes.

14 THE COURT: Counsel for defendants?

15 MR. VEILLEUX: Yes, Your Honor.

16 MR. CALDERON: Yes, Your Honor.

17 MR. MAILLOUX: Yes, Your Honor.

18 THE COURT: Preliminarily, anything  
before we  
19 bring the jury down?

20 MR. MULLANEY: Yes, Judge.

21 We spent the last block of time  
premarking the

22 exhibits, all the medical records that came into  
the

23 subpoena medical records room.

24 My question for Your Honor was, before we  
were on

25 the record, if have you a preference if I move them  
in in

1 front of the jury or before they come down?  
2 THE COURT: I don't think moving them in  
front of  
3 the jury is, you know, significant to the outcome  
of the  
4 case one way or another. If the exhibit is utilized  
during  
5 the testimony of a witness or is published to the  
jury in  
6 some manner, or is referenced in some manner, the  
jury will  
7 understand that it is in evidence.  
8 Other than that, seeing it in evidence,  
go into  
9 evidence doesn't inform them of the contact of it  
or  
10 anything like that.  
11 MR. MULLANEY: Okay.  
12 THE COURT: To expedite the matter and to  
not  
13 create disruption during the taking of testimony,  
why don't  
14 you just tell us-- go down the list of what the  
exhibits  
15 are, how they're identified by name of some sort or  
another  
16 and stipulate that they've been received-- that all  
sides  
17 consent to their admission and have been received  
and  
18 marked. Okay. Why don't we just do that.  
19 MR. MULLANEY: Okay. I'll start with

what's been

20 marked Plaintiff's 28. It's Doctor Arden Kaisman's  
medical

21 chart.

22 THE COURT: Okay. So Plaintiff's 28 is a  
medical

23 chart by Doctor Kaisman, presumably of the  
plaintiff?

24 MR. MULLANEY: Yes, Judge.

25 MR. VEILLEUX: No objection.

5

#### Proceedings

1 MR. CALDERON: No objection.

2 MR. MAILLOUX: No objection.

3 THE COURT: Okay. So Plaintiff's 28, I  
assume it

4 has not yet been marked but that will be marked as  
received

5 in evidence.

6 MR. MULLANEY: Correct.

7 THE COURT: Next?

8 MR. MULLANEY: 29 is a certified medical  
report of

9 Surgicare Ambulatory Medical Center.

10 THE COURT: Have they seen it?

11 You might want to share it with them.

12 MR. VEILLEUX: Judge, I think there's  
some  
13 redaction that's going to be need to be done with  
at least  
14 this record that's been offered.

15 THE COURT: All right. To be redacted.

16 MR. MULLANEY: Judge, I'll offer it in  
evidence  
17 subject to any redactions.

18 THE COURT: Okay.

19 Is that fine with the counsel for the  
defendants?

20 MR. VEILLEUX: No objection.

21 MR. CALDERON: No objection.

22 THE COURT: Okay.

23 MR. MULLANEY: 30 is the Bellevue  
Hospital  
24 certified billing records.

25 THE COURT: So where are we at on the  
Bellevue

Proceedings

1 billing records. Good?

2 MR. VEILLEUX: No objection.

3 THE COURT: No objection. Go ahead. Next.

St. 4 MR. MULLANEY: 31. Plaintiff's 31 is the

5 Barnabas certified billing records.

6 THE COURT: Counsel?

There's 7 MR. MULLANEY: I would object, Judge.

certainly 8 reference to No Fault insurance on this, would

covered by No 9 have to be redacted, and all these bills were

10 Fault.

11 THE COURT: Right. I understand.

covered 12 They're not special damages if they were

with them 13 by No Fault but-- look, I don't have any problem

they're in 14 being marked into evidence, I guess, but once

15 evidence they can be used in some manner obviously.

reference 16 MR. VEILLEUX: My objection is there's

17 to insurance, No Fault.

materiality 18 THE COURT: I get it. But the issue of

point of 19 and relevance is also appropriate. What is the

Barnabas if 20 billing records from, I guess, Bellevue or St.

21 they're covered by No Fault?

22 MR. MULLANEY: Sure.

23 Judge, again, billing records it's not

with this

24 expenses, the

25 treatment

witness. We have a claim for future medical

costs that No Fault covers for certain medical

7

### Proceedings

1 testifies to

2 testify about.

3

could differ from the costs that Doctor Dassa

for the future procedures that he's going to

So, again--

4 will but,

5

THE COURT: That's true. Okay. Probably

you know, that's not my question.

6 past bills,

7

My question, what is the relevance of

some kind

that have nothing to do with, I assume-- is there

8 recovery by

9

of claim that there would be a lien against any

No Fault, does No Fault have a lien?

10

MR. MULLANEY: No, Judge.

11

as to

It's relevant to the jury's determination

12 Doctor

the validity of the future cost as testified to by

13

Dassa and any other defense witnesses.

14 THE COURT: I'm going to reserve on 30  
and 31. I  
15 don't know about that. I'm not seeing that  
connection quite  
16 frankly. A doctor can come in that--  
17 It is possible for a doctor to come in  
and make  
18 commentary about costs of medical procedures. It is  
possible  
19 to do that. Sometimes they're going a little bit  
beyond  
20 their-- the scope of their expertise or even their  
own  
21 knowledge in that regard but let's take an example.  
22 Certainly there are plenty of experts who  
come in  
23 and say things like the witness, the plaintiff,  
whoever, is  
24 going to need knee surgery and probably a knee  
replacement,  
25 and so someone may solicit from them and say, okay,  
well

Proceedings

1 what is the surgical operation typically cost and  
they'll  
2 say it costs, I don't know, thirty thousand  
dollars. I'm

3 just throwing a number out there. And then somebody  
else  
4 will come and say no. That's ridiculous. It will  
only cost  
5 ten thousand dollars, something like that. Then--  
what about  
6 a knee replacement, how much does that cost, a  
hundred and  
7 twenty-five thousand dollars. Someone else, oh,  
that's  
8 ridiculous.

9 MR. MULLANEY: I'll address it when we  
get to it--

10 THE COURT: Without any reference to  
well you  
11 know in the past some of her expenses or some of  
the  
12 witnesses or plaintiff's expenses were covered by  
No Fault  
13 and this is what they billed to No Fault, so that's  
the  
14 actual number.

15 MR. MULLANEY: That's not true, Judge--

16 THE COURT: I'm just saying. I'm just  
saying. I  
17 have never heard that kind of testimony. I don't  
know how  
18 necessarily it would be admissible in that regard  
but I  
19 don't know.

20 MR. MULLANEY: All right.

21 THE COURT: I'm going to reserve on those

two.

22 We'll take it up later. Next. 32?

23 MR. MULLANEY: I'm at 32. Plaintiff's 32  
is

24 offered into evidence as a St. Barnabas medical  
chart, the

25 films.

9

Proceedings

1 THE COURT: So Plaintiff's 32 is St.  
Barnabas

2 medical charts. Okay.

3 MR. VEILLEUX: No objection.

4 THE COURT: Okay.

5 MR. MULLANEY: 33, Your Honor, is the St.  
Barnabas

6 Hospital medical chart, the documentary records.

7 THE COURT: St. Barnabas medical records.  
Right?

8 MR. MULLANEY: Correct.

9 THE COURT: Counsels?

10 MR. CALDERON: Judge, subject to  
redaction, I have

11 no objection.

12 MR. MULLANEY: Yes.

13 MR. VEILLEUX: Subject to redaction, Your

Honor,

The 14 and this document does not appear to be certified.

15 certification page is blank.

16 THE COURT: Okay.

17 MR. VEILLEUX: There is no certification.

18 THE COURT: I don't have it.

19 So this is 33. Is that what you're  
talking  
20 about?

21 MR. VEILLEUX: No objection, Your Honor.  
Subject  
22 to redaction of insurance information.

23 THE COURT: So 33 is subject to  
redaction. 34?

24 MR. MULLANEY: 34, Your Honor, is the  
certified

25 All Med Medical and Rehabilitation Center chart. I

10

#### Proceedings

1 apologize. Plaintiff's 34 is the Bellevue chart.

2 MR. VEILLEUX: No objection subject to  
redaction,  
3 of course.

4 THE COURT: Subject to redaction. Okay.

5 MR. MULLANEY: Plaintiff's Exhibit 35 is

the All

6 Med Medical and Rehabilitation Center, certified  
medical 7 chart.

8 MR. VEILLEUX: Judge, I think if we-- I  
think this 9 record should be offered with the doctor. These  
are his 10 records. Doctor Dassa is affiliated with All Med.  
I think 11 they should come in with him.

12 THE COURT: As opposed to certified  
medical 13 records per se.

14 MR. MULLANEY: We complied with the CPLR.  
It 15 comes in as a certified office record just like all  
the 16 other ones. I have a live witness whose going to  
testify 17 about certain portions but I don't want to have to  
go 18 through the process of going through each record.

19 In the interest of time I'll try to get  
Doctor 20 Dassa out here by lunch.

21 THE COURT: Right.  
22 You know, off the top of my head it may  
be 23 admissible, certified I have to look. I can't  
always

24 remember these things off the top of my head.  
25 MR. MULLANEY: It's admissible under  
45:18 just

11

Proceedings

1 like all the other business records that come in.  
2 MR. VEILLEUX: There is a certification,  
Your Honor.  
3

4 THE COURT: Does it need to be redacted  
in some way?  
5

6 MR. VEILLEUX: Subject to redaction. I  
didn't see  
7 any insurance information.

8 THE COURT: It on the safe side. Okay.  
Next. 36?

9 MR. MULLANEY: Plaintiff's 36 are the  
Doshi  
10 Diagnostic films.

11 THE COURT: What are they, MRI films?

12 MR. MULLANEY: Yes, Judge. MRI films.

13 THE COURT: Is any of this stuff going to  
need the  
14 shadow box?

15 MR. MULLANEY: No.

16 MR. VEILLEUX: No objection, Your Honor.  
17 MR. MULLANEY: One last one, Judge.  
Plaintiff's  
18 37. It's the Bainbridge Avenue MRI films.  
19 MR. VEILLEUX: No objection, Your Honor.  
20 THE COURT: All right. Without objection.  
21 MR. MULLANEY: That's it, Judge.  
22 (Whereupon, the items previously referred  
to are  
23 received and marked as Plaintiff's Exhibits 21, 22,  
23, 24,  
24 25, 26, 27, 28, 32, 33, 34, 35, 36 and 47, in  
Evidence,  
25 respectively.)

12

Dr. Dassa – For Plaintiff – Direct

1 MR. MULLANEY: Judge, I have two models  
to mark.  
2 (Whereupon, the items previously referred  
to are  
3 received and marked as Plaintiff's Exhibits 38 and  
39 for  
4 Identification.).  
5 THE COURT OFFICER: All rise, jury  
entering.  
6 (Whereupon, the following proceedings  
take place

7 on the record, in open court, in the hearing and  
presence of

8 the jury:)

9 THE COURT: You may be seated. All right.

10 Ladies and gentlemen of the jury, we are  
going to

11 resume with the presentation of evidence by the  
plaintiff.

12 By the way, good morning.

13 THE JURY: Good morning.

14 THE COURT: I hope you had a good  
weekend.

15 Counsel, you may call your next witness.

16 MR. MULLANEY: Thank you, Your Honor.

17 At this time the Plaintiff calls Doctor  
Gabriel

18 Dassa.

19 G A B R I E L D A S S A, M.D.,

20 a witness called by and on behalf of the Plaintiff,  
having

21 first been duly sworn, testified as follows:

22 THE WITNESS: Gabriel Dassa. 2770 Third  
Avenue.

23 Bronx, New York. 10455.

24 THE COURT: All right.

25 Doctor, during your testimony, please  
keep your

Dr. Dassa - For Plaintiff - Direct

1 voice up so that the jurors and everyone else can  
hear your  
2 testimony. Also speak slowly enough so that the  
reporter,  
3 seated in front of me, can accurately record your  
testimony.  
4 Please wait until the question has been fully asked  
before  
5 giving your response so that the question and  
answer may be  
6 accurately recorded.

7 If at any time you do not understand the  
question,  
8 please so indicate and we'll have it rephrased.

9 Counsel, you may inquire.

10 MR. MULLANEY: Thank you, Your Honor.

11 DIRECT EXAMINATION

12 BY MR. MULLANEY:

13 Q Good morning doctor.

14 A Good morning.

15 Q Are you licensed to practice medicine in the  
State of  
16 New York?

17 A Yes, I am.

18 Q When were you licensed?

19 A 1992.

20 Q Can you please describe for the jury your  
education,  
21 training and experience, please?

22 A Yes. I did complete a four year premedical  
training  
23 program at Fordham University in the Bronx, New York. I  
24 completed that in 1986. I attended medical school at  
New York  
25 College of Osteopathic Medicine in 1986 where I  
commenced and I

14

Dr. Dassa - For Plaintiff - Direct

1 completed it in 1991.  
2 I had applied to and was accepted to an  
orthopedic  
3 surgery residency at the Albert Einstein College of  
Medicine  
4 program in orthopedic surgery. Prior to commencing that  
training  
5 I had to complete a year of internship year training  
which I did  
6 in internal medicine at Coney Island hospital in  
Brooklyn, New  
7 York.

8 I then had to do a presurgical year of  
general  
9 surgery at St. Barnabas Hospital that I did complete  
and that

10 was from 1992 to 1993 and, then, I commenced my  
orthopedic  
11 surgery residency program at the Albert Einstein  
College of  
12 Medicine program and then opted out of graduating from  
that year  
13 to do an extra year in subspeciality training in hand  
surgery at  
14 New York Joint Disease, NYU medical program.

15 Q Can you briefly describe for the jury and  
court what  
16 the field of orthopedics is?

17 A Yes.

18 Well, there are several specialties in  
medicine.

19 There are different areas of focus in the human body.  
My focus

20 is the musculoskeletal system. So that would be  
conditions or

21 traumas that would effect joints, tendons, ligaments,  
bones in

22 the spine and neck, fractures, conditions of all those  
areas,

23 and I perform surgery, on a weekly basis, on those  
areas.

24 Q Are you board certified?

25 A Yes, sir.

Dr. Dassa - For Plaintiff - Direct

1 Q In orthopedic surgery?

2 A Yes, sir.

3 Q And just please briefly describe for the jury,  
because

4 they haven't heard that term yet, what does board  
certification

5 mean?

6 A There are different types of medical training  
where you

7 complete what is considered to be accredited medical  
training

8 and you are deemed to be board eligible.

9 The program that I completed was an  
accredited

10 program which deemed me to be board eligible or stating  
that I

11 was trained sufficiently to sit for an exam.

12 I did complete an exam which consisted of  
several

13 parts. One part was a written part, then there was an  
oral

14 part. I achieved my certification in 1991 and then--  
I'm sorry--

15 in 2001 and then I had to recertify in 2010.

16 So I did that process twice and that  
indicates

17 that your training and your medical knowledge is up to  
date and

18 you're functioning as a physician in keeping with the  
standard

19 that is set forth by the peers in the field.

20 Q All right.

21 Can you just describe your current  
practice, your

22 current medical practice?

23 A Yes, sir.

24 Well, I have a practice of general  
orthopedic

25 surgery. I do that approximately sixty-five to seventy  
percent

16

Dr. Dassa - For Plaintiff - Direct

1 of my practice and I'm also a hand specialist so I do,  
at times,

2 see hand problems that other orthopedic surgeons who do  
not have

3 comfort with hand problems they refer those patients to  
me.

4 Q And what about knee joint problems and lumbar  
spine

5 problems, do you treat those, sir?

6 A Yes, sir.

7 Q Okay.

8 On an average week or month, however you  
want to

9 put it, how many surgeries do you perform?

10 A I mean it's hard to quantify on a week or

month. I

11 would say over the last three years it's been between  
five

12 hundred and fifty to six hundred surgeries a year of  
which at

13 least fifty percent were on the knee, and I don't  
operate on the

14 spine. So I treat the spine in my office but I don't do  
surgery

15 on the spine.

16 Q Okay.

17 Now at some point were you affiliated  
with a

18 medical clinic, a practice call All Med here in the  
Bronx?

19 A Yes.

20 Q And while you were an orthopedic surgeon at  
All Med,

21 did Ana Fernandez come under your care?

22 A Yes.

23 Q Do you have your office chart with you today?

24 A I do.

25 Q All right.

17

Dr. Dassa - For Plaintiff - Direct

1  
marked into

MR. MULLANEY: The All Med chart is

2 evidence, just for purposes of the record, as  
Plaintiff's

3 35.

4 Q I want to direct your attention to the first  
date that

5 you saw Ana Fernandez?

6 A Yes, sir.

7 Q And when was that?

8 A That was September 4th, 2009.

9 Q And when she came to you, what problems or  
complaints

10 did she make?

11 A She presented with complaints of bilateral  
knee pain as

12 well as pain in her lower back which was being referred  
to her

13 lower extremities associated with numbness and  
tingling.

14 Q When you say bilateral, can you just describe  
that

15 term, please?

16 A Well, you have two legs and it's effecting  
both legs.

17 Q So bilateral just means both legs?

18 A Yes.

19 Q Okay.

20 Did you take a history from her on that  
date?

21 A Yes.

22 Q And what did you learn?

23 A She presented with a history that she was 35  
years old

24 at the time. She reported that she was involved in a  
accident on

25 8/31 of 2009. She had reported that she was struck by a  
car and

18

Dr. Dassa - For Plaintiff - Direct

1 essentially began feeling pain in her lower back, both  
hips and

2 both knees.

3 Her history was that she was taken to the  
hospital

4 and essentially had x-rays taken which were essentially  
negative

5 for fractures and presented with complaints of back  
pain.

6 She described back pain as being  
exacerbated by

7 prolonged sitting and walking. She also had pain in  
both hips

8 and both knees which she described that was made worst  
with

9 going up and down the stairs or getting up from a  
chair.

10 She denied any prior history of  
complaints to

11 those areas. She stated that she was utilizing Motrin

for pain

12 that was prescribed by the hospital with minimal relief  
of those

13 symptoms and she presented because of persistence  
symptoms she

14 described.

15 Q Now, after you took a history from Anna and  
learned

16 about her accident and her medical course prior to  
getting to

17 you, what did you do next in your initial approach?

18 A We had a physical examination.

19 Q And what does that consist of?

20 A Well, we did an exam of her lower back, her  
lumbar

21 spine, her hips and both knees.

22 Essentially with the lower back we did  
what is

23 called palpation which is actually physically touching  
the soft

24 tissues of the spine and that revealed that she had  
tenderness

25 to palpation from L1 through L5 and at the L5-S1 there  
was

19

Dr. Dassa - For Plaintiff - Direct

1 paraspinal muscle spasm.

2 Q All right. We'll define those terms in a  
little bit.

3 But spasms, you felt them with your own  
hands when

4 you palpated her?

5 A Yes. When the muscles were palpated and  
touched. They

6 were tight and indicated spasm.

7 Q Is that something that can be faked?

8 A No, sir.

9 Q Okay. All right.

10 Doctor Dassa, I'm trying to do my best to  
get you

11 out of here by 1. So I'm going to try to condense now.  
Can you

12 just tell the jury about your positive findings on  
range of

13 motion and any other tests that you directed to the  
knees and to

14 the lumbar spine?

15 A Yes. Well, for the range of motion, the  
flexion--

16 again, we're describing motion of the lower back, the  
lumbar

17 spine. So flexion would be bending forward at the  
waist.

18 Extension would be bending back, rotation and then side  
bending

19 to the right and left.

20 Her ability to flex was measured to be 50  
and

21 normal is 90. Her extension was 15 and normal is 30.  
The lateral

22 bending was 20 and normal is 40 and the lateral  
rotation was 15

23 and normal is 30. She did have a knee exam done.  
Pertinent to

24 the range of motion, both knees were able to bend.

25 So if this is a straight knee bending,  
the knee

20

Dr. Dassa - For Plaintiff - Direct

1 would be bending at this knuckle. Normally it's one  
hundred and

2 forty degrees. She was only able to bend both knees to  
one

3 hundred and twenty degrees. So there was loss of twenty  
degrees

4 of movement to both knees. It was also a moderate  
amount of

5 swelling noted to the right and left knee, and there  
was also

6 tenderness. So when the knee was palpated or touched  
there was

7 tenderness noted around the knee diffusely and the left  
knee was

8 found to be more severe in tenderness than the right  
knee.

9 Q Okay.

10 And did you do a visual inspection of her

legs,

11 knees?

12 A Yes, sir.

13 Q And what did it reveal?

14 A Again, she did have a large bruise which was described

15 as an ecchymosis over the right leg measuring 8 centimeters by 4

16 centimeters. So there was a large black and blue over the right

17 leg.

18 Q What does that indicate to you?

19 A There was some type of trauma or impact to the leg.

20 Q Doctor, with the Court's permission, this might be a

21 good time to use the knee model marked as Plaintiff's 39 for

22 Identification just to describe the different parts of the

23 anatomy of the knee joint that you just went through.

24 A Yes, sir.

25 THE COURT: If you'll give it to the court

21

Dr. Dassa - For Plaintiff - Direct

1 officer, she will give it to the witness.

2 Q Can you step down and just show--  
3 Now, that model, is that anatomically  
correct?  
4 A Yes.  
5 Q Okay.  
6 A So what we're looking at here is an anatomical  
model of  
7 the knee joint, and it's facing you. You could see  
it's the  
8 right knee. How do you know that because of the fibula  
bone  
9 which is the skinny bone is on the outside part, on the  
right  
10 side. So when you get is a look at the construct of a  
knee, the  
11 joint.  
12 The joint is a connection of bones that  
are held  
13 together by ligaments and what it does, it's a point of  
movement  
14 at the joint. So it's similar to a hinge of a door. So  
it  
15 facilitates movement. So the components of the knee  
joint are  
16 broken down into individual bones. So the major bone on  
the  
17 bottom of the knee is the top of your shinbone. Okay.  
And that  
18 is call your tibia and the bottom of your thigh bone,  
which is  
19 on the right knee, which is your femur and, again, the  
fibula

20 which is another component and then you have the small  
circular  
21 bone which is called your patella or your kneecap and  
they all  
22 together form this compartment called the knee joint  
which  
23 allows movement between your thigh bone and your  
shinbone.  
24 Q And what is that disc? It looks like it sits  
in the  
25 middle of those two bones?

22

Dr. Dassa - For Plaintiff - Direct

1 A So when you look at the things that comprise  
the joint,  
2 in addition to the bony structures you have soft tissue  
3 structures. So on the outside of the knee you have  
ligamentous  
4 structures. These are your collateral ligaments. So on  
the  
5 outside of the knee which is the collateral, the  
lateral  
6 collateral ligaments and inside is it the knee  
collateral  
7 ligaments, so inside of the body is medial, outside is  
lateral.  
8 As we come inside you now have internal  
ligaments





18 A Yes, sir.

19 Q And please describe them briefly to the jury  
after that

20 first visit?

21 A Well, one would be muscular ligamentous injury  
to the

22 lumbar spine, the lower back, and it would be lumbar  
sprain,,

23 rule out disc displacement, bilateral hip sprain,  
bilateral knee

24 sprain,, rule out internal derangement. And, again,  
the

25 diagnosis makes reference to the bruise on the right  
leg. So it

24

Dr. Dassa - For Plaintiff - Direct

1 was a right leg interior ecchymosis.

2 Q Okay.

3 And did you prescribe future treatment?

4 A Yes, sir.

5 Q And what was the treatment plan?

6 A Well, you know, as would be customary in the  
absence of

7 a broken bone, she was sent for some physical therapy  
to try to

8 manage her pain symptoms.

9 She was referred out for diagnostic

testing, MRI

10 for lower back, both hips and both knees. She was  
prescribed a

11 narcotic painkiller for her pain and a muscle relaxant.  
She was

12 given a lumbar brace for her back and she was also sent  
out for

13 x-rays of both knees.

14 Q All right.

15 Now, skipping ahead to the next time you  
saw her,

16 October 2nd, 2009.

17 A Yes, sir.

18 Q By that time did you--

19 Were the MRIs done of the body parts that  
you just

20 described?

21 A Yes, sir.

22 Q Did you review the findings with her on that  
day?

23 A Yes, I did.

24 Q And please share with the jury what your  
findings

25 were?

1           A     Well, you know, she did the diagnostic  
studies. An MRI

2           is a test that we utilize to identify soft tissue  
injuries. So

3           in the case of the spine we're looking for damage to  
the

4           ligaments and the discs. In the case of the knee we  
would be

5           looking for ligamentous damage, cartilage damage,  
meniscus

6           damage.

7                                 In the case of the back there was a disc

8           herniation noted on that MRI that was done on September  
16 of

9           2009. The report and the study revealed that the disc--

10                                And when we look at discs in the spine  
the analogy

11          I'll use is that of the jelly donut. So the disc is  
around

12          structure that is made up of a wall of connective  
tissue and in

13          the center that have disc is fluid.

14                                So when the spine is maintaining a  
posture the

15          pressure that gravity and the weight of the spine  
excerpts on

16          itself will be supported by the disc, similar to the  
meniscus,

17          and then that central fluid portion pressure rises to  
maintain

18          that support. And in the case where the wall of the  
disc is

19 torn, the disc material, that liquid will squirt  
through the  
20 tear.  
21 So if you every bit into a jelly donut  
and the  
22 jelly squirted out the side from the pressure, you  
know, the  
23 disc in a similar manner violates the wall through the  
tear and  
24 it actually leaks out of the central portion where it  
would  
25 normally be, and in the particular case of the spine it  
causes

26

Dr. Dassa - For Plaintiff - Direct

1 pressure on the nerves where they exit the spine and  
this  
2 specific level that it found-- because there are five  
levels in  
3 the spine so at the L4 or between the fourth and fifth  
lumbar  
4 segment was where the disc material was found to be  
herniated or  
5 out of place pressing on the nerve root on the left  
side.

6 MR. MULLANEY: Judge, with your  
permission, I'd

7 like to show you the doctor what's been marked  
Plaintiff's

8 26 for Identification?  
9 THE COURT: Yes. For the record, 26 is?  
10 MR. VEILLEUX: For identification, no  
objection.  
11 THE COURT: Plaintiff's 26 being shown to  
the--  
12 for Identification being shown to the witness.  
13 Q Doctor, I want you to take a look at  
Plaintiff's 26 for  
14 Identification and ask you if you're familiar with what  
appears  
15 on that board?  
16 A Yes, sir.  
17 Q Okay.  
18 Have you seen this board prior to today?  
19 A Yes.  
20 Q I shared it with you prior to your appearance  
here  
21 today. Right?  
22 A Yes, sir.  
23 Q And we reviewed it together?  
24 A Yes, we did.  
25 Q And the film images of the September 16th,  
2009 lumbar

they  
and exact  
16th, 2009

1 MRI, that you were just referencing the film image as  
2 appeared on Plaintiff's 26, are they true, identical  
3 copies of the film images from the original September  
4 MRI?

5 A Yes.

information  
6 Q And they have all the personal identifying  
7 of Miss Fernandez in the margins?

8 A Yes, sir.

and above  
9 Q And the illustration that appears beside it

Correct?  
10 it, those are not-- that's not Ana Fernandez' body.

11 A That is correct.

12 Q Those are medical illustrations. Correct?

13 A Yes, they are.

would  
14 Q And would those medical illustrations, made in  
15 explaining your testimony to the jury, and would it--

16 those illustrations aid the jury in understanding your  
17 testimony?

18 A Yes, sir.

19 MR. MULLANEY: Okay.

26 into  
20 Judge, at this time I offer Plaintiff's

21 evidence for the films only. The illustrations are

22 demonstrative only.

23 THE COURT: Counsels?

24 MR. VEILLEUX: Subject to redaction of  
the  
25 illustrations that appear on that exhibit, Judge. I  
don't

28

Dr. Dassa - For Plaintiff - Direct

1 have an objection to the films themselves.

2 THE COURT: Okay.

3 Ladies and gentlemen of the jury, we're  
going to  
4 admit this into evidence. I want to-- you to  
understand that  
5 the illustrations are not evidence upon which you  
can draw  
6 any conclusions regarding injury or anything of  
that nature.

7 Obviously any testimony of the witness to  
the  
8 extent that that testimony is based upon the actual  
MRI  
9 evidence or his own observations, let's say, of the  
MRI. His  
10 testimony of course would be admissible but what is  
depicted  
11 in the illustration is just a depiction and is not  
to be

12 depicted upon

13 it was

14 during the

15

16

17

18 to is

19 Evidence.)

20 marked into

21

22 order

23 Please speak

24

25 just

relied upon by you. The MRI copies that are

the exhibit, those are to be treated the same as if

an actual MRI shown to you here by some mechanism

course of the trial.

MR. MULLANEY: Thank you, Judge.

THE COURT: And it may be marked.

(Whereupon, the item previously referred

received and marked as Plaintiff's Exhibit 26 in

THE COURT OFFICER: Plaintiff's 26 is

evidence.

Q Doctor Dassa, if you could describe-- whatever

you want, the anatomy and the findings of the MRI.

up so everybody on the jury could hear you.

THE COURT: Before you do that, let me

29

Dr. Dassa - For Plaintiff - Direct

1 you'd rather

ascertain, can you gentlemen see it because if

2 move your position up here, you may do that.

3 MR. VEILLEUX: That would be better,  
Judge. Thank

4 you.

5 THE COURT: Yes.

6 A Okay. We're essentially looking at two forms  
of

7 information. One is a copy of the MRI films done on  
9/26 of 2009

8 on the lumbar spine or the lower back of Ana Fernandez.

9 So, as I stated earlier, going to the  
graphic now,

10 which is not her. It's just a drawing.

11 The lumbar spine is the lower back. So  
there are

12 one, two, three, four, five lumbar segments that are  
bone

13 scaffolding and between those segments are soft tissues  
which

14 are call intravertebral discs.

15 So what are we looking at on this side is  
just the

16 drawing of a disc. This is the outside connective  
tissue wall

17 and in the center you have the fluid. So you have the  
annulus

18 and then you have the nucleus which is the center of  
the disc.

19 Now, in a normal spinal anatomy you have  
a spinal

20 cord that travels inside this cage and that's the  
analogy that

21 is made of the bone that protects the spinal cord. And  
from the  
22 spinal cord comes nerve roots which exit on each side  
of the  
23 bone.

24 So in this particular case you can see  
the spinal  
25 nerve roots. Now in the case where the disc is  
contained in

30

Dr. Dassa - For Plaintiff - Direct

1 normal anatomy, the windows are the foramens, are the  
nerves  
2 exit, the spine are clear and there's nothing  
inhibiting the  
3 nerve or pressing on the nerve.

4 So in the case of a disc injury, and  
again this  
5 lower graphic shows the disc wall which is the annulus  
with a  
6 tear, and this fluid now seeps out of the disc and now  
presses  
7 on the nerve root causing a pinched nerve in layman  
terms.

8 So if you compare the two where the disc  
is intact  
9 and you can see the space is open versus where the disc  
is

10 injured and the disc material now is pressing on the  
nerve root,  
11 you can see graphically the difference between the two.  
12 Now, if we go to the MRI, what are we  
looking at.  
13 We're looking at, again, the anatomic version of this  
drawing  
14 which is actually the patient's anatomy. So we have the  
five,  
15 four, three, two. So it's cut off on the upper level  
there but  
16 you can see at the L4-5 disc and, again, we use  
comparisons. So  
17 the L3-4 disc you can see number one, it's healthy in  
appearance  
18 so it appears as this bright, white structure whereas  
the one  
19 that is injured you can see it's darker and gray and  
you can see  
20 that the disc material is contained in the disc up here  
whereas  
21 this disc material is actually leaching out into the  
space.

22 MR. VEILLEUX: Judge, can we have a brief

23 sidebar?

24 THE COURT: Yes. You may be seated,  
doctor.

25 (Whereupon, a discussion takes place off  
the

Dr. Dassa – For Plaintiff – Direct

1 record, at the sidebar, among the Court and  
counsel:)

2 (Whereupon, the following proceedings  
take place

3 on the record, in open court, in the hearing and  
presence of

4 the jury:)

5 THE COURT: Ladies and gentlemen of the  
jury, I

6 have been advised that you could use a brief recess  
at this

7 time. This is a good time to do it. So if you would  
please

8 follow the directions of the court officer, she  
will escort

9 you to your normal resting place and we will call  
you back

10 here within the next five or ten minutes.

11 (Whereupon, the jury exited the  
courtroom.)

12 (Whereupon, a recess was taken.)

13 (Whereupon, the following proceedings  
take place

14 on the record, in open court, out of the hearing  
and

15 presence of the jury:)

16 THE COURT: The jury is not present in  
the

17 courtroom.



illustrations

8 illustrating in one instance a lateral view of the  
lower 9 lumbar region specifically the area between--  
starting at 10 the L4 level and extending down to the L5 level and  
the S-- 11 S1?

12 MR. VEILLEUX: Yes.

13 THE COURT: And S1 region underneath  
there. That's 14 a lateral view, a side view. The other is what I  
would call 15 a cross section view from above of the L5-- or make  
it an 16 axial image. An axial image of the L5, L4 disc and  
in both 17 of these two images, MRI images overridden or, if  
you will, 18 or enhanced by an artistic imaging are what are  
called or 19 what appear to be, in the instance of the cross  
sectional 20 view, the herniation of the disc at L4-L5 and in  
the axial 21 view, the herniation of the L4-L5 disc.

22 The objection is that the jury should not  
be 23 allowed to view much less draw any assumption from  
the 24 artistic rendering.

25 Is that stating your objection?

Dr. Dassa - For Plaintiff - Direct

1 MR. VEILLEUX: Yes, Judge.

2 THE COURT: I'll hear you, counsel.

3 MR. MULLANEY: Thank you, Judge.

4 Again, I think the charge at the end of  
the case,

5 that they're going to get regarding demonstrative  
is that

6 they're not to draw any inferences.

7 The foundation that I laid with Doctor  
Dassa is

8 the textbook foundation is these are illustrations,  
that

9 these illustrations are anatomically correct--

10 THE COURT: Well, they're not  
anatomically

11 correct if they're showing a disc herniation that  
doesn't

12 appear or is not-- or is different from what is  
actually on

13 the MRI.

14 MR. MULLANEY: Okay.

15 THE COURT: So we don't spend all day on  
this.

16 For example, the MRI image, I would say  
that to

17 the lay person viewing it, I don't see in the  
actual image  
18 what is depicted artistically in the film next to  
it.  
19 MR. MULLANEY: I understand.  
20 THE COURT: Now, you can argue, perhaps,  
that oh  
21 yeah, it's there. You got to look really closely,  
Judge.  
22 But the fact that you might have to look really  
closely is  
23 probably part of the issue in the case as to  
whether or not  
24 it's positive or negative, and I have a funny  
feeling that  
25 at some point there will be expert testimony that's  
going to

34

Dr. Dassa - For Plaintiff - Direct

1 say exactly that.  
2 MR. MULLANEY: Sure. Judge--  
3 THE COURT: Well not sure, yeah.  
4 MR. MULLANEY: I'm just saying my  
foundation--  
5 THE COURT: Stop. Stop. Stop. I know  
what your  
6 interest of time is but I also have an interest in  
other

the 7 things as well as your time. I have an interest in  
8 jury's time and accurate representation.

I guess 9 The same thing is true of the sagittal--  
10 it's called the cross section image that has on top  
of it 11 the artistic enhancement, if you will. Again, is  
there 12 something there that could suggest in the actual  
MRI image 13 that there is a secretion into-- out of the disc  
and into 14 the nerve root area, yeah. I would say it's a  
little better 15 or more-- it's a little more evident in the MRI  
than it is 16 in the axial view but it's not the same. And,  
again, it's 17 an enhancement.

18 MR. MULLANEY: Judge, I haven't finished  
saying my 19 foundation. Judge, that doesn't matter.

20 THE COURT: I'm sorry.

21 MR. MULLANEY: He could make a drawing on  
a 22 napkin. If he answers the question that that would  
aid his 23 explanation to the jury, and it would aid the  
jury's 24 understanding of his testimony to the jury. It's

25 demonstrative proof.

35

Dr. Dassa - For Plaintiff - Direct

1 THE COURT: No. It's not demonstrative  
proof.

2 You're wrong. Hold it. Stop. You are wrong. Now  
don't tell

3 me you could do it--

4 MR. MULLANEY: I said--

5 THE COURT: Stop. Stop. And don't tell  
me

6 anything about a napkin. I don't want to hear about  
that.

7 This is not a demonstrative thing. This is a  
representation

8 of what actually is there.

9 Unless you're prepared to say to the  
witness now,

10 doctor, you can't see that in the MRI so this is  
just a

11 demonstrative exhibit of what it would be if that  
was true.

12 MR. MULLANEY: No, Judge--

13 THE COURT: But you don't see that in the  
MRI. If

14 you're not prepared to say that then my  
instructions to the

15 jury will be they are to ignore what is depicted

16                   artistically in these two sections and they will  
have to  
17                   judge for themselves whether from the exhibits  
shown of the  
18                   MRI there indeed is a herniation. Okay. That's what  
is going  
19                   to happen.  
20                   MR. MULLANEY: I understand that, Judge.  
21                   THE COURT: Then good--  
22                   MR. MULLANEY: But you gave me--  
23                   THE COURT: Let's have the jury.  
24                   MR. VEILLEUX: I would ask that you  
instruct the  
25                   jury about the other blow ups.

36

Dr. Dassa - For Plaintiff - Direct

1                   THE COURT: Yes, sir, go ahead put it on  
the  
2                   record?  
3                   MR. MULLANEY: For the record, the-- when  
I  
4                   offered this into evidence you said just that to  
the jury--  
5                   THE COURT: No, I didn't say that  
because I  
6                   didn't see-- I said they are no ignore the artistic

7 rendering.

8 MR. MULLANEY: Right.

9 THE COURT: But the testimony that's  
being done

10 now to show, you just said this is an accurate  
enhancement

11 of what is in the MRI.

12 MR. MULLANEY: No, I didn't, Judge. This  
is a

13 medical illustration, that's not Ana Fernandez.  
This is a

14 medical illustration.

15 THE COURT: Then it's a medical  
illustration of

16 what might be, not what is.

17 MR. MULLANEY: It goes to the weight of  
his

18 testimony--

19 THE COURT: No it doesn't. It goes to  
20 admissibility.

21 MR. MULLANEY: I'm not asking for it to  
be

22 admitted. Wait--

23 THE COURT: Don't use the word wait.

24 MR. MULLANEY: Judge--

25 THE COURT: You're confusing apples and  
oranges.

Dr. Dassa - For Plaintiff - Direct

1 I'm pretty versed on what the rules of evidence  
are.

2 MR. MULLANEY: I understand that, Judge.

3 THE COURT: Believe it or not.

4 MR. MULLANEY: All I'm saying is these  
are the

5 only images so this is an exhibit that they can  
take to the

6 juryroom. You explained that to them.

7 THE COURT: Right. But he's asking for  
something

8 more. He's asking for something that is what he  
says is

9 prejudicial that needs to be cured and clarified.  
Forget

10 about cured but clarified to the jury and I'm  
prepared to do

11 that.

12 MR. MULLANEY: All right.

13 THE COURT: All right. Let's have jury  
back.

14 THE COURT: Please bring the jury in.

15 THE COURT OFFICER: All rise, jury  
entering.

16 (Whereupon, the following proceedings  
take place

17 on the record, in open court, in the hearing and  
presence of

18 the jury:)  
19 THE COURT: The jury of course may be  
seated.  
20 If I could have your assistance, officer.  
If you  
21 could hand me that chart right there, please.  
22 Ladies and gentlemen of the jury, just  
for  
23 clarification. As I have indicated to you  
previously, these  
24 are artistic renderings, okay, and they are not to  
be  
25 regarded as evidence in this case.

38

Dr. Dassa - For Plaintiff - Direct

1 The artistic rendering located here on  
the lower--  
2 I'll call it as you're facing the item on the lower  
right  
3 hand is basically an artistic overlay on top of  
what is  
4 immediately to its left, and I'm calling that the  
axial view  
5 of the disc between the L4 and L5 structural  
vertebras, the  
6 vertebral members. So you're looking down. And this  
is a  
7 cross section of the side view of the same thing.



Dr. Dassa - For Plaintiff - Direct

1 picture of her. That's an illustration?

2 A Yes, sir.

3 Q Illustration?

4 A Yes, sir.

5 Q Illustration?

6 A Yes.

7 Q Real MRI. That's her body?

8 A Yes.

9 Q This is her body?

10 A Yes.

11 Q This is her body?

12 A Yes.

13 Q Okay.

14 The herniation that's illustrated over  
here and

15 that's illustrated over here, do you see the herniation  
such as

16 that in the real MRI?

17 A Yes, I do.

18 Q Okay. Can you please just point to it for the  
jury?

19 A Well, again, if we're looking at the segments  
this

20 would be lumbar five, four, three, two. Between four  
and five  
21 you can see the disc herniation. Okay.  
22 This view is the transverse view or axial  
view. On  
23 the right side you can see the nerve root where it  
comes out of  
24 the spinal cord, all around it is the spinal fluid  
which is this  
25 white. Okay. On the left side you don't see the root.  
It's

40

Dr. Dassa - For Plaintiff - Direct

1 completely obliterated by the disc material so that  
it's being  
2 pinched and actually pushed to the side by the disc  
material.  
3 So if we look at this top view, and we  
are looking  
4 at the sagittal view now, the spinal cord is bathed in  
fluid  
5 which is this white signal on the MRI.  
6 Now, the fluid travels from the brain all  
the way  
7 down the neck into the mid back all the way down to the  
lower  
8 back.  
9 Now, if you see where the discs are above  
the

10 level of L4-5, you can see they're central and they're  
in the  
11 space and the appearance of the disc is white and  
healthy. At  
12 the L4-5 level you can see the disc is darker and it's  
actually  
13 translated to the back part of the spine, and as you  
see this  
14 column of fluid, it's like a free-floating river.  
There's no  
15 obstruction to that fluid.

16 As you get to the 4-5 level you can see  
that that  
17 fluid starts to become pinched and dammed up. So  
there's  
18 something physically in the space that's effecting the  
flow of  
19 that fluid which is the disc herniation.

20 And if you come down on the nerve root  
level, here  
21 the nerve root is seen plainly and clearly. You don't  
see it  
22 here because it's being pinched and translocated and a  
bigger  
23 blow up of this would be a blow up L4-5 level, the disc  
is  
24 pinching the fluid where above it it's patent and open  
and below  
25 it you start to see the fluid but at that level the  
fluid column

Dr. Dassa - For Plaintiff - Direct

1 is pinched.

2 Q Now, just in case the jury didn't-- this  
axial.

3 What view is that they're looking at?

4 A This would be, again, if I took a slice  
through the

5 disc this way. You're looking at this disc at the L4-5  
level.

6 Q Okay.

7 Now, L4-5 you said has a herniation. Did  
you see

8 any other defects whether herniations or bulges at any  
other

9 levels of Ana's spine?

10 A No, sir.

11 Q Okay.

12 All right. Thank you.

13 After you got the MRIs back and you  
reviewed them

14 with Ana Fernandez on that next October 2nd, 2009  
visit, you

15 continued treating her?

16 A Yes, sir.

17 Q You did an additional physical exam that day?

18 A Yes.

19 Q With more positive findings?

20 A I think basically her exam findings were  
unchanged from

21 her initial visit.

22 Q Okay.

23 What further treatment program did you  
recommend?

24 A Well, most notably was the fact that she had  
this

25 finding on MRI.

42

Dr. Dassa - For Plaintiff - Direct

1 She was sent to a neurologist which is  
Doctor Zao

2 for a nerve testing to assess if there was any nerve  
damage that

3 correlated with the disc herniation. Also she was sent  
for

4 continued physical therapy. She was continued on the  
narcotic

5 pain medication and she was advised to continue her  
lumbar

6 brace.

7 Q Okay.

8 Did she go see the neurologist?

9 A Yes, sir.

10 Q Did she have the nerve testing done?

11 A Yes, sir.

12 Q Was that an EMG NCV?

13 A Nerve conduction velocity.

14 Q And did you learn the results?

15 A Yes, sir.

16 Q What were the results?

2009 and 17 A Well, she completed a nerve test on 11/3 of  
18 that was consistent with left sided L5 radiculopathy.

19 Q Okay.

20 What is radiculopathy?

21 A It is a term that describes dysfunction of the  
nerve

22 root which causes certain symptoms which she was  
presenting with

23 and essentially the patient will get nerve dysfunction  
and can

24 have sensory impairment. You can have numbness and  
tingling.

25 You can have burning or pain down the leg. So basically  
this is

43

Dr. Dassa – For Plaintiff – Direct

dysfunction 1 as it pertains to electrodiagnostic study there was

2 at the L5 nerve root.

3 Q All right. Is that consistent with the MRI  
findings of  
4 L4-5 disc herniation with encroachment extending into  
the nerve  
5 root?

6 A Yes, sir.

7 Q Okay.

8 So there was a positive EMG for nerve  
injury?

9 A Yes, sir.

10 Q Okay.

11 Now, did you continue treating her for  
her knee

12 injuries?

13 A Yes, sir.

14 Q Okay.

15 And she had MRIs of both of her knees.  
Right?

16 A Yes, sir.

17 Q Were the MRI findings negative or positive?

18 A They were negative.

19 Q Meaning they were normal. Right?

20 A Well, again, if the MRIs did not show any  
diagnostic

21 damage to her knee joints.

22 Q Okay.

23 Now, did she continue to make--  
withdrawn. She

24 continued to see you personally at least once a month

for the

25 next couple of years.

44

Dr. Dassa - For Plaintiff - Direct

1 Correct?

2 A Yes, sir.

3 Q Okay.

4 And in the interim, in the days that she  
didn't

5 see you, she only saw you once a month. She was going  
to

6 physical therapy?

7 A Yes, sir.

8 Q And other treatment?

9 A Yes, sir.

10 Q When you next saw her did she make any  
additional

11 complaints about her left and right knee? The December  
10th,

12 2009?

13 A Yes.

14 Q And you put her through the physical exam you  
described

15 a little while ago?

16 A Yes, sir.

17  
restrictions?

Q Were there significant range of motion

18 A Yes, sir.

19 Q In her lumbar spine?

20 A Yes.

21 Q As well as her knees?

22 A Yes, sir.

23 Q Okay.

24  
page 2 of

Now, just to direct your attention to

25 that December 2009 report. When you performed a visual

45

Dr. Dassa - For Plaintiff - Direct

1 inspection, did you see any swelling?

2 A Yes, sir.

3 Q Okay.

4  
feel any

And you did a palpation exam, did you

5 tenderness?

6 A Yes.

7  
pain to her

Q And she was making persistent complaints of

8 left and right knee?

9 A Yes.

10 Q All right.

11 Did you form any clinical diagnosis as a  
result of  
12 the persistent complaints of pain and dysfunction to  
the left  
13 and right knee?

14 A Yes, sir.

15 Q And what was that?

16 A Bilateral knee sprain with internal  
derangement.

17 Q Okay.

18 And at some point did you recommend an  
additional  
19 treatment option to Anna?

20 A Yes, sir.

21 Q Which was?

22 A She was advised for surgery diagnostic  
arthroscopy to

23 check about why her knees continued to hurt in spite of  
having

24 normal MRIs.

25 Q Now, before we get to your surgeries. Had you  
ever

46

Dr. Dassa – For Plaintiff – Direct

1 treated patients who had negative MRIs but positive  
findings on

2 clinical exam?

3 A Yes, sir.

4 Q And had you ever done arthroscopies on those  
patients?

5 A Yes, sir.

6 Q And had you ever found that there was indeed  
damage to

7 the joint that was not detected on the MRI when you  
went in

8 surgically?

9 A Yes, sir.

10 Q Are MRIs a hundred percent accurate?

11 A No, sir.

12 Q All right.

13 I'm going to have to skip ahead now to  
your first

14 knee surgery.

15 MR. MULLANEY: With Your Honor's  
permission, I'm

16 just going to put up Plaintiff's Exhibit 22 for  
17 Identification.

18 THE COURT: Yes.

19 MR. MULLANEY: I show counsel. Your  
Honor, this is

20 an enlargement of the operation report.

21 THE COURT: Okay.

22 MR. MULLANEY: Of this surgery. It's  
already in

23 evidence as part of the All Med chart.

24 THE COURT: Any objection?

25 MR. VEILLEUX: No objection.

47

Dr. Dassa - For Plaintiff - Direct

1 MR. CALDERON: No objection.

2 MR. MAILLOUX: No objection.

3 THE COURT: All right.

4 MR. MULLANEY: Your Honor, also with your  
5 permission, I'd like to put up an illustration,  
medical

6 illustration that corresponds to the opt report and  
I showed

7 it to my adversaries before we got started his  
morning and

8 I'm going to show it to them again.

9 THE COURT: What number is this that  
you're

10 showing them?

11 MR. MULLANEY: Plaintiff's 23, Your  
Honor. I'm

12 offering it for demonstrative purposes. I'm not

13 into evidence because these are illustrations.

14 THE COURT: Have you had an opportunity  
to view

15 that?

jury's 16 MR. VEILLEUX: I would object since the  
17 already seen the exemplar of the human knee. I  
think this is 18 cumulative number two and it's somewhat  
prejudicial, Your 19 Honor.

human 20 MR. MULLANEY: Judge, the exemplar of the  
21 knee is just that, a human knee. This goes to the  
surgery 22 that he's about to testify to as well as the opt  
report that 23 only has written words on it, Your Honor.

doctor if 24 If I might, Your Honor, can I ask the  
25 this would aid his explanation of the surgery and  
perhaps

48

Dr. Dassa - For Plaintiff - Direct  
1 aid the jury in his understanding of the testimony.

would aid 2 THE COURT: The answer is I'm sure it  
3 his testimony. He's indicating that there's some  
prejudice. 4 I don't know what that is at the moment because I  
can't tell 5 just from looking at it if there is any prejudice.

Let me

6 just see both sides over here for a moment.

7 (Whereupon, a discussion takes place off  
the

8 record, at the sidebar, among the Court and  
counsel:)

9 (Whereupon, the following proceedings  
take place

10 on the record, in open court, in the hearing and  
presence of

11 the jury:)

12 THE COURT: All right. Let's proceed.

13 We'll hold off on the illustration for  
the time

14 being.

15 Q Doctor Dassa, Plaintiff's Exhibit 2. This is  
your opt

16 report for the May 12th, 2010 left knee surgery.

17 A Yes, sir.

18 Q Before we get into the procedures, can you  
tell us what

19 your post operative diagnosis was, what you found when  
you went

20 inside her knee and looked at the joint with your own  
eyes?

21 THE COURT: Doctor, it's in evidence. So  
if you

22 need to read it, you can do that if that would help  
you.

23 Whatever you're comfortable.

24 A Well, the term was severe chondromalacia with

condyle 25 osteochondral fragmentation of the medial femoral

49

Dr. Dassa - For Plaintiff - Direct

1 posttraumatic.

2 Q Okay.

3 Would an illustration aid your  
description of what

4 chondromalacia looks like, where you found it and what  
you did

5 when you found it?

6 A Yes, sir.

7 MR. MULLANEY: Your Honor, at this time  
I'd like

8 to show Doctor Dassa did a Plaintiff's 23, again  
just for

9 demonstrative purposes?

10 THE COURT: Again, this is not a  
depiction of what

11 was there, ladies and gentlemen. This is an  
illustration to

12 explain what he has verbally recited to you in  
detail.

13 MR. MULLANEY: Thank you.

14 Q For the purposes of clarity these are Ana  
Fernandez'

15 left knee. Please describe the surgery you performed?

16 A Yes, sir. So the--  
17 Q I'm handing Doctor Dassa Plaintiff's Exhibit  
39, the  
18 knee model.

19 A So basically this is a model of the right  
knee. We were  
20 speaking of the left knee. Just so you know, the  
fibula's on  
21 the other side which represents the left knee. So what  
we're  
22 looking at on top is a graphic drawing of the type of  
operation  
23 that she had in this arthroscopy surgery.

24 So surgery that is arthroscopically done  
with  
25 small incisions where we insert the scope or a camera  
and

50

Dr. Dassa - For Plaintiff - Direct

1 instruments that are utilized to, number one, look  
around the  
2 knee which is the scope on the camera and also any  
instrument is  
3 done through the other hole.

4 So when we're looking at the knee itself,  
as I  
5 stated, there are three surfaces that is we're looking  
at. We're

6 looking at the top of the shin, the bottom of the thigh  
bone,  
7 under the kneecap. We're looking at cartilages between  
the bones  
8 and the ligaments that are between the bones as well as  
the  
9 cartilages on the surfaces of the bones.

10 Now, when we got into the knee, again we  
did the  
11 visual inspection to see if there was any reason that  
we can  
12 find out why there was pain in that knee for a long  
period of  
13 time. So when going into the knee the first thing we  
look are  
14 the surfaces of the bone. So if you look at this  
drawing, this  
15 is a graphic drawing of what a normal knee would look  
like.

16 So if you look at the cartilage on the  
surface of  
17 the bone, it's nice and smooth. There's no breaks in  
that  
18 cartilage. It's not worn or frayed. If you look at the  
19 ligaments, the ligaments have a healthy appearance. If  
you look  
20 at the cartilages that separate the bones, which are  
meniscus,  
21 those meniscus tissues are found to be normal and  
healthy.

22 So when we got into this knee, the thing  
that was

23 most notable is if you look at the condyle, which is  
the medial  
24 femoral condyle, there was a big piece of cartilage  
that was  
25 missing off of the bone.

51

Dr. Dassa - For Plaintiff - Direct

1 So if you go to the drawing, now this  
would  
2 represent what that could appear like and you can see  
on the  
3 normal bone there's cartilage that's normal. Here the  
cartilage  
4 is not normal because this is showing a more  
degenerative  
5 process which is not what was found during surgery.  
But if you  
6 look at the cartilage that was on the femoral condyle  
it was a  
7 big defect. So when we look at the defect it was  
actually bone  
8 exposed at the base of that defect. So you had an  
osteochondral  
9 fragmentation meaning there was bone and cartilage loss  
from the  
10 end of the bone.  
11 When we looked at the meniscus tissue and  
the  
12 ligaments, there was no damage to any other areas. So

this was

13 largely the main pathology that was found in the knee.

14 Q Okay. Can you describe how you went about  
repairing

15 it?

16 A Well, again, when cartilage is damaged it  
doesn't grow

17 back. So--

18 Q Why not?

19 A Because firstly the circulation to the tissue  
is not

20 very good and cartilage is one of those tissues that  
does not

21 regenerate. So if it's injured severe enough the cells  
die and

22 there's no way for it to grow back. There's multiple  
ways to

23 approach it.

24 With all the science today nothing is  
perfected

25 how to fix this problem so you're left with a  
significant

52

Dr. Dassa - For Plaintiff - Direct

1 problem. In this particular case the way we approached  
it was we

2 did what is called a microfracture chondroplasty. So we  
actually

3 put picks in the knee which are sharp pointed things.  
It's like  
4 an ice pick but a little bit more refined, and we  
actually  
5 placed the pick on the point where the bone is exposed  
and we  
6 take a hammer and we make holes in the bone at certain  
signed  
7 distance and what that essentially does is it brings in  
blood  
8 and circulation to create a clot in this area and what  
the clot  
9 does, it theoretically bring in stem cells. And if you  
bring a  
10 stem cell in this area it's suppose to promote the  
filling in of  
11 this defect. So, again, we make holes in the bone in  
order to  
12 bring in blood and, again, the function of this  
procedure is  
13 similar to a crack in the sidewalk and we fill in the  
crack with  
14 a grout or cement. It's suppose to fill in the space  
but it  
15 fills in what is called fibrocartilage, not hyaline  
cartilage.  
16 Hyaline cartilage is what we're born with. The  
fibrocartilage is  
17 a temporary fix for this problem, to fill in that space  
to  
18 hopefully reduce some of the inflammation and the  
friction.

19 Q So the fibrocartilage that's replaced, is that

scar

20 tissue also?

21 A Yes.

22 Q And fibrocartilage, is it as smooth and does  
it have as

23 low coefficient of friction the type that we're born  
with?

24 A No. It's the best solution that we have to fix  
it. But

25 because it's not the same as the cartilage that was  
there. It

53

Dr. Dassa - For Plaintiff - Direct

1 does not-- it's not resistant to the forces of stress  
so over

2 time, in a similar way that you fill the crack in the  
sidewalk,

3 that crack will pop out and you'll have to redo it.

4 Similarly biologically as you continue to  
walk on

5 it, that will wear out and eventually the defect will  
reoccur.

6 Q Doctor, I want to direct your attention back  
to your

7 opt report, Plaintiff's Exhibit 22. You described it,  
did you

8 not, as a severe large osteocondyle defect in the  
patient's

9 femoral condyle that communicated all the way down to  
the bone?

10 A Yes.

11 Q What does that mean, communicated all the way  
down to

12 the bone?

13 A Well, again, if you look at cartilage  
injuries, they

14 can be partial or they could be complete or full  
thickness.

15 Anything that communicates all the way down to the bone  
is full

16 thickness and there's no cartilage present.

17 Q So for the time between the accident and  
leading up to

18 the surgery, was the bone exposed-- it could have been

19 potentially exposed and rubbing against the meniscus on  
that

20 side?

21 A Well, I think that, again, she had an injury.  
I think

22 there was an insult. One of two things could have  
happened,

23 either it could have ripped off the bone at the time of  
the

24 accident or it could have been an impact where the  
cartilage

25 cells die slowly over time.

Dr. Dassa - For Plaintiff - Direct

1                                   The MRI that was done didn't show that it  
was  
2                                   actually torn off the bone at the time of impact  
otherwise it  
3                                   should have shown on the MRI.

4                                   I believe this was an impact that slowly  
over time  
5                                   the cells died creating this defect. So to answer your  
question  
6                                   correctly, did she have this bone on bone rub, this  
bone exposed  
7                                   during the entire time, no. It probably developed  
sometime a few  
8                                   months down the road.

9                                   Q     So there's roughly ten months in between the  
August  
10                                  31st, 2009 accident and your May 12th, 2010 surgery?

11                                  A     Yes.

12                                  Q     Assuming that Ana Fernandez was using her  
knees,  
13                                  meaning that she was walking throughout that ten  
months, could  
14                                  it have made this condyle defect worst over that ten  
month  
15                                  period?

16                                  A     Yes.

17                                  Q     Okay.

18                                  Is there anything else you want to do  
describe

19 about that surgery or should we go to the next one?

20 A That's pretty complete.

21 Q Okay.

22 You saw her postoperatively?

23 A Yes, sir.

24 Q And roughly a week later?

25 A Yes.

55

Dr. Dassa - For Plaintiff - Direct

1 Q And what was the purpose of that, was that  
just for a

2 wound check?

3 A Well, it was to check her wounds also, you  
know, to

4 assess her post operatively which would be routine, to  
take out

5 the stitches, prescribe physical therapy, to assess  
whether she

6 needed more medication for pain.

7 Q Okay.

8 And you prescribed her medication for  
pain?

9 A Yes.

10 Q By the way, back when you initially saw her,  
your

11 initial visit five days after the accident, did you  
prescribe

12 pain medication?

13 A Yes, sir.

14 Q And did you continue to prescribe pain  
medication

15 through the course of physical therapy leading up to  
the

16 surgery?

17 A Yes, sir.

18 Q Did she undergo post opt physical therapy at  
the All

19 Med facility?

20 A Yes.

21 Q And then you began seeing her after the first  
couple of

22 weeks after her surgery?

23 A Yes, sir.

24 Q Was she still making complaints about her  
right knee?

25 A Yes, sir.

56

Dr. Dassa - For Plaintiff - Direct

1 Q And you were still examining it once a month  
every time

2 you saw her?

3 A Yes.  
4 Well again, you know, immediately after  
surgery we  
5 did the left knee because that was the most pressing  
issue  
6 because it was recently post opt but we always examine  
the left  
7 knee in the context of the right knee and she continued  
to  
8 complain of right knee pain.

9 Q At some point did you recommend arthroscopy on  
the  
10 right knee?

11 A Yes, sir.

12 Q At some point did you do that surgery?

13 A Yes.

14 Q Okay.

15 Was that in September of 2010?

16 A Exactly. September 22nd, 2010.

17 MR. MULLANEY: Judge, with your  
permission, I'm  
18 just going to put the opt report, Plaintiff's  
Exhibit 21 up  
19 on the easel. It's a blow up.

20 THE COURT: Any objection?

21 MR. VEILLEUX: No objection.

22 MR. CALDERON: No objection.

23 MR. MAILLOUX: No objection.

24 THE COURT: You may show it.

in, in 25 Q Please describe what you found when you went

57

Dr. Dassa - For Plaintiff - Direct

1 terms of postoperative diagnoses before we get to the  
2 procedure?

3 A Yes, sir.

4 So as opposed to left knee, the right  
knee surgery

5 found different types of damage. So if you look at the  
first

6 postoperative diagnosis, it was tears of the medial  
lateral

7 meniscus. So there were tears of the cartilage that  
separated

8 the knee and bones.

9 In addition, under the kneecap there was  
similar

10 damage to the cartilage like-- similar to the prior  
surgery on

11 the left knee but it wasn't a full thickness tear. It  
was

12 partial thickness damage.

13 Q Okay.

14 Doctor, I'm going to show you what's been  
marked

15 Plaintiff's Exhibit 24 for Identification. Have you

seen this

16 illustration before?

17 A Yes, sir.

18 Q All right. Would this illustration aid you in  
your

19 testimony and explanation to the jury about the  
September

20 surgery?

21 A Yes, sir.

22 Q And potentially aid the jury in understanding  
it?

23 A Yes, sir.

24 MR. MULLANEY: Your Honor, I'd like to  
use

25 Plaintiff's Exhibit 24 for demonstrative purposes  
only.

58

Dr. Dassa - For Plaintiff - Direct

1 THE COURT: Counsels?

2 MR. VEILLEUX: No objection, Your Honor,  
subject

3 to it being only offered for demonstrative purposes  
only.

4 THE COURT: Subject to the same  
admonitions I have

5 given.

6 Q Doctor Dassa--

7 THE COURT: One of the problems is that  
because of  
8 the dimensions of the board are long, part of it  
tends to  
9 rest on the-- this thing, whatever that is but now  
you got  
10 it where it's suppose to be. So continue.

11 Q Please, doctor. Can you walk the jury or run  
the jury  
12 through the procedure and what you found?

13 A So again we're looking at arthroscopic  
surgery, the  
14 same type of procedure that was done on the left knee.  
This is  
15 just a representation of normal structures.

16 So when we got into the knee we did find  
damage to  
17 the medial and lateral meniscus. When we say anatomy,  
there was  
18 actual physical tearing of these structures. In  
instances where  
19 the tears are more on the outside you can repair them.

20 When they're more on the inside, this  
cartilage,  
21 you have to actually remove the tissue because it will  
not heal  
22 if you try to sew it back together. So what we did with  
shavers  
23 and blighters, we removed the damaged cartilage that  
was  
24 present. Secondly, what we found also is under the  
kneecap the

is-- it 25 term that I used is called chondromalacia and that term

59

Dr. Dassa - For Plaintiff - Direct

1 describes softening of the cartilage on the surface of  
the bone.

2 Now, when you have the term  
chondromalacia,

3 there's many causes for chondromalacia. You can have a  
long-term

4 wear and tear phenomenon. You can have chondromalacia  
from

5 breakdown, from some type of injury.

6 When we got to the part under the kneecap  
where

7 the cartilage was damaged there was actual a  
discoloration of

8 the cartilage around the rim where the cartilage is not  
normal

9 which indicated that there was some type of bleeding  
event which

10 left a tattooing of that cartilage from blood.

11 So in the absence of other, you know,  
cartilage

12 problems in the knee, on the surface of the bone this

13 represented a traumatic chondromalacia to the patella.

14 What we did, as opposed to making holes  
in the

15 bones because it wasn't a full thickness tear, we  
shaved it with  
16 the use of a mechanical shaver and then we utilized a  
radio  
17 frequency device which also can treat the cartilage  
cells which  
18 stimulates the healing of the defect and filling in the  
19 fibrocartilage.

20 Q Okay. Thank you.

21 Now, just to direct your attention back  
to the opt  
22 report.

23 THE COURT: One thing I just want to  
advise you.

24 Because you have it rested there, that's what tends  
to make  
25 it tip over. It needs to it fully on the easel  
itself.

60

Dr. Dassa - For Plaintiff - Direct

1 MR. MULLANEY: Sorry about that.

2 THE COURT: No problem.

3 Q When you were describing the tattooing, it  
looks like a  
4 bleeding event. Would you read that to the jury?

5 A This area of condyle damage had a  
posttraumatic

6 appearance with significant cartilaginous discoloration  
7 indicating recent bleeding.

8 Q Now, that type of appearance led you to  
believe that it

9 was posttraumatic and related to the accident as  
opposed to

10 something that happened due to wear and tear over time?

11 A Yes.

12 Q Okay.

13 And you followed Ana's recovery post  
operatively.

14 You saw her postoperatively?

15 A Yes.

16 Q And at some point she began a post opt  
physical

17 therapy?

18 A Yes.

19 Q And you continued seeing her. Is that correct?

20 A Yes, sir.

21 Q Now, before we go further with the current  
condition of

22 the knees, I just want to circle back to the lumbar  
spine.

23 Other than referring her to a neurologist  
for the

24 nerve testing that turned out to be positive, did you  
refer her

25 to any other specialists with regard to her back pain?

Dr. Dassa - For Plaintiff - Direct

1 A Yes, sir.

2 Q And where did you refer her?

3 A She was sent for pain management.

4 Q For what purpose?

5 A Well, again, the available treatment, methods  
for disc

6 herniation would first initially be a conservative  
course of

7 physical therapy. If therapy doesn't help you send the  
patient

8 to a specialist who can do what is called  
interventional pain

9 management or epidural injections.

10 She was sent for evaluation to assess  
whether she

11 was a candidate for epidural injections.

12 Q Do you recall the specialist's name?

13 A Yes.

14 Q Who was that?

15 A One was Doctor Kaisman, I believe, and the  
other one

16 was Doctor Ford.

17 Q Let's start with Doctor Kaisman.

18 MR. MULLANEY: Judge, with your  
permission, I'm

19 illustration,  
20

going to put up Exhibit-- another medical  
Judge, for demonstrative purposes only.

21 objection as  
22

MR. VEILLEUX: Judge, I have the same  
we discussed in the back. We need an instruction,

once

23

again, to the jury.

24 jury, with  
25

THE COURT: Ladies and gentlemen of the  
any medical illustration that's being shown to you,  
it does

62

Dr. Dassa - For Plaintiff - Direct

1 what did  
2

not constitute evidence of what was or wasn't done,  
or didn't exist at the time.

3 photographically  
4

It is an illustration designed to

5 necessarily  
6

show you what words alone apparently cannot

7 book. There  
8

fully describe to you. Think of it as a picture

9 this case it  
10

are the words and there are the pictures but in

11 under that  
12

is the words that matter, not the pictures. So

13

limitation you may show it to the jury.

9 Counsel, is there anything else you want  
to say?

10 MR. VEILLEUX: No, Your Honor.

11 THE COURT: Go ahead.

12 Q First I'll show you the procedure note marked  
13 Plaintiff's Exhibit 25. This is in evidence. This is  
Doctor  
14 Kaisman, the surgeon?

15 A Yes.

16 Q Dated April 5th, 2010. Okay. And this is also  
part of  
17 your chart. Right?

18 A Yes.

19 Q Now I'm going to show you the illustration,  
Exhibit 25  
20 for demonstrative purposes only. If you can very  
briefly just  
21 walk through what is being shown here, what Doctor  
Kaisman did?

22 A Yes, sir.

23 Well, again, this is one of the  
treatments that a  
24 pain specialist would do for a person with a herniated  
disc, and  
25 what it entails-- and, again, this is a photographic

Dr. Dassa - For Plaintiff - Direct

1 representation of what is considered an epidural  
injection.

2 So a spinal needle is inserted in the  
spine. They

3 do that under what's called fluoroscopic guidance. So  
they

4 actually have an x-ray machine that identifies the  
correct level

5 and essentially the needle's inserted at that level  
down to the

6 area where the nerve is pinched from the disc  
herniation, and

7 you can see photographically the needle is inserted.

8 And, again, this shows you the position  
of the

9 patient because you are laying on your belly when  
you're doing

10 this, and what they do is inject medication which is a

11 combination of an anesthetic and steroid, and the  
steroid is an

12 antiinflammatory, and the purpose of the injection is  
to calm

13 inflammation of that nerve root and in a statistically

14 significant number of people you can actually improve  
the

15 symptoms of radiculopathy or pain that shoots down the  
leg.

16 Q Okay.

17 Now I believe you already alluded to it.  
You

18 referred Anna to another specialist, Doctor Ford at St.

Barnabas

19 Hospital?

20 A Yes.

21 Q She had a second epidural?

22 A Yes.

those 23 Q And you continued to treat her after both of

24 epidural injection procedures?

25 A Yes, sir.

64

Dr. Dassa - For Plaintiff - Direct

and 1 Q And she continued to make complaints of pain  
2 discomfort to her lumbar spine?

3 A Yes, sir.

2010. 4 Q I want to direct your attention to June 24th,

of the 5 Your treatment note. I just want to discuss briefly one  
6 observations that's listed in your treatment report.

7 A Yes, sir.

that 8 Q Where you observed quadriceps dysfunction. Is  
9 atrophy?

10 MR. VEILLEUX: Objection, Your Honor.

11 THE COURT: As to the form?

12 MR. MULLANEY: I'll withdraw it, Judge.  
That was a

13 bad question.

14 THE COURT: All right. Question  
withdrawn.

15 Q Can you tell us--

16 A She was noted to have a healed surgical  
incision. There

17 was no infection. Her range of motion was assessed  
with some

18 pain from zero to full extension to bending to one  
hundred and

19 twenty-five degrees and there was what we found on  
palpation

20 exam to be quadriceps dysfunction which is  
characterized as

21 mild.

22 Q Okay.

23 Now, July 30th, 2010 you observed what--

24 Did you observe any quadriceps atrophy?

25 A Yes.

65

Dr. Dassa - For Plaintiff - Direct

1 Q Please just describe to the jury what atrophy  
is and

2 why it's significant?

3           A     Well, I mean the atrophy is when there is  
actually  
4           reduction in the size of a muscle. So when a muscle  
shrinks that  
5           term is called atrophy.

6                     I mean what is significant about it is  
that, you  
7           know, if you look at her visit from 2000-- I mean from  
June 24th  
8           of 2010 it was a mild quadriceps dysfunction which  
meant that  
9           after surgery her quadriceps was not contracting the  
way it  
10          would normally contract and that was a consequence of  
the  
11          surgery and the injury.

12                    And if you look at the comparison exam on  
July  
13          30th, which was a month later, the dysfunction of the  
muscle  
14          continued not just from not contracting properly, it  
started to  
15          show a decrease in size of that muscle.

16          Q     Okay.

17                    Now, after the two surgeries that you  
performed on  
18          Ana's knees-- withdrawn.

19                    The surgeries that you performed on Ana  
Fernandez'  
20          knees, did they alter the geometry of the joint?

21          A     Yes, sir.

joint 22 Q And did they change the configuration of the  
23 permanently?  
24 A Yes, sir.  
25 Q All right.

66

Dr. Dassa - For Plaintiff - Direct

1 Now, as a result of those permanent  
changes to the  
2 geometry of the joint, what potential conditions would  
you  
3 anticipate Ana Fernandez developing?

4 A Well, I don't think it's an issue of potential  
5 conditions. She has developed other conditions as a  
consequence  
6 of the injuries and the surgery. So she has traumatic  
arthritis  
7 in both knees.

8 Q That's what I want to talk about. If you look  
at your  
9 chart, I believe--

10 When did you first diagnosis-- I want to  
direct  
11 your attention to October-- withdrawn.

12 October 5th, 2012?

13 MR. MULLANEY: I have a copy of it.

14 Q Just in the interest of time, doctor. Here's  
my copy.

15 A Yes, sir.

16 Q Okay. And can you describe what, if any,  
posttraumatic  
17 arthritic changes you diagnosed on that office visit?

18 A Well this is a note from October 5th, 2012.  
She-- it

19 states here it should be noted she does have  
posttraumatic  
20 arthritis to the left knee which resulted from the  
accident.

21 Q Okay.

22 Now, what is arthritis?

23 A Well arthritis is a general term to describe  
any  
24 breakdown of the articular cartilage. I mean there's  
several  
25 types of arthritis or several causes for that  
breakdown.

67

Dr. Dassa - For Plaintiff - Direct

1 In the lay public's perception, mostly  
it's from

2 wear and tear or from old age but there are other  
causes other

3 than old age for arthritis. One of them can be  
arthritis that

traumatic 4 occurs from an injury to cartilage which we call  
damages the 5 arthritis. You can get arthritis from infection that  
reasons, 6 cartilage. You can have arthritis from inflammatory  
come from 7 rheumatoid arthritis, lupus. There's arthritis that  
8 inflammatory conditions such as gout.

9 So when you speak of arthritis in  
general, it is 10 an inflammation or breakdown of the articular cartilage  
and, 11 again, you have to clarify the different causes for  
that.

12 Q Is there a way to cure it?

13 A No, sir.

14 Q Now, what was the last time you saw Ana  
Fernandez?

15 A February 28th, 2017.

16 Q Okay.

17 And when you last saw her, did you do a  
physical 18 exam?

19 A Yes, sir.

20 Q And can you please just briefly tell us what  
the exam 21 consisted of and what the findings were?

22 A We did do an exam of the lumbar spine and  
knees and

23 hips. Her knee exam was significant for swelling for  
both the  
24 right and left knee. There was no signs of infection.  
25 If you look at the range of motion as  
compared to

68

Dr. Dassa - For Plaintiff - Direct

1 her prior evaluations, there was a significant  
reduction in the  
2 range of motion. So when we speak of flexion, which is  
the  
3 bending of the knee, she was able to bend to one  
hundred and  
4 fifteen degrees on the right and one hundred and ten on  
the left  
5 and normal is one hundred and forty, and she was able  
to fully  
6 straighten the knee at zero degrees.  
7 She was limping. She had an antalgic gait  
because  
8 of pain in her knee and also she had is a positive  
patella  
9 femoral compression test which is another clinical exam  
of  
10 findings of arthritis which would be consistent with  
her process  
11 that developed after her injury.  
12 As far as the lumbar spine goes, her  
bending at

13 the waist, which is flexion, was measured to be fifty  
and normal  
14 is ninety. Her extension was fifteen and normal is  
thirty. Her  
15 lateral bending was twenty and normal is forty and her  
lateral  
16 rotation was fifteen and normal is thirty and, in  
essence,  
17 compared to her prior exams, with all the treatment she  
had, it  
18 was about the same with impairment.

19 She had muscle spasm-- actually there's a  
type  
20 there-- L1 through L5 and then the straight leg raising  
test was  
21 found to be positive on the right side at ten degrees  
indicating  
22 that she still had a significant amount of sciatic  
nerve  
23 inflammation consistent with a pinched nerve of the  
lumbar  
24 spine.

25 Q Okay.

69

Dr. Dassa - For Plaintiff - Direct

1 And did you provide any treatment options  
to Ana  
2 with regard to any future care?

we spoke 3 A Well, I-- again, in discussing her condition  
down her 4 of her continued radiculopathy, or pain that was going  
from 5 legs and physical findings. I felt she could benefit  
6 additional epidurals.

7 She was advised to reach out to the pain  
know, 8 management specialist to discuss that and also to, you  
range of 9 given her condition with the deterioration in her knee  
was 10 motion, though it wasn't recommended on that date, she  
knee 11 advised that she has a high potential for needing a  
12 replacement especially for the left knee.

13 Q Let's talk about that. What's a knee  
replacement?

14 A Well, you know, a knee replacement is surgery  
where the 15 knee is actually physically opened and you go down to  
the 16 articular surfaces, or the surfaces that are covered by  
bone to 17 cartilage, and you actually cut off the surfaces of the  
the 18 create a shape that an artificial surface is glued to  
19 surface of the bone to create an artificial knee  
basically.

20 Q Okay.

21 Now, as far as the timing for the time  
frame when  
22 you expect Ana Fernandez to need that first surgery, I  
think you  
23 said it was to her left knee a total replacement of the  
left  
24 knee?  
25 A Yes, sir.

70

Dr. Dassa - For Plaintiff - Direct

1 Q What kind of approximate time frame can you  
give us  
2 given her conditions as you describe them on the last  
visit?  
3 A Again, it's always going to be symptom  
dependent and  
4 her response to conservative treatment. The issue with  
the knee  
5 or hip replacement surgery, it's a timing issue.  
6 You would like to-- theoretically like to  
do  
7 sixty-five is the optimum age because we generally get  
about  
8 fifteen to twenty years out of the prosthesis or  
artificial  
9 knee.  
10 If you did a knee replacement at 42 or 43  
years

11 old you're talking at least two surgeries in her  
lifetime. To  
12 predict when she would need it, you know, I don't have  
a crystal  
13 ball but, you know, with the degree of breakdown of her  
knee,  
14 with a significant range of motion reduction as noted  
on her  
15 subsequent visit to her last visit, I would say it's a  
high  
16 probability within the next five years for the left  
knee.

17 Q And if she has her first knee replacement  
procedures  
18 performed to the left knee in five years, that would  
make her  
19 48. Can you approximate what the shelf life would be  
for that  
20 first prosthetic limb?

21 MR. VEILLEUX: Note my objection, Your  
Honor.

22 THE COURT: Duly noted.

23 A In today's science, you know, knee replacement  
done on  
24 somebody at the optimum age lasts between fifteen and  
twenty  
25 years. The issue also about doing knee replacements on  
a younger

Dr. Dassa - For Plaintiff - Direct

would be 1 person, they tend to be more active. So I think that  
2 ten to fifteen years.

3 Q All right. And why is that, is that--

4 A Because there's a wear and tear factor. It's  
not a

5 biologic tissue that you're putting in there. It's a  
mechanical

6 device and when you have mechanical devices rubbing on  
each

7 other, especially in a knee replacement you have metal  
on the

8 surfaces of the bone and you insert a plastic spacer,  
which is

9 like teflon, and that would wear out. At time you  
could get

10 loosening of the prosthesis from bone. So those are  
things you

11 would expect to look for.

12 Q So if a younger person were more active, with  
children

13 or with job activities and walked more, using the joint  
more, it

14 could shorten the shelf life of prosthetic device. Is  
that what

15 you're saying?

16 A Yes.

17 Q How much is the approximate current today  
costs for the

18 current today knee replacement?

19 MR. VEILLEUX: Objection.  
20 THE COURT: Grounds?  
21 MR. VEILLEUX: Could we have a sidebar,  
Judge?  
22 THE COURT: Sure.  
23 (Whereupon, a discussion takes place off  
the  
24 record, at the sidebar, among the Court and  
counsel:)  
25 (Whereupon, the following proceedings  
take place

72

Dr. Dassa - For Plaintiff - Direct  
1 on the record, in open court, in the hearing and  
presence of  
2 the jury:)  
3 THE COURT: All right. Rephrase the  
question.  
4 Q Doctor Dassa, in today's dollars, what is the  
cost of a  
5 knee replacement procedure? ?  
6 THE COURT: And your objection is duly  
noted.  
7 MR. VEILLEUX: Thank you, Judge.  
8 A It would be between sixty-five and seventy  
thousand  
9 dollars and that would depend on the prosthesis. I mean  
a lot of

10 cost is determined by the prosthetic that's used.

11 Q Okay.

12 After a patient of yours has a knee  
replacement,

13 would you see them postoperatively?

14 A Yes, sir.

15 Q Can you give us an idea how many times per  
year for the

16 first year?

17 A I mean generally a patient is seen for six  
months.

18 There's lots of things that can happen after a knee  
replacement

19 surgery and you want to follow a person to assess that  
they're

20 progressing in the healing process, also they're not  
developing

21 any type of problems.

22 When you have knee replacements you're  
very prone

23 to getting blood clots. That's something that needs to  
be

24 observed for. You could get an infection.

25 You also have to assess whether the  
patient's

1 developing loss of mobility from other factors. You  
have to  
2 follow them closely for six months and then at least a  
minimum  
3 of once a year.

4 Q Okay.

5 What is the current today cost of an  
office visit  
6 for you?

7 A I mean follow-up because x-rays would need to  
be done,  
8 about two hundred dollars.

9 Q Okay.

10 Now, do you have an opinion, within a  
reasonable  
11 degree of medical orthopedic certainty, as to whether  
or not the  
12 accident of August 31st, 2009 was a substantial factor  
in  
13 causing the injuries that you just testified to this  
jury?

14 A I do.

15 MR. VEILLEUX: Objection.

16 THE COURT: Overruled.

17 Q What is your opinion?

18 A My opinion is that that was the cause of her  
injuries  
19 and impairments.

20 Q And what do you base that opinion on?

21 A Well, again, it's focusing on historical  
details.  
22 There's no other history prior to the date of this  
accident or  
23 any subsequent injuries to the date of accident that  
would  
24 correlate with the development of those symptoms.  
Number one.  
25 Number two, when I got into her knees  
there were

74

Dr. Dassa - For Plaintiff - Direct

1 clear findings of trauma, at least in the recent past,  
connected  
2 to the surgical dates. You know, for those two reasons  
there's  
3 nothing else to point to but that outset.  
4 Q Doctor, Miss Fernandez hasn't testified yet so  
I want  
5 you to assume her testimony's going to be related to  
her  
6 pre-accident employment, that she worked for three  
years as a  
7 seamstress in a Chinese textile factory. She worked for  
ten  
8 years as a housekeeper, half the day spent cleaning,  
half the  
9 day prepping food for bosses and clients at a building  
in

various 10 Manhattan. Another four years working as a cleaner at  
as a 11 hotels, and on the day of the accident she was employed  
12 hotel cleaner as well.

testify, on 13 I want you to assume she's going to  
14 average, she worked full-time at least forty hours a  
week and 15 that she never missed any work related to any knee  
injuries, 16 back injuries or any traumatic injuries whatsoever.

17 Do you have an opinion as to whether  
somebody 18 performing those types of jobs on a full-time basis,  
19 unrestricted, could indeed perform those jobs with the  
injuries 20 that were diagnosed after this accident?

21 MR. VEILLEUX: Objection.

22 THE COURT: I'm going to allow the  
question.

23 Overruled.

would 24 A Again, you describe a functional capacity that  
be very 25 not be consistent with these injuries. I mean it would

1 difficult for her to do that type of strenuous work as  
a cleaner  
2 or work on repetitive basis with these type of  
injuries.

3 Q The severe chondromalacia defect that you  
found, that  
4 communicated all the way to the bone, would that  
present any  
5 difficulties for somebody performing the types of jobs  
that I  
6 outlined?

7 MR. VEILLEUX: He's not a vocational  
8 rehabilitation expert, Your Honor.

9 THE COURT: Allowed. Overruled.

10 A Again, it would pretty difficulty for her to  
walk. In  
11 turn it would be very difficult for her to do that  
under the  
12 activity that you described.

13 Q Same question for the L4-L5 herniation pinched  
on the  
14 nerve as a doctor treating patients over the last  
fifteen years  
15 with such injuries, would you anticipate that the  
symptoms would  
16 prevent them from working full-time, unrestricted for  
almost two  
17 decades?

18 MR. VEILLEUX: Objection.

19 THE COURT: Could you read that back.

the 20 (Whereupon, the question is read back by  
21 reporter.)

22 THE COURT: Well, why don't you try it  
this way.  
23 Would you anticipate that it would prevent her from  
working  
24 full-time. You can answer that question, if you  
can.

25 THE WITNESS: Before the accident, after  
the

76

Dr. Dassa - For Plaintiff - Direct

1 accident?

2 THE COURT: After the accident.

3 THE WITNESS: Well, again, it's apparent  
that she  
4 would not be able to do that after the accident and  
at least  
5 on the basis of a functional prospective it's the  
same as  
6 for the knees. It would be difficult for me to  
imagine  
7 doing that description with a back condition as  
described if  
8 it was there before as well as any condition on top  
of it.

9 Q I have just a couple of more questions about  
causation.

you to 10 Again, Ana Fernandez hasn't testified yet but I want  
the 11 assume that she's going to testify that she did not see  
the 12 vehicle or what struck her before she fell. Okay. Would  
13 causation--

accident 14 Would your causation opinion of that  
15 cause the injuries that you testified about?

you learned 16 Would your causation opinion change if

that had 17 that she were struck by a metal garbage can or a bumper  
18 torn off the car that had ended up on the sidewalk?

19 MR. VEILLEUX: Objection.

20 THE COURT: Yes. Sustained.

21 MR. MULLANEY: Sure.

diagnosed. 22 Q The injuries that you described, that you

23 Could they have been caused by-- withdrawn.

your 24 Doctor, are you being compensated for  
25 appearance here today?

1 A Yes.

2 Q And what are you being compensated? How much  
are you  
3 being paid?

4 A Sixty-five hundred dollars.

5 Q And if you weren't here testifying what would  
you be  
6 doing?

7 A I have seven surgeries that I cancelled this  
morning.

8 Q Have you ever testified in court before?

9 A Yes, sir.

10 Q Were those on behalf of your patients?

11 A Yes, sir.

12 Q Have you ever been hired by any of the defense  
13 companies to perform any medical consultation?

14 A Yes, sir.

15 Q Okay.

16 Have you ever testified in court where  
your  
17 patient happened to be my client?

18 A Yes, sir.

19 Q Approximately how many times?

20 A I don't know. It's more than once but I don't  
know  
21 exactly.

22 MR. MULLANEY: Okay. I have no further  
questions,

23 Judge.

24 THE COURT: Cross examination.

25 MR. VEILLEUX: Thank you, Your Honor.

78

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 CROSS-EXAMINATION

2 BY MR. VEILLEUX:

3 Q Good afternoon, Doctor Dassa?

4 A Good afternoon.

5 Q You and I we've never met before?

6 A No, sir.

7 Q Other than exchanging pleasantries earlier  
this morning. Never saw each other?

9 A That is correct.

10 Q Okay.

11 You testified before on behalf of  
plaintiffs,  
12 people who were injured in accidents. Correct?

13 A Yes, sir.

14 Q And sometimes those people they happen to be  
your patients but other times you're hired by Plaintiff's  
attorneys  
15 to testify solely as an expert based upon your review  
16

of records

17 and perhaps an examination of the plaintiff.

18 Correct?

19 A Yes, sir.

20 Q Now, in fact, I'm in this building almost  
everyday of

21 the week and I have seen you here before.

22 In fact, you're testifying in this  
building on

23 another case. I think Friday you did?

24 A I was here Friday?

25 Q Were you here last way?

79

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 A I was here last week. I don't know the exact  
date.

2 Q In fact, you're testifying I believe, correct  
me if I'm

3 wrong, you're testifying on a case down on the 4th  
floor where

4 the Plaintiff's name is Marino Santos?

5 A Yes, sir.

6 Q And you're testifying for a Mr. George  
Plfuger, I

7 believe. Correct?

8 A Yes, sir.

9 Q Now, you testified in this building before and  
did you  
10 ever hear what is called Verdict Search, where  
attorneys run  
11 your name through a data base to see how many times  
you've been  
12 retained on behalf of plaintiffs as opposed to  
defendants?

13 A I'm not familiar with that, sir.

14 Q Well, I did such a search and you it turned up  
a  
15 hundred and seventeen pages where you were either  
retained as an  
16 expert witness, where you didn't treat the plaintiff  
but on a  
17 number of occasions you testified on behalf of your  
patient--

18 MR. MULLANEY: Objection, Your Honor.  
Pages or

19 cases.

20 THE COURT: I don't know.

21 MR. VEILLEUX: Pages.

22 THE COURT: You can answer the question.

23 A What is the question?

24 Q You testified here as well as some other  
venues, New  
25 York County perhaps. I saw a few of those. Queens,  
where you

Dr. Dassa – For Plaintiff – Cross (Veilleux)

expert 1 testified where you were retained by a plaintiff as an  
that 2 witness where you were not the treating physician. Is  
3 correct?

4 A Yes, sir.

patients that 5 Q And you've also testified on behalf of  
6 you treat such as in this case Miss Fernandez.

7 Correct?

8 A Yes, sir.

come in your 9 Q Now, when you examine a patient, when they  
the left 10 office, particularly with a complaint of knee pain to  
do you 11 knee, right knee or both knees. When you do a history,  
weight, is 12 take into consideration things like the plaintiff's  
13 that something you're concerned about?

would be a 14 A Again, as it would impact the condition, it  
no impact 15 consideration but if weight based upon the history has  
16 on the history. It varies from patient to patient.

heavier, 17 Q Would you agree with me, that if a person is  
18 is bearing more weight on the lower extremities, over

time that

19 could cause some wear and tear on the knee joints.

20 Would you agree with me?

21 A Yes, sir.

22 Q And is there a reason why you didn't make a  
notation of

23 Miss Fernandez' weight when you first saw her back in  
September

24 of 2009, September 4th of 2009?

25 A Yes, sir.

81

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 Q Is it referred to in your record there?

2 A Again.

3 Q Take a look at it. Sure.

4 A If you're asking me if there was a reason, no.

5 Q Do you see it in your report?

6 A There was no weight mentioned there.

7 Q Now, when you first met Miss Fernandez--

8 By the way, was she referred to you by  
someone?

9 A I don't recall. It was a long time ago. I'm  
not sure.

10 Q Do you know if she was referred to you by Mr.  
Oresky's

11 office, the attorney of record for the plaintiff?

12 A I don't remember. I'm not sure.

13 Q In regard to Mr. Oresky, have you testified on  
behalf

14 of clients that he represents?

15 A Yes.

16 Q And do you recall testifying in a case where  
the

17 Plaintiff's name was Elsie Heyford.

18 Do you remember that name?

19 A Not independently, no.

20 Q What about Hilario Garcia?

21 A No, sir.

22 Q By the way, have you ever testified on behalf  
of a case

23 where Mr. Mullaney and his partner, Mr. Gjelaj  
represented the

24 plaintiff?

25 A Yes, sir.

82

Dr. Dassa – For Plaintiff – Cross (Veilleux)

1 Q And when was the most recent time you  
testified at

2 their request?

3 A It was many years ago. I don't recall the  
exact date.

4 Q That was here in this building as well?

5 A Yes.

6 Q Now, you mentioned on direct examination that  
you had a  
7 speciality in hand injuries.

8 Correct?

9 A Subspeciality, yes.

10 Q It's a subspeciality.

11 Would it be fair to say that example, the  
injuries  
12 involving the knees in the field of orthopedic surgery,  
there  
13 are orthopedic surgeons who solely limit their practice  
to  
14 treating lower extremities or the knees.

15 Right?

16 A Yes, sir.

17 Q And conversely, there's also orthopedic  
surgeons-- if I

18 have a problem with my should, I have problems moving  
it, I

19 would go to an upper extremity orthopedic expert for a  
shoulder  
20 problem. Correct?

21 A Not necessarily.

22 Q But there are such orthopedic surgeons who  
limit their

23 practice to that particular location of the body.

24 Correct?

25 A Yes, sir.

83

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 Q And would it be fair to say the same for back  
injuries,  
2 whether it be a lumbar injury, cervical spine injury.  
You would  
3 go many times to an orthopedic surgeon and they do  
exist to  
4 solely treat people in regard to claims of back injury.

5 Correct?

6 A Not necessarily.

7 Q But there are such specialties, they would  
limit their  
8 practice to that. Would you agree?

9 A There are doctors who do limit their practice  
to just  
10 the spine, yes.

11 Q Now, when you first met Miss Fernandez, as a  
clinician  
12 you conducted a history and you gathered certain  
information  
13 from her.

14 Correct?

15 A Yes, sir.

16 Q And you learned that she--

your 17 In your report, in your initial notes of  
18 first encounter with this plaintiff, that she claimed  
19 involvement with a car or a motor vehicle.

20 A Yes, sir.

21 Q Okay.

her, did 22 Now, when you had this conversation with  
23 you communicate with her in English or Spanish?

been with a 24 A Well, I don't speak Spanish, it would have  
25 translator or interpreter.

84

Dr. Dassa - For Plaintiff - Cross (Veilleux)

records that 1 Q Now, is there anywhere reflected in your  
initially 2 there was a translator present in your office when you  
3 met Miss Fernandez?

4 A No, sir.

up 5 Q What about the subsequent visits, the follow-  
notes that a 6 visits. Is there any reference whatsoever in your  
7 translator was present?

8 A No, sir.

9 spoke English

Q As you sit here today, do you know if you

10 to Miss Fernandez?

11 speak

A I couldn't speak English to her. She doesn't

12 English.

13 with her

Q Is it possible therefore that you communicated

14 in English and she spoke to you in English?

15 A No, sir.

16 English.

Q You're certain that she does not speak

17 Correct?

18 A Yes, sir.

19 office

Q Was there a representative of Mr. Oresky's

20 present when you first met Miss Fernandez who acted as

a

21 translator?

22 A To my recollection, no.

23 various

Q Now, you discussed a lot based upon your

24 reports that you have that testing was done-- we'll

talk now

25 about the lumbar spine first. I'll try to move as fast

as I can.



office 20 Q And for example, if a plaintiff comes to your  
I'm not 21 and you ask them to perform a range of motion test--  
certainly 22 saying it happened in this case but a plaintiff could  
23 fool you. They could decline to move forward without  
pain. They  
24 could say I can't move at all based upon your request  
that they  
25 move forward, move to the side, rotate left and right.  
Some

86

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 people could do that. That's why it's called a  
subjective test.

2 Correct?

3 A Are you speaking of my range of motion  
assessment?

4 Q I'm speaking of the phrase in medical  
terminology. In

5 range of motion, is it subject or objective in nature?

6 A It depends how you're doing it and describing  
it.

7 If you're describe speaking of active  
range of

8 motion then that would be subjective. If you're dealing  
with

9 passive range of motion, which is what I do during my

physical

10 exams, unless the person interferes with your doing it  
then

11 that's an objective assessment.

12 But, yes, active range of motion that is  
13 subjective because the person will stop when they say  
it hurts

14 and in that case that's why it's as an active  
assessment as

15 active range of motion, they could fool up.

16 Q And same discussion with regard to pain. When  
a

17 patient tells you I have pain when I move forward, I  
have pain

18 when I bring my left knee up. Would that statement be a  
19 subjective complaint or would that be an objective  
complaint?

20 A That would be subjective.

21 Q Now, when Miss Fernandez first walked into  
your office,

22 do you recall if she had any type of device when she  
came in

23 like a cane or a walker?

24 A To my recollection, she had no devices.

25 Q By the way, did you have the opportunity to  
observe her

1 gait when you first met her?

2 Was she walking normally, do you have any  
3 recollection?

4 A May I refer to my note?

5 Q Yes.

6 A There was no gait abnormality.

7 Q You used the phrase in your records there was  
no gait

8 abnormality. She was walking normally as a person.

9 Correct?

10 A Yes.

11 Q Now, you're not a radiologist.

12 Correct?

13 A I am not, sir.

14 Q In fact, you're board certified as an  
osteopath?

15 A No. I'm board certified as an orthopedic  
surgeon.

16 Q But you're not a medical doctor. You're an  
osteopath?

17 A I'm a medical doctor with a degree as an  
osteopath. So

18 I'm a board certified surgeon.

19 Q And when you first saw Miss Fernandez, was she  
fully

20 weight bearing. I mean you observed normal gait, she  
could carry

21 her weight. Correct?

22 A Yes, sir.

23 Q Now, let's talk about the MRI studies from I believe

24 the facility in Bainbridge. Those were the first studies of the

25 lumbar spine. Left knee. Right knee.

88

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 Correct?

2 A Yes, sir.

3 Q And in regard to the knees. Both of those studies were

4 normal.

5 Correct?

6 A Yes, they were recorded as normal.

7 Q I believe there was a cyst that was seen-- I believe

8 on-- on both studies of the left knee, right knee there was a

9 cyst but other than that there were no findings of any injury

10 that could be considered related to any type of traumatic

11 injury.

12 Correct?

13 A That's correct.

left 14 Q Now, the first surgery that you did was on the  
2010. 15 knee. Right. That's the one that was done May 12th,

16 Correct?

17 A Yes, sir.

18 Q Now, as a clinician in the field of  
orthopedics, when

19 arthroscopic procedure is done, is it normal to take  
what are

20 known as intraoperative films?

21 A Pictures, yes.

22 Q Pictures. Okay.

23 And, in fact, that's something that as a  
clinician

24 in the field of orthopedics you would want to do in  
order to

25 verify the condition that allegedly existed as you see  
through

89

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 the arthroscope.

2 Right?

3 A Yes, sir.

4 Q Now, in regard to the left knee surgery, did  
you take

5 any intraoperative films?

6 A Again, as a routine course of doing  
arthroscopy I would

7 taken pictures, yes.

8 Q In this particular case, did you take  
intraoperative

9 films?

10 A Yes, sir.

11 Q Do you have them in your file?

12 A Again, those wouldn't be in my file. Those  
would be in

13 the Surgicare files. They're part of the surgical  
record.

14 Q Same questions total right knee. Do you recall  
if you

15 took intraoperative films in regard to the right knee?

16 A Again, it's a normal course of business I  
would taken

17 the pictures. I do not recall not taking pictures.

18 Q Do you recall that you did take pictures.  
Correct?

19 A Again it was a while ago so if I did actually  
take

20 pictures, I cannot tell you a hundred percent, but as a  
normal

21 course of doing these surgeries, on a weekly basis, I  
always

22 take pictures. Unless there was an equipment failure  
that date,

23 there would have been pictures taken.

24 Q Now, in regard to the lumbar spine region

we're talking

25 about lower back, L4--

90

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 A L4-5?

2 Q Just above the coccyx area?

3 A It would be more correct above the sacrum.

4 Q Okay.

5 And in your experience, as an  
orthopedist, you see

6 a number of people who come to your office for  
complaints of

7 lower back pain that are not related to any type of  
traumatic

8 injury.

9 Correct?

10 A Yes, sir.

11 Q And would it be fair to say the lumbar spine--  
in fact,

12 the entire spine, as you get older, I think Mr.  
Mullaney used

13 the phrase wear and tear but I would like to use the  
phrase

14 degeneration. That occurs and it's part of the living  
process as

15 you get older. Correct?

16 A It does occur as part of the aging process.

17 Q In fact, doctor, to find a mild herniation at  
L4-5, the

18 very bottom, the level we're discussing, in fact.  
That's a

19 normal finding on a then-- I believe she would have  
been 37

20 years old back at the time this accident occurred.  
That's not an

21 abnormal finding. Correct?

22 A Again, any herniated disc is an abnormal  
finding. Any

23 disc displacement is not normal.

24 Q Normal population, for a 37 year old, you  
would find

25 quite high percentage of people that have bulging discs  
and

91

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 herniated discs at that level with no encroachment on  
the spinal

2 cord, you would see that?

3 A You could see disc bulges and herniation, yes.

4 Q In fact that level, that level we've been  
discussing

5 today, this morning, that's where you would expect to  
find this

6 degenerative process to begin, in the lower lumbar

spine

7 region.

8 Correct?

9 A Again, if you're speaking of degenerative  
processes,

10 that particular segment would be the segment that you  
would

11 usually see the degeneration occur first. So if it was  
12 degenerative it would be at that level, yes.

13 Q Doctor, you've gone to the claim mechanism of  
the

14 injury in this case.

15 Other than being told by the plaintiff  
that she

16 was struck by a car, do you know anything else?

17 A That she fell to her knees.

18 Q And once again, do you know if she was  
actually struck

19 by a motor vehicle, was-- was she startled and fell to  
her knees

20 or something else?

21 A I mean to my understanding of the history that  
she

22 presented, she was hit by a car.

23 Q And did she tell you what part of her body was  
struck

24 by the car?

25 A I believe her back.

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 Q The back?

2 A The back of her body, back of her knees, her  
legs.

3 Q By the way, when you first evaluated the  
plaintiff, did

4 you look at her feet, her heels?

5 A Again, I didn't look at it because she had a  
laceration

6 but she was managed by the hospital for that so I did  
not put

7 that in in my notes.

8 Q Now, in regards to the left knee. You stated  
that you

9 didn't find any degenerative processes in the left  
knee.

10 Correct?

11 A Well, again, I didn't find a degenerative  
process in

12 the knee, I found a traumatic process. It was no  
significant

13 degeneration in the knee that would be consistent with

14 degenerative arthritis. She had damage to the condyle.  
There was

15 degeneration of that cartilage which would normally  
occur with

16 trauma but it was not degenerative arthritis.

17 Q There were no meniscal tears whatsoever.

Correct?

18 A That is correct.

19 Q The ACL, the anterior cruciate ligament  
completely

20 intact.

21 Correct?

22 A Yes, sir.

23 Q So other than the cartilage injury that you  
claim,

24 there was no tears whatsoever to any of the ligaments,  
any

25 meniscal tears whatsoever in that left knee.

93

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 Correct?

2 A Correct.

3 Q Now, the right knee, the particular tears that  
you

4 claim were observed--

5 By the way, have you see any  
intraoperative

6 photographs at all prior to today on either knee?

7 A Not recently, no.

8 Q Do you know, in fact, if they exist?

9 MR. MULLANEY: Objection, Judge. Asked  
and

10 answered about four times.

11 THE COURT: Overruled.

12 Intraoperative photographs--

13 Q In regard to the right knee, talking about the  
medial

14 Meniscus you found a slight tear in the horn.

15 Correct?

16 A Yes.

17 Q Would you agree with me, that's a part of the  
meniscus,

18 where beginnings of degeneration usually start in that  
anterior

19 horn?

20 A If you're speaking of finding as degenerative  
part that

21 would be the part where it starts.

22 Q That's where you found a tear in the anterior  
horn, you

23 would agree with me that's where the degeneration  
process would

24 start in that particular location, correct?

25 MR. MULLANEY: Objection.

94

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 Q The lateral meniscus, that was the other  
meniscus that

2 you claim there was a slight tear. Correct?

3 A Yes, sir.

4 Q And specifically the part of the meniscus  
we're talking

5 about where you found a slight tear was the posterior  
horn of

6 the lateral meniscus.

7 Correct?

8 A Yes, sir.

9 Q And, once again, isn't that the location of  
the

10 meniscus where one would expect to find the beginnings  
of

11 degeneration, wear and tear as Mr. Mullaney referred to  
it at

12 the start, that particular location?

13 A Again, if you're speaking of purely  
degenerative

14 finding, that would be the location where it would  
start.

15 Q Now today, the plaintiff, she's fully weight  
bearing,

16 correct, she can walk around. She doesn't need any kind  
of

17 assistive devices.

18 Correct?

19 A Correct.

20 Q In fact, I think we've all observed her walk  
around the

21 court house. We've seen her walk in hallways. Her gait

appears

22 to be perfectly normal to the lay person.

23 Would you agree?

24 A Again, I didn't see her walk today. I can  
speak when I

25 saw her on February 28th but, again, symptoms will be  
variable

95

Dr. Dassa – For Plaintiff – Cross (Veilleux)

1 from day to day. If you're telling me she's walking  
without a

2 limp today, I will concede that if that's accurate. I  
haven't

3 seen her walk today.

4 Q By the way, when you saw her back on February  
28th,

5 2017 which was last Tuesday, when had you seen her  
prior to

6 that?

7 A March 18th, 2016.

8 Q So a period of time, considerable period of  
time

9 elapsed between March and February 28th, 2017 with no  
treatment

10 by you.

11 Correct?

12 A Yes, sir.

February 13 Q And, by the way, the purpose of the visit on  
14 28th, 2017, was that for purposes of this litigation?  
15 Were you asked to see Miss Fernandez at  
the 16 request of the plaintiff's attorneys?

17 A Yes, sir.

18 Q And that was Mr. Oresky's office?

19 A Yes, sir.

want you 20 Q So an attorney asked you to-- said doctor, I  
to 21 to see my client. She's going to come in the following  
last 22 testify. Now, that was basically the reason you saw her  
23 week, isn't that true?

24 MR. MULLANEY: Objection.

25 Asked and answered the third time.

96

Dr. Dassa - For Plaintiff - Cross (Veilleux)

1 THE COURT: No. I'll allow it.

2 A Yes.

3 MR. VEILLEUX: No further questions.

4 MR. CALDERON: No questions.

5 THE COURT: Counsel?

6 MR. MAILLOUX: I have some questions.

7 THE COURT: Go ahead.

8 CROSS-EXAMINATION

9 BY MR. MAILLOUX:

10 Q Good afternoon, doctor.

11 A Good afternoon, sir.

12 Q You indicated that you met with the plaintiff  
on  
13 September 4th, 2009.

14 A Yes, sir.

15 Q And you did an initial inquiry?

16 A Yes, sir.

17 Q And did you give Miss Fernandez an intake form  
for her  
18 to fill out?

19 A It would have been done, yes.

20 Q And do you have a copy of that intake form?

21 A No. I would not have that copy.

22 Q Okay.

23 When a patient generate rates an intake  
form, does  
24 that become part of your file?

25 A Again, it would become part of the file but  
that's not

Dr. Dassa - For Plaintiff - Cross (Mailloux)

1 my file. It was All Med's file. I stopped working in  
All Med in  
2 November of 2010. They are still the keepers of the  
records. I  
3 would not have the intake form and they never sent it  
to me.

4 Q So you were at All Med for a period of time  
and then  
5 you left?

6 A Yes.

7 Q And the file that you have is your personal  
file on the  
8 case?

9 A Well, I have my personal notes that were  
created in my  
10 office as a result of encounters. I also have copies of  
some of  
11 the All Med records that were forwarded to me from Mr.  
Mullaney  
12 and Mr. Oresky but I don't have the file from All Med  
treatment  
13 physically in my office.

14 Q Could you distinguish, as you're sitting here  
today,  
15 which records that you have with you were records that  
you had  
16 versus which records that were provided to you by Mr.  
Oresky and  
17 Mr. Mullaney?

18 A Yes. Would you like me to do that.

19 Q If you can.

20 A Okay.

21 Anything before November 1st and  
including  
22 November 1st of 2010 would be All Med records and  
anything  
23 subsequent to that, so the time I saw her after that,  
because  
24 she did continue to treat at All Med with replacements.  
I saw  
25 her in my office the first time July 12th, 2013 and  
everything

98

Dr. Dassa – For Plaintiff – Cross (Mailloux)

1 from 12 was my records.

2 Q Your replacements at All Med was Doctor  
Kenneth Poke?

3 A Kenneth Macome. There was a doctor Grasiola  
and also

4 Mitchell Kaplan and Stotela Gasse. Four doctors.

5 Q You're familiar with the practices of All Med?

6 A Again, only on the medical practice side. As  
far as

7 other practices, I'm not familiar with.

8 Q So when they were treating with Miss  
Fernandez, as far

9 as your practicing with All Med, they would look at  
your records

10 and the entire file in evaluating the patient?

11 A Yes.

12 Q At All Med, after you left, presumably isn't  
it true

13 that Miss Fernandez' condition in both the right and  
left knee

14 were classified as degenerative?

15 A Yes.

16 Q And also referring to your initial evaluation  
on

17 September 4th, 2009. You found signs of degeneration  
in Miss

18 Fernandez' lumbar spine.

19 Correct?

20 A Yes.

21 Q And in fact those were at the L5-S1 level.

22 Correct?

23 A Yes, sir.

24 Q And, doctor, I want you to assume,  
hypothetically, that

25 an individual has a pathology at one level in the  
lumbar spine.

1 Would that pathology then create or furnish the  
circumstance for  
2 destabilization or pathology at another level of the  
lumbar  
3 spine?

4 A It depends on the extent of the involvement.  
If it's a  
5 mild degeneration it really doesn't impact the rest of  
the  
6 spine. If you start to see disc space collapsed, the  
answer  
7 would be yes.

8 Q When you're assessing an MRI of the  
individual, you  
9 used the phrase brightness. Is that correct?

10 A Yes, sir.

11 Q That's because when you're looking at MRIs,  
the MRIs  
12 either showed water content or fat content.

13 Is that correct?

14 A Again, it would depend on the sequences that  
are  
15 enhanced. There are sequences that enhance water and  
that would  
16 be called the T2 sequence and, then, there are  
sequences that  
17 enhance fat but you see fat and water on all sequences.  
It's

18 just the amount of enhancement and the type of  
enhancement that

19 are done.

20 Q The T1 sequence would enhance fat. Correct?

21 A Yes.

22 Q And we were looking at T T2 sequences before?

23 A Yes, sir.

24 Q And when a doctor, or radiologist, is looking  
at MRI

25 films of the lumbar of the disc, they're looking at the

100

Dr. Dassa - For Plaintiff - Cross (Mailloux)

1 brightness in order to get an idea of what the water  
content is

2 for the disc.

3 Correct?

4 A Yes, sir.

5 Q And if a disc degenerates over time in an MRI,  
under a

6 T2 sequence, that disc will appear to be a darker  
shade, you

7 know, depending on how much water content is missing.  
Correct?

8 A Again, decreased signal on a T2 image is  
representative

9 of loss of water. It doesn't necessarily have to be  
from

10 degeneration. If you're speaking of degeneration in its  
pure

11 form, yes, you would have a darker signal but losing

fluid by

12 trauma could would always give you the same signal but  
loss of

13 signal is always degenerative.

14 Q When you look at the disc it would also be  
inaccurate

15 to say that the loss of signal is always traumatic.

16 Fair to say?

17 A Yes, sir.

18 Q Now, turning to the findings of chondromalacia  
in the

19 plaintiff's left knee.

20 A Yes, sir.

21 Q Chondromalacia can come about through normal  
wear and

22 tear. Correct?

23 A Yes, sir.

24 Q In fact, in some circles it's known as  
runner's knee.

25 Is that correct?

101

Dr. Dassa - For Plaintiff - Cross (Mailloux)

1 A Again, all people can chondromalacia. You  
know, we can

2 use the term runner's knee but anybody can get  
chondromalacia

3 just from walking if you're prone to having arthritis.

4 performed the

Q And when you looked in the knee and you

5 surgery in May of 2010, the contents of what you took  
out were

6 sent to the lab to be analyzed.

7 Correct?

8 A Yes, sir.

9 the left

Q And, in fact, those contents with regard to

10 knee were found to be degenerative cartilage.

11 Correct?

12 A Yes, sir.

13 or not

Q And there's no way that you could tell whether

14 that

that degeneration began on August 31st, 2009 or before

15 date.

16 Correct?

17 A I could tell.

18 Q You could tell.

19 that

And what would be the indications to you

20 the

would indicate that it was degeneration that came after

21 surgical procedure?

22 would be

A Well, if you are speaking of degeneration that

23 with

prior to the injury. You know, when you're dealing

24 arthritis from wear and tear it doesn't usually effect  
one  
25 location in one specific part of the joint. It's  
generally a

102

Dr. Dassa - For Plaintiff - Cross (Mailloux)

1 defused process. When you--  
2 When I got into the knee there was a  
crater in the  
3 bone. It wasn't a worn piece of cartilage. The  
cartilage was  
4 completely off of the bone, and if I compare that area  
to the  
5 rest of the knee, which is pristine, there was no  
degeneration  
6 visually in any other part of the knee. So there was  
some type  
7 of traumatic insult to that condyle, and when cartilage  
is  
8 injured it degenerates as well. There's nothing in that  
to  
9 suggest that any degeneration existed prior to this  
accident.

10 Q You indicated that your findings, when you  
looked at  
11 the left knee, that the portion of cartilage was  
missing. It  
12 was your opinion that it wasn't ripped off. That it  
took place

13 over a period.

14 Correct?

15 A A period of time after the accident, yes.

16 Q And degeneration takes place over a period of  
time as  
17 well.

18 Correct?

19 A Again, if you're speaking of degenerative  
arthritis,

20 that would be a period of time of years as opposed to  
weeks or  
21 months.

22 Q And, in fact, chondromalacia can be associated  
with  
23 degeneration.

24 Correct?

25 A Yes, sir.

103

Dr. Dassa - For Plaintiff - Cross (Mailloux)

1 Q And for the surgery that was performed on  
September

2 22nd, 2010 regarding Miss Fernandez' right knee, the  
contents of

3 the knee were analyzed by the pathology lab as well.

4 Correct?

5 A Yes, sir.

6 Q And those contents were also found to be  
degenerative.

7 Correct?

8 A Yes, sir.

9 Q Now, doctor, as we sit here today is Miss  
Fernandez a

10 candidate for bilateral knee replacement?

11 A Presently?

12 Q Presently.

13 A No, sir.

14 Q And, doctor, if she were to undergo bilateral  
knee

15 replacements, that would be performed by another doctor  
not you.

16 Correct?

17 A Yes, sir.

18 Q You performed two arthroscopic surgeries. One  
on the

19 left knee. One on the right knee. Correct?

20 A Yes, sir.

21 Q Arthroscopic surgeries, a common procedure  
today.

22 Correct?

23 A Yes, sir.

24 Q You also indicated that when you reviewed the  
MRIs, you

25 found cysts in each one of the plaintiff's knees.  
Correct?

Dr. Dassa – For Plaintiff – Cross (Mailloux)

1 A Yes, sir.

2 Q And when Mr. Veilleux was questioning you, you  
had

3 noted that those cysts were not related to trauma.

4 A I didn't say that.

5 He said there was no other traumatic  
findings to

6 the knee. I did not state specifically whether the  
cysts were or

7 were not related to trauma.

8 Q Cysts also take time to develop. Correct?

9 A It depends. You know ganglion cysts, which  
occur in

10 joints, can occur over time. They can occur over long  
periods

11 of time. They can occur over a short period of time if  
the knee

12 or any joint is inflamed, so it depends on the clinical  
13 scenario. Again, if I had to assess those MRIs, there  
was no

14 traumatic findings on those MRIs of the knees.

15 Q The cysts that you saw, would you classify  
them as

16 traumatic or not traumatic?

17 A Again, my notes speak of traumatic ganglion

cysts.

18 There's no reason for her to have one as far historical  
details  
19 go. Again, that in itself was not a reason for her to  
have  
20 surgery. That's not the damage to the knees.

21 Q Doctor, you used the phrase passive range of  
motion  
22 before?

23 A Yes, sir.

24 Q Could you describe for the jury what you mean  
when you  
25 use the phrase, passive range of motion?

105

Dr. Dassa - For Plaintiff - Cross (Mailloux)

1 A Well, as opposed to what counsel was  
describing where a  
2 person tries to bend their knee and they stop when it  
hurts or  
3 straightens it out. That would be active range of  
motion.

4 On passive range of motion say this is a  
knee  
5 joint. I'm bending the knee until it stops, or it  
doesn't move  
6 anymore, and take the measurement with the goniometer  
and  
7 straighten it where it stops. And in most occasions

the

8 patients do not interfere with that and that is truly  
an  
9 assessment.

10 In cases where patients interfere that  
would be  
11 less objective and that didn't occur here. When you're  
dealing  
12 with passive range of motion it's the operator moving  
the joint  
13 or the examiner moving the joint as opposed to the  
patient  
14 moving it for you.

15 Q So when you're testing for passive range of  
motion, the  
16 test that you're doing involve you actually  
manipulating the  
17 joint?

18 A Yes, sir.

19 Q Now, if the patient at some point in time  
indicated to  
20 you that they were in pain, in that instance would you  
stop  
21 manipulating the joint?

22 A I mean in the instance where the patient would  
prevent  
23 me from going further, I would stop and it would be  
noted in the  
24 note.

25 Q You were asked by Mr. Veilleux about  
testifying last

Dr. Dassa – For Plaintiff – Cross (Mailloux)

1 week in this building.

2 A Yes, sir.

3 Q And when you testified, was that at a fee of  
sixty-five  
4 hundred dollars as well?

5 A Yes, sir.

6 Q That's your standard fee?

7 A Flat fee. Yes, sir.

8 Q How many times have you testified in court on  
behalf of  
9 patients in 2017?

10 A I couldn't give you an accurate number. I'm  
not sure.

11 Q More or less than ten?

12 A It would be less than ten.

13 Q More or less than five?

14 A I couldn't accurately answer you.

15 Q Well, you were here today and you were here  
last week?

16 A Yes, sir.

17 MR. MAILLOUX: I have no further  
questions.

18 THE COURT: Anything else?

19 MR. MULLANEY: Judge, do I have a minute  
to ask

20 one question.

21 THE COURT: I'll give you a minute.  
Sure.

22 REDIRECT EXAMINATION

23 BY MR. MULLANEY:

24 Q Doctor, you were asked questions about the  
mechanism of  
25 the injury. Just describe what the mechanism of the  
injury is?

107

Dr. Dassa - For Plaintiff - Redirect

1 Of the knee injuries?

2 A There was something that hit the patient, and  
to my  
3 understanding it was a car that knocked her to the  
ground.

4 The mechanism of injury is an impact to  
the knee  
5 and the cartilage. That would be when the impact  
cumulative  
6 force or whatever hit her from behind and her hitting  
the  
7 ground.

8 Q And if the, as the defendants they've been  
arguing that  
9 it was not a car, it was the bumper it was debris, a

garbage

10 can, something else. Would that change your opinion  
regarding

11 the mechanism of the injury that she suffered to her  
knee and

12 her back?

13 A No, sir.

14 MR. MULLANEY: No further questions.

15 THE COURT: Anything else?

16 MR. VEILLEUX: No, Your Honor.

17 MR. MAILLOUX: No, Your Honor.

18 THE COURT: You may step down, sir.

19 THE COURT: Ladies and gentlemen, we're  
going to

20 break for lunch. We went over our normal time so we  
could

21 finish with this witness. We'll resume at 2:30. So  
during

22 that time period do not discuss anything among  
yourselves or

23 with anyone else, if anyone approaches you or  
attempts to

24 discuss anything about the case with you, report  
that to me

25 and of course do not engage in that discussion.  
Keep an open

Dr. Dassa - For Plaintiff - Redirect

1 mind. Do not do any independent research regarding  
this  
2 matter whether on electronic media or otherwise and  
we'll  
3 see you back here at 2:30.

4 (Whereupon, the jury exited the  
courtroom.)

5 THE COURT: All right. 2:30.  
6 (Whereupon, the luncheon recess was  
taken.)

7 \*\*\*\*\*  
8 This is to certify that the foregoing is a true and  
accurate  
9 transcript of the stenographic minutes taken  
within.

10  
11 MICHELE HENLEY,  
12 Senior Court Reporter

13  
14  
15  
16  
17  
18  
19  
20  
21

22

23

24

25