

CIVIL COURT OF THE CITY OF NEW YORK  
COUNTY OF KINGS: TRIAL TERM PART: 67

-----X

GERALD DORMEVIL and RACHELLE DORMEVIL,

Plaintiffs,

- against -

### Index No.

TS 300197/18

A.E. ABDELRAHIM and MRS. CAB CORP.,

## EXCERPT

## Defendants.

141 Livingston Street  
Brooklyn, New York 11201

December 14, 2018

B E F O R E : HONORABLE SHARON A.B. CLARKE J.C.C.

and a jury

## APPPEARANCES:

HACH & ROSE, LLP  
Attorneys for Plaintiff  
112 Madison Avenue  
10th Floor  
New York, New York 10016  
BY: ADAM J. ROTH, ESO.

## of Counsel

PICCIANO & SCAHILL, ESQS.  
Attorneys for Defendants  
1065 Stewart Avenue  
Suite 210  
Bethpage, New York 11714  
BY: FRANCES SCAHILL, ESO.

WENDY LIFTON  
OFFICIAL COURT REPORTER

3 (Whereupon, the jury entered the courtroom.)

4 THE COURT: Good morning to everyone. You may  
5 be seated. We are missing one juror.

6 We are going to break for a few minutes and  
7 give the jury clerk an opportunity to reach out to the  
8 missing juror.

9 COURT OFFICER: All rise, jury exiting.

10 (Whereupon, the jury left the courtroom.)

12 (Whereupon, the jury entered the courtroom.)

13 THE COURT: Good morning to everyone. You m

14 be seated. Counsels, are you ready to proceed?

15 MR. ROTH: Yes, your Honor. At this time  
16 Plaintiff calls Dr. Carfi.

17 J O S E P H C A R F I, having been first duly  
18 sworn, was examined and testified as follows:

21 THE WITNESS: Joseph Carfi, C-A-R-F-I, M.D.,  
22 2001 Marcus Avenue, Lake Success, New York 11042.

1 concluded.

2 As I indicated to you, sometimes we would be  
3 taking witnesses out of turn, so this doctor will be  
4 testifying and then you will hear again from  
5 Mr. Dormevil to complete his testimony. We do this  
6 because of scheduling issues sometimes. Thank you.

7 Counsel, you may proceed.

8 MR. ROTH: Thank you, your Honor.

9 DIRECT EXAMINATION

10 BY MR. ROTH:

11 Q. Dr. Carfi, did you bring your file with you today?

12 A. I did.

13 MR. ROTH: Can we please have it marked for  
14 identification, your Honor?

15 (Whereupon, the above-mentioned document was  
16 marked as Plaintiff's Exhibit 19 for identification.)

17 MR. ROTH: Your Honor, we would like to offer  
18 it in evidence, Exhibit 19 by stipulation.

19 MR. SCAHILL: Agreed, your Honor.

20 THE COURT: So moved.

21 (Whereupon, the above-mentioned document was  
22 marked as Plaintiff's Exhibit 19 in evidence.)

23 Q. Doctor, at any time we are talking about your  
24 report, please feel free to consult it.

25 Can you please tell us a bit about your education

1 and training?

2 A. Surely.

3 So, I have a bachelor of science in biology, that's  
4 from SUNY Albany, a masters of chemistry from Rensselaer Poly  
5 Technic Institute, and I earned my medical degree, M.D., from  
6 the Mount Sinai School of Medicine in Manhattan.

7 I then went on to my residency training program in  
8 physical medicine and rehabilitation. That was at the Rusk  
9 Institute for rehabilitation medicine, New York University.

10 That's my training.

11 Q. Are you currently licensed to practice medicine?

12 A. I am.

13 Q. Are you board certified?

14 A. Yes.

15 Q. What board certifications do you have?

16 A. Physical medicine and rehabilitation.

17 Q. Can you tell the ladies and gentlemen of the jury  
18 what that is, board certification?

19 A. The physical medicine -- first of all, board  
20 certification is a process by where, first of all, you have  
21 to have the basic educational credentials.

22 Then, in my field, we take a written exam at the  
23 end of residency. Assuming you pass that, then a year later  
24 you sit for an oral exam where other doctors examine you,  
25 present cases and you have to pass that.

1           My particular field, physical medicine and  
2 rehabilitation, the physical medicine side of what I do is  
3 basically taking care of people who have pain, hurt the neck,  
4 shoulder, sprain your ankle, whatever it is you came in  
5 evaluate. You diagnose, treat, and hopefully you get better.

6           The rehabilitation side is people have more  
7 permanent issues, could be spinal cord injury, could be  
8 amputation, stroke, multiple sclerosis, disabling types of  
9 conditions, and what we are trained to do is take care of the  
10 person that has that disabling condition, and I say it that  
11 way because as the doctor, we have to be familiar with the  
12 potential medical or surgical complications or issues  
13 specific to that disabling condition, whatever it might be,  
14 but those people many times have an emotional reaction,  
15 psychological reaction to their disablements, maybe  
16 vocational issues, need special equipment, special  
17 treatments, more of an holistic approach to somebody that has  
18 had some sort of injury that way.

19           Q. And after residency, what did you do next  
20 professionally?

21           A. Well, then I entered a private practice situation  
22 with an older doctor in Westchester County. I was a junior  
23 associate, he was the senior doctor, it was his practice,  
24 more of a community medicine hospital, consultation, nursing  
25 home, work office, that sort of thing.

1           I did that for a couple of years, and then I had  
2 the opportunity to return to academic medicine. So, I then  
3 became full time academic physician at Mount Sinai. That's  
4 where I went to medical school, and I joined the new chairman  
5 there in the department rehabilitation medicine.

6           So, I was an associate professor of rehabilitation  
7 medicine. I became the associate clinical director of the  
8 department. I ran the inpatient unit. My particular area is  
9 traumatic brain injury.

10          I did a bunch of rolls in that department, excuse  
11 me. I did that for a few years and then went into, you might  
12 call it corporate medicine situation, in the sense that I  
13 worked for a company that had a brain injury facility that I  
14 became medical director of that facility in Great Neck out on  
15 Long Island.

16          We had day and a half programs, people with brain  
17 injuries would come in for treatment. Simultaneous with that  
18 position, I started a little private practice, evenings,  
19 weekends, that sort of thing, and then after a couple of  
20 years, I felt comfortable in stepping out into my own  
21 practice.

22          So, since 1992, I have basically been in my own  
23 business.

24          Q. And did you say academic medicine, does that mean  
25 you're teaching doctors?

1           A. Yes, I was doing it, then, more full time,  
2 obviously. I still do that actually. I still volunteer my  
3 time at Mount Sinai. I run the brain injury clinic there  
4 once or twice a month, depends on their needs, and that's a  
5 teaching situation for medical students and residents who are  
6 in training.

7           Q. How long are you in private practice for, Doctor?

8           A. Well, I started part-time 1990. '92, that's all  
9 I've done.

10          Q. And from '92 to present, has your practice evolved  
11 at all?

12          A. It has changed significantly.

13           So, I became interested actually in medical legal  
14 work while I was still in academics, and so I had started a  
15 very small, when I was in private practice, and certainly I  
16 was taking a lot of patients, doing a little bit of medical  
17 legal work.

18           As time went on, things started to evolve and flip  
19 where eventually it became 50/50 of time. Then it started to  
20 morph into more time related to forensic. I say it that way  
21 because it wasn't all medical legal. I also did independent  
22 medical examination, disability evaluations.

23           I also currently serve as an expert for the  
24 Department of Health, Office of Professional Conduct, and  
25 then, eventually, in 2016, October, I stopped seeing private

1 patients in the office.

2 So, I don't see, perform patient care for any kind  
3 of compensation currently. So, the last couple of years it's  
4 all really been forensic work in my office.

5 Q. So, are you being compensated for your time away  
6 from your forensic work today?

7 A. Yes.

8 Q. How much is your compensation?

9 A. I'm being paid for my time today, expertise,  
10 etcetera, is \$4650.

11 Q. I want to talk to you a little bit about anatomy.

12 Generally, Doctor, can you explain the anatomy of  
13 the shoulder to the jury?

14 A. Sure.

15 Q. Before you get into it, would this help?

16 A. That is a shoulder model, sure.

17 MR. ROTH: May I approach, your Honor?

18 THE COURT: You may.

19 THE WITNESS: Could I get some water, your  
20 Honor, would that be all right?

21 THE COURT: The officer will get that for you.

22 A. Okay, so the shoulder, you're looking at a right  
23 shoulder. This is the front of the right shoulder, and  
24 anatomically the shoulder is an unstable joint, as compared  
25 to the hip, which is a very stable enjoyment. Hip is a very

1 deep ball and socket joint. In the shoulder, the socket is  
2 very shallow, and it is essentially an unstable joint.

3                   So, you're looking at the collar bone. We call  
4 that the clavicle. This is the shoulder blade on your back.  
5 This is your arm bone, and so over the top of the arm bone  
6 you have what's called the rotator cuff. It's really a  
7 continuous sheet of tendon, not separated like you see there,  
8 and then between the rotator cuff and this bone, which is  
9 called the acromion, that's part of the shoulder blade, there  
10 is usually a bursa, which is a fluid filled sac. It's a  
11 cushion. We have them all over the body's normal structure.  
12 When that becomes inflamed, we call that bursitis.

13                   This is the acromioclavicular joint. The clavicle  
14 is a structure which basically keeps your shoulder out.  
15 Otherwise, your shoulder would be hanging down by your chest.  
16 That really keeps the shoulder away, and that's pretty much  
17 the shoulder.

18                   Q.    What is the labrum?

19                   A.    Labrum. So, the labrum, you really can't see it,  
20 but where the arm bone connects to the shoulder blade, the  
21 very shallow socket like I told you, and so the labrum is  
22 Latin for lip, and what that is, it's a little lip of  
23 cartilage that sort of the arm bone kind of rests on it, on  
24 that little lip, and it helps to keep it in that very shallow  
25 socket.

1                   The main structure that keeps your shoulder in the  
2 socket, are the muscles, muscles and tendons that really  
3 keeps it locked in.

4                   Q.    So, what are the symptoms of a torn labrum?

5                   A.    Symptoms of a torn labrum typically are pain. The  
6 individual will have pain with motion, with use. They may  
7 have clicking, they may not, but when the labrum is torn,  
8 sometimes they will have a click when they move. So, those  
9 would be typical symptoms.

10                  Q.    What about a subacromial impingement?

11                  A.    Well, impingement means pinching essentially, so  
12 remember, I said this bone is the acromion, so subacromial  
13 means under the acromion, and there is something pinching  
14 between the acromion bone and the arm bone.

15                  So, the structures in here, the tendons, the bursa,  
16 they get squeezed, they get inflamed, ergo, you get  
17 bursitis, tendonitis, which is inflammation of the tendon.

18                  So, the symptoms would be pain, again, with use, or  
19 laying on the shoulder could be painful because you're  
20 compressing those areas.

21                  Q.    And what is a frozen shoulder?

22                  A.    Frozen shoulder refers to basically lack of  
23 mobility of that shoulder, typically due to scar tissue or  
24 fibrosis that occurs in the capsule. Overlying this joint is  
25 a capsule that holds everything together, keeps the joint

1 fluid in there, and sometimes that capsule gets thickened,  
2 gets scarred, gets foreshortened, and that limits your range  
3 of motion.

4 Q. Okay, what are the treatments -- is that adhesive  
5 capsules?

6 A. Adhesive capsules is more of an acute frozen  
7 shoulder in the sense there is inflammation there, and that  
8 inflammation that gets swelling that can then cause the  
9 scarring, and then the shoulder becomes frozen.

10 It hurts, you don't move it, and because you don't  
11 move it, things start to bind down a bit, then it hurts more  
12 if you try to move it, more then if you don't move it so  
13 much. It's sort of a vicious cycle, and eventually the  
14 shoulder becomes frozen.

15 Q. What is the range of treatments for that?

16 A. Range of treatment options are certainly going to  
17 include things like medications, anti-inflammatories by  
18 mouth, cortisone injections, which is injection of a steroid  
19 into the shoulder area.

20 So, there is anti-inflammatory inside the joint,  
21 physical therapy to provide pain alleviating modalities,  
22 stretching to get that range back. Ultimately, if it  
23 involves to the point where the shoulder is frozen, not  
24 responding to treatment, you then may need surgery, which  
25 could be manipulation under anesthesia, where a doctor puts

1 you to sleep and then really works at the shoulder, or they  
2 go in and they have to snip the scar tissue, certain  
3 ligaments and things to free things up.

4 Q. Thank you, doctor. You could put the shoulder  
5 down, I'll take it back. Can we talk about the spine a  
6 little bit?

7 A. We could, sure.

8 Q. Okay. So, is the spine divided into sections?

9 A. Yes.

10 Q. Approximately, how many sections are there in the  
11 spine?

12 A. Four sections.

13 Q. What are those four sections?

14 A. Cervical, which is the neck. Thoracic is the mid  
15 back or the chest where the limbs are attached to. Lumbar is  
16 lower back, that's next, and below that, is the sacrum, which  
17 is basically a triangular shaped bone in the back of the  
18 pelvis, several vertebrae fused together into a triangular  
19 shape bone.

20 Below that is the coccyx, which is the tailbone.  
21 There might be a few little extra pieces down there.

22 Q. You mentioned vertebrae fused together. What are  
23 vertebrae?

24 A. So, the way the spine works is that there are bones  
25 which are called vertebrae. Between the bones you have

1 discs. What the discs provide, A, is spacers between the  
2 bones and provides the flexibility. In other words, that's  
3 why you can bend and move is because you have those flexible  
4 spacers between the bones, and that allows you to move  
5 around.

6 Q. What function do the discs serve?

7 A. The discs are shock absorbers and spacers,  
8 essentially.

9 Q. Are the discs -- are there parts of the discs?

10 A. Yes.

11 Q. What are the parts of the disc?

12 A. So, the discs have an inner called the nuclear  
13 pulposus, which is more of a gelatin toothpaste. Around  
14 that, you have the annulus fibrosis, which is a much more  
15 tougher grisly like material that holds that disc in place.

16 So, those are the two components, and then to keep  
17 the disc where they belong within -- between the bones, there  
18 are ligaments and various tissues that kind of surround the  
19 bones and keep everything in place.

20 Q. What is a herniated disc?

21 A. A disc herniation is when there is a little bit of  
22 a tear in that tough fibrous ring and some of that toothpaste  
23 at this material leaks out, pushes out. That's a disc  
24 herniation.

25 Q. And what are the signs and symptoms of a patient

1 who presents with a disc herniation?

2       A. Well, possible signs and symptoms would include  
3 pain. If it's neck or back, depends where the disc  
4 herniation is, you can have local pain.

5               You can also frequently have pinched nerve pain.  
6 Sometimes you call that radiculopathy or radiculitis or  
7 sciatica, you are familiar with that.

8               It would be symptom of a disc herniation pressing  
9 on the nerve and causes pain down the leg.

10       Q. You said radiculopathy. You said there is  
11 localized pain and radicular pain?

12       A. Yes.

13       Q. Can you explain those two concepts?

14       A. Sure.

15               So, localized pain in medical parlance, we call  
16 that axial pain, that's to the actual axis of the spine.  
17 That's local pain, neck pain or back pain, that's the  
18 localized pain.

19               Then, the radicular pain or radiculopathy pain that  
20 would be in the extremities, somewhere down the extremity and  
21 that's variable.

22               Some people say my butt hurts, some will say the  
23 side of my leg or someone will say it goes all the way down  
24 to my foot.

25               Similar with the pain, pain just in the shoulder,

1 upper arm, sometimes it can go all the way down into the  
2 hand. Everybody presents a little bit differently.

3 Q. Let's talk specifically about C5-C6. So, can you  
4 point on your neck where C5-C6 would be?

5 A. C5-C6, this big bump you feel in the back of your  
6 own neck is C7. So, you go up a couple of levels and C5-C6,  
7 around there, the upper back.

8 Q. You mentioned the nerves that surround the spinal  
9 cord. Where do those nerves go at C5-C6?

10 A. Okay. Well, C5-C6 -- well, C5 is one nerve root  
11 and C6 is another one, and they both go to slightly different  
12 areas.

13 So, the C5 nerve root would go to muscles around  
14 the shoulder, shoulder blade muscle, muscles over the top of  
15 the shoulder, little bit into the biceps, and the sensation  
16 distribution of C5 is also around the shoulder or the biceps.

17 C6 goes a little bit further down. C7-C8 down  
18 further still. So, C6 also gives some contribution to the  
19 shoulder area, more into the biceps area and then a little  
20 bit forearm, but the sensation goes all the way down to the  
21 thumb.

22 So, C6 is going to give your thumb and pointer  
23 finger sensation.

24 Q. In your practice, did you have the opportunity to  
25 ever treat a patient with a herniated disc at C4-C5 and

1 C5-C6?

2 A. Sure, many times.

3 Q. If there are radicular symptoms for such a patient,  
4 what would the common presentation be?

5 A. Common presentation is somebody will come in, say  
6 Doc, my neck has been bothering me and I have a lot of pain  
7 in my shoulder and my arm, and, you know, it's a little bit  
8 weak, and so that might be typical complaints, and then when  
9 I examine them, I might find that the reflex is a little bit  
10 different on that side versus the other.

11 Testing sensation, that there would be a sensory  
12 difference in a particular distribution, C5-C6 distribution.  
13 As I am examining, there may be some weakness.

14 Now, not everybody presents with all those things.  
15 Some have none of those findings, just the story they tell me  
16 is consistent with what the problem is.

17 So, you have to listen to the story, it's called a  
18 history, very carefully. So, the things I am telling you  
19 isn't universal for a C5 radicular presentation of C4-C6  
20 (sic), but these are the types of things that they may  
21 complain about.

22 Q. Understood.

23 What is a life care plan, Doctor?

24 A. A life care plan is a document which details the  
25 medically -- necessary medical care, services, treatments,

1 equipment, things of that nature for somebody who has been  
2 seriously or catastrophically injured.

3 Q. What is the purpose of a life care plan?

4 A. Well, the purpose is to provide a program of  
5 optimal care for somebody who has a permanent condition or  
6 expected to have a permanent condition, and actually the plan  
7 also has cost. So, it will list what those items are and  
8 what those costs would be.

9 Typically, the purpose is used in litigation. It's  
10 also used by trusts. In other words, the people who are  
11 dispensing the funds for the care of this person may want to  
12 have a life care plan in place so they understand what they  
13 are spending money on.

14 Q. Do you prepare life care plans professionally?

15 A. Yes.

16 Q. Do you do that for both people bringing lawsuits  
17 and for Defendants who are defending such lawsuits?

18 A. Yes.

19 Q. Okay.

20 Did you prepare a life care plan for Mr. Dormevil?

21 A. I did.

22 Q. And what information did you review, if any, before  
23 preparing a life care plan for Mr. Dormevil?

24 A. I had various records that I reviewed. I had  
25 records from St. Vincent's Hospital, New York Methodist

1 Hospital, a whole punch of doctors, Dr. Kleyman, Dr. Kim, Dr.  
2 Manual, Dr. Willer, Dr. Gablonsky, (phonetic), Dr. Lewin,  
3 (phonetic), Dr. Horowitz, and then various other providers  
4 like the Rehabilitation Associates of Brooklyn, Brooklyn  
5 Endoscopy and Ambulatory Surgery Center, Jewett Orthopedic  
6 Clinic, Jewett Physical Therapy, a variety of imaging  
7 centers. So, those were the records that I was able to  
8 review.

9                   Then I interviewed Mr. Dormevil by phone. He lived  
10 in Florida, so I didn't have the opportunity to examine him  
11 at that time. So, I interviewed him on July 27, 2016.  
12 That's the information I had to develop the plan.

13               Q. At some point did you examine Mr. Dormevil?

14               A. I did.

15               Q. And when did you examine Mr. Dormevil?

16               A. I examined him this year, August 28, 2018, where I,  
17 again, took a history. I had a couple of more records to  
18 review as well.

19                   I reviewed records from Celebration Orthopedic and  
20 Sports Medicine. They were treating him in Florida. So, I  
21 redid the history and then I had the opportunity to examine  
22 him.

23               Q. What did that examination consist of?

24               A. Well, the three areas of complaints were his lower  
25 back, his neck, and his shoulder, so those were the body

1 areas that I examined.

2 Q. Okay, and what did the examination of the neck,  
3 back and shoulder consist of?

4 A. Okay.

5 So, I checked his range of motion. We will start  
6 with the back. So, I examined his range of motion. There is  
7 an instrument called goniometer which measures angles. If  
8 you can imagine two of those big lollipops with the sticks,  
9 you put the two heads together, now you have two sticks that  
10 can move around, and there are angles on the circle things.

11 Using that, I can measure range of motion, and so I  
12 checked his lumbar range of motion, lower back range of  
13 motion. Forward flexion bending forward was 40 degrees.

14 Q. What's normal, Doctor?

15 A. I'm sorry?

16 Q. What's normal?

17 A. 80. We usually expect about 80. Bending  
18 backwards, we call that extension, he was able to get to 20,  
19 and 30 is what we normally expect.

20 Left and right lateral bending, side to side,  
21 15 degrees with him, and 35 is normal. All of these  
22 movements increased his pain that he had, his underlying  
23 constant pain.

24 I checked his reflexes, which were diffusely  
25 absent, but that's okay. Not everybody has reflexes. As

1 long as it's symmetrical, same on both sides, it's okay.

2 Strength was okay from ankles to hips. When I put  
3 pressure on the right hip, when he flexed the hip and I  
4 pushed down, that caused some back pain.

5 Checking his sensation on the right, below the knee  
6 on the outside of his leg, there was a little bit of a  
7 decreased pin pick appreciation into the side of the foot and  
8 over the top of the foot compared to other body areas.

9 I then checked with a measuring tape. I measured  
10 his calves, his thighs, length of his legs. Everything was  
11 the same on both sides.

12 I did a straight leg test. The doctor lays you on  
13 the back, picks you up usually by the heel, support under the  
14 calf and raise your legs straight up in the air, straight leg  
15 raising. So, with him, on the right side, at about  
16 45 degrees. Now, if your leg is pointing at the ceiling,  
17 that's 90, on the bench is zero, so, 45 is half up. He  
18 complained of lower back pain at that point.

19 I then checked hip range of motion. Hip range of  
20 motion was fine, but he had back pain as I am manipulating  
21 that leg. Left side, I can get him up to 60, couldn't get  
22 him up any higher. That's due to flexibility. He didn't  
23 complain of any pain though, but when I started manipulating  
24 his hip, which had full range, he complained of pain, less  
25 then the other side.

1           So, then I check tenderness in the back and he had  
2 tenderness in the lower back muscles, left and right from the  
3 lumbar area down to the lumbosacral. On the right side, that  
4 continued into the butt as well as the back of the upper part  
5 of the back, the thigh.

6           What I was doing, I was sticking my thumb into  
7 what's called the sciatic notch. Sciatic notch is where the  
8 sciatic nerve comes out of the pelvis into your butt muscle  
9 and goes down your leg, sends branches to different muscles,  
10 sensory areas. I was putting my thumb on that nerve and it  
11 was tender for him. I could trace that down the back of his  
12 leg. That is suggestive that he has some inflammation,  
13 sciatic nerve was inflamed, and that's why it was painful  
14 when I was pressing on it.

15           Then I moved on to the neck, cervical spine, and I  
16 also have another goniometer, smaller for the neck. Right  
17 lateral rotation, turning your head to the right was  
18 45 degrees. We expect 80 as normal. Left was 60. Again --  
19 I'm sorry, 55. Again, normal is 80.

20           Then, extension, looking up 20 degrees, 60 is  
21 normal. Looking down he had 40, 80 is normal, and then right  
22 lateral bending, tilting ear to shoulder on the right was  
23 15 degrees, left lateral bending 35 degrees, and 45 is what  
24 we expect.

25           He had pain with all those movements. The worst

1 was turning his head to the right and tilting to the right.

2 So, again, reflexes were absent symmetrical, that's  
3 fine. Strength was fine in the arms, fingers, the shoulder,  
4 and we will get to the shoulder exam in a sec.

5 Sensory examination, he had decreased pin prick  
6 appreciation in the forth and fifth finger. Fifth is pinky,  
7 forth is your ring finger, and that extended up the pinky  
8 side of the forearm. Then I started touching, looking for  
9 tenderness. He had tenderness, tightness actually in the  
10 left trapezius muscle. That's the muscle which some buff  
11 guys stand up between the neck and the shoulder. We all have  
12 them, they don't show up.

13 He had tenderness in that muscle that went up the  
14 side of the neck as well. Right side was not tender.

15 Then I turned my attention to the left shoulder.  
16 Now, he had surgery so I could see the surgical ports  
17 throughout the shoulder. Then I did passive range of motion.  
18 The first movement is turning his arm outward, turning the  
19 palm so it's facing out. That's called external rotation.  
20 On the right, I could get him about 30 degrees, okay, so  
21 straight ahead is -- 90 is all the way to the side, so 30  
22 about a third of the way. That was his good shoulder,  
23 30 degrees.

24 The left shoulder, I couldn't actually move it past  
25 neutral. If I tried to pass it, essentially past zero, that

1 caused him pain. I couldn't get it any further than that.

2 Internal rotation is when you rotate palm towards  
3 your belly. That was 90 degrees, that was fine. Shoulder  
4 abduction, that's when you raise the shoulder sideways,  
5 180 degrees is kind of the holdup position, that was his  
6 right shoulder. Left, I could get him up to about 150 or so,  
7 so it looks about 30, and he had pain when I got up to that  
8 point.

9 I then did various orthopedic maneuvers on him  
10 looking for impingements, and, you know, signs of impingement  
11 and tendonitis, and, he, in fact, had those signs with the  
12 different things I was doing to his shoulder, and then when I  
13 felt, palpated, touched, he had tenderness in the area of  
14 the, just below the acromion, that's the bump on the end of  
15 the shoulder I showed you on the model there.

16 So, in the subacromial space, there was tenderness.  
17 That's where your rotator cuff is located, bursa is located  
18 there. Tenderness on the front of the shoulder, the top  
19 bone, the AC joint acromioclavicular joint was not tender,  
20 and so that was the examination of those three areas.

21 Q. Now, you mentioned you performed the life care plan  
22 in -- you actually prepared the life care plan in 2016?

23 A. Yes.

24 Q. And you actually prepared a report which you  
25 submitted and has been exchanged; is that correct?

1           A. I don't know if it's been exchanged, but I  
2 submitted it.

3           Q. Fair enough. I will represent to you we exchanged  
4 it.

5           A. Okay.

6           Q. Do you have a copy of that with you?

7           A. Yes.

8                   MR. ROTH: Your Honor, with your permission, I  
9 would like to put the blowups up for ease of reference.

10                  THE COURT: That's fine.

11                  MR. ROTH: Thank you.

12                  Q. I am going to let you pick out the ones you want to  
13 use.

14                  THE WITNESS: May I step down?

15                  THE COURT: You may.

16                  Q. The first question I have for you is, after  
17 examining -- when you examined Mr. Dormevil, did he give you  
18 an updated history?

19                  A. Yes.

20                  Q. And did any of your initial projections change  
21 after taking the history?

22                  A. Well, sure. I mean after I saw him, remember, I  
23 prepared the plan based upon records and the history which  
24 gave me the foundation, but that was always going to be  
25 subject to my examination, and after I saw him, actually,

1 some things did change, which I will actually do real time  
2 here showing you what changed from that plan.

3 Q. Okay, sure.

4 If you could, could you explain, just take your  
5 slide and explain what it is we are looking at?

6 A. I am just going to give you the general orientation  
7 of how I do the plans. This is, in essence, an excel spread  
8 sheet, if anybody is familiar with that, that's how I am able  
9 to do the rows and columns.

10 Every page is organized in a very similar way.  
11 This page is medical care. So, first column you are going to  
12 have whatever the item, service, the care. Next typically  
13 would be the purpose of that. Next is going to be the  
14 frequency. So, here is the frequency of visits, equipment,  
15 frequency of replacement, that sort of thing, the cost of  
16 whatever that is. The resource at the end that -- page seven  
17 of this plan is a list of resources.

18 I am generally familiar with what things cost, but  
19 I always do research to make sure that things are accurate,  
20 and so at the end, there will be a list of resources based  
21 upon these numbers, so you can see where the specific numbers  
22 came from, and then the last thing is a calculation of the  
23 annualized cost, where I take the cost of the item, the  
24 frequency, and then just do the calculation, whether it's  
25 division or subtraction.

1           So, let me get out my trustee magic marker because  
2 there will be come changes. Remember, this is 2016, I wasn't  
3 able to do an updated plan, but I have to make some changes  
4 right here because of my resent history and physical.

5           Q.    What changes would you like to make?

6           A.    I will do that. I am going to start from the top  
7 and go down and I will make those changes.

8           So, the first thing we have is the rehabilitation  
9 specialist, someone like myself, dealing with people who have  
10 painful conditions. Functional status, some equipment, we  
11 will talk about his therapy. Twice a year \$164.75 per visit,  
12 times two, is \$329.50. That's not changing. He still needs  
13 the orthopedist to monitor his injuries.

14           Once a year to check things out, \$164.75. Now, he  
15 no longer needs the pain management doctor, so crossing that  
16 right out. The reason being that his medications changed  
17 from the time I talked to him until the time I saw him. He  
18 was taking some opioid meds, which a pain management doctor  
19 has to watch. He's not taking anything significant anymore.

20           Psychotherapy, that comes out. When I first spoke  
21 to him, he was depressed, his life had changed, there was  
22 some other issues that occurred, very devastating to him,  
23 based upon the injuries that he suffered.

24           MR. SCAHILL: Objection, your Honor.

25           THE COURT: Objection sustained.

1 Q. That's fine, go ahead.

2 A. Anyway, so when I spoke to him, things seemed to  
3 have stabilized for him, so he didn't need that anymore,  
4 that's gone.

5 Cervical surgery, this is something that was  
6 recommended.

7 MR. SCAHILL: Objection, your Honor.

8 Q. This is based -- I will ask this. Did you review  
9 certain records in preparing this report?

10 A. I did.

11 Q. And in reviewing those records, did they help  
12 formulate an opinion for you?

13 A. Yes.

14 Q. Was that those opinions that you formulated to a  
15 reasonable degree of medical certainty?

16 A. Yes.

17 Q. And is there a report that you're now  
18 referencing -- is the report you're now referencing based on  
19 your opinions?

20 A. Yes.

21 MR. ROTH: May I proceed, your Honor?

22 THE COURT: Overruled.

23 MR. ROTH: Thank you.

24 A. So, the cost of that surgery is about \$95,000.

25 That includes the surgeon's fee, operating room, anesthesia,

1 all that stuff.

2 Now, in addition to this, based upon the new  
3 records I saw from the Florida orthopedist, the spine doctor  
4 down there was talking about possible lumbar surgery.

5 MR. SCAHILL: Objection, your Honor.

6 MR. ROTH: He will withdraw that.

7 A. Okay. So, in any event, cervical surgery. So,  
8 next --

9 Q. Can I take this down for you, Doctor?

10 A. Thank you. I should have done that first.

11 Q. No problem.

12 A. Okay.

13 So, next we have the medications. Organized the  
14 same way. Does not take the Oxycodone anymore.

15 Now, when I do these things, I have to base it on  
16 what the person tells me they are taking at the time that I  
17 do the evaluation. Certainly that can change, and, in this  
18 case, it did.

19 Ibuprofen, not taking prescription dose anymore.

20 Let me look at my notes. Tramadol is decreased. He's taking  
21 this three times per week now, and so the annualized cost  
22 changes. It goes down to \$175 three times a week, the same  
23 number of pills here. I didn't change that. So, \$175.89.  
24 So, that's three times a week to treat his pain, that's the  
25 annual cost, \$175.89 based upon the \$202.95 for 180 tablets.

1                   MR. SCAHILL: Can we mark these boards in  
2                   evidence once he's finished?

3                   MR. ROTH: We will make it 19A.

4                   A. What I have here is his injuries need to be  
5                   monitored. He's got the three areas, the lumbar, cervical,  
6                   left shoulder. The lumbar MRI to monitor is going to be done  
7                   approximately once every five years. It could be two years,  
8                   seven years, but generally the doctor is going to want to see  
9                   what's going on based upon his symptoms and other issues.  
10                  That cost, \$1762.65. Now, we divide by five year cycle,  
11                  gives you annualized cost of \$352.53.

12                  Cervical MRI, that cost \$1751.95. Again, divide by  
13                  the five year cycle is \$350.39, and then the left shoulder to  
14                  be monitored, \$1537.45, divided by five, is \$307.49. So,  
15                  those are the diagnostic studies in this case.

16                  Now we are going to have a couple of pages of  
17                  simple equipment. Thermidor heating pad is a good quality  
18                  heating pad which he uses heat to help with his pain. It  
19                  throws moisture in from the surrounding air, so it gives you  
20                  a moist heat affect, \$56.72, lasts about five years, \$11.34.  
21                  A cold pack, he also uses cold. I have two. I have two  
22                  because one is in the freezer while using the other one, and  
23                  those cost \$12.88 each, \$25.76 for the two of them, replace  
24                  every two years, so that's a \$12.88 item.

25                  The other thing that he reported to me that he was

1 using was a back brace, lumbar orthosis that helps with  
2 support, reduce his pain, and I should have two here because  
3 this number is for two. The reason I would have two is one  
4 is in the wash, one is on him, if he's needing to wear it, so  
5 that's \$36.58 for each, \$73.16 for the two of them, divided  
6 by two, is \$36.58. He also was using a TENS unit.

7 Q. Doctor, what is a TENS unit?

8 A. It's a little electrical stim box, maybe about the  
9 size of your cell phone or so. There are electrodes that go  
10 in there, you put them on your painful body parts, you turn  
11 on the juice, and that helps to block pain signals.

12 Q. Also the --

13 A. The TENS unit cost \$91.70, replace every three  
14 years or so, \$30.60. The wires and the pads have to be  
15 replaced much more often, and that's a set of four at a time,  
16 once a month or so, \$529 for four, that's \$63.48.

17 Now, when I had interviewed him, both the first  
18 time and second time, his walking, ambulation was very  
19 limited. After 15 minutes he has to sit, doesn't get you  
20 very far.

21 So, I have this electric scooter here that would  
22 allow him to go distances, if he goes to the small local  
23 street fair, if he wants to go to one of the amusement parks,  
24 whatever he wants to do with his family or accompany his  
25 friends. I am not suggesting he's going to be going on the

1      rides, necessarily, but in any event, the cost \$1649, replace  
2      these items every five years, so that's \$329.80.

3              If you're going to transport it somewhere, you have  
4      to have a lift, so that goes on the trunk, lift for the  
5      scooter. That's a \$1669 item. I replace it every 11 years.  
6      Why do I have such a weird number? That's because statistics  
7      tells us that the average age of an automobile is 11 years  
8      old on the streets of the United States of America. So,  
9      divide that by 11, that's \$151.73.

10             The purpose of the reacher is exactly what it  
11      sounds like. One of those things with pistol grips, little  
12      rubber fingers on the end so he can get things off the floor  
13      more easily, he can get things off the shelf, doesn't have to  
14      climb, etcetera, with his painful back, \$24.25, divided by  
15      two, is \$12.13, and then the dressing stick and the long shoe  
16      horn, same idea.

17             So, when he's dressing, he doesn't have to bend  
18      over so far, and that is \$26.50. That will last a good ten  
19      years, that's a \$2.65 item. That didn't change with the  
20      second history. He had the same functional issues as when I  
21      initially evaluated him talked to him.

22             THE COURT: Everyone okay?

23             THE JURY: Yes.

24      A. Sort of awake, okay.

25      So, this is the last bit of equipment. I call this

1 home equipment adaptations. Given his back, and he just had  
2 one good arm, he really should be sitting while he showers.  
3 I think that's the safest option for him. My concern is  
4 always safety with people who have orthopedic injuries as  
5 well as function. So, \$93.20 divided by three year life  
6 expectancy of the chair, \$31.07.

7 Since he is going to be sitting, the handheld  
8 shower, the shower head needs to be mobile, so that's a  
9 \$30.72 item. Lasts about three years, so that's \$10:24.

10 Long handle bath brush. The idea is that he can  
11 get all his body parts without having to bend and twist too  
12 much. That's \$23.25, replace that every year.

13 Grab bars in the tub area, stable purchase when  
14 he's getting in and out while he's in there. It's a wet  
15 soapy environment. You fall in the bathroom, there is no  
16 soft place to fall really, you get hurt. Bar cost \$29.89,  
17 two is \$59.78, figure about ten-year life span, so that's a  
18 \$5.98 item.

19 The other problem he would be having by history is  
20 difficulty with transitions, get up from a seated position is  
21 painful. Low seats are particularly troubling, and the  
22 lowest seat in your house is the toilet. He specifically was  
23 having trouble, he would have to use his arms to get himself  
24 up and down. Toilet frame is basically handle bars around  
25 the toilet that gives you someplace to push. That's \$42.16,

1 lasts about 3 years, \$14.05.

2 We are in the home stretch. This is therapy.  
3 Because he has chronic conditions, I have ongoing therapy  
4 here. Now, the important thing to understand, it says here  
5 maintenance, maintenance for general strengthening, pain  
6 prevention, reduction, etcetera. It says maintenance. It  
7 doesn't say restorative. I am not suggesting that physical  
8 therapy will restore him to where he was. This is to  
9 maintain what he has. So, I didn't want you to misunderstood  
10 that.

11 Twice a week, he has several body areas that need  
12 to be treated, and it's \$111.10 per treatment. So, you do  
13 the math, twice a week, 52 weeks in a year, that's  
14 \$11,554.40.

15 This is the resource page as I was explaining to  
16 you before, the websites, publications. For example, this  
17 website here RXpricequotes.com, medication cost based on zip  
18 code, put in the zip code, put in the meds, then you get a  
19 survey of 20 pharmacies in that zip code. I will average as  
20 many as come up. So, that's kind of how the work is done.

21 These are commonly relied upon by people who do  
22 life care planning. Obviously we all have our favorites and  
23 such but that's how it's done.

24 Q. Did you create a summary of the total cost?

25 A. Yes.

1 Q. So, you want to present that --

2 A. That's next.

3 Q. -- to the jury please?

4 A. I have to get my -- okay, so what I did in this  
5 page is basically compressed all the numbers in each category  
6 into one number, but I have to change numbers for you because  
7 now the medical care is \$494.25 instead of the prior \$6700.  
8 Medications now \$175.89. Diagnostic studies all added  
9 together is \$1010.41. All the equipment, all the annualized  
10 cost for that equipment comes down to \$735.78, and the  
11 therapy is still the \$11,554.40, and so what I did also is  
12 add those up. So, the sum total on an annualized basis is  
13 \$13,970.73, and over here you have that cervical surgery that  
14 I talked about before.

15 Q. That's a one time cost?

16 A. That's one time, you have that and hopefully that's  
17 it. That's the plan.

18 Q. Okay, so if you could take back to the witness  
19 stand. A couple of more questions to ask you then we are  
20 going to be done with our direct.

21 Okay. Do you have an opinion to a reasonable  
22 degree of medical certainty whether Mr. Dormevil suffered  
23 injuries as a result of a car accident from November 3,  
24 2008 --

25 MR. SCAHILL: Objection, your Honor. He saw

1 him once ten years after the accident. I don't think he  
2 can give an opinion as to whether he suffered injuries  
3 in the accident.

4 MR. ROTH: Judge, I think the objection  
5 instruction that you asked for might have been  
6 different. I would like to approach.

7 MR. SCAHILL: I have a pending objection, your  
8 Honor.

9 THE COURT: The objection is sustained.

10 MR. ROTH: Can we sidebar? CPLR 3301 D, what  
11 it says, what his review is, and the basis of his  
12 opinion.

13 THE COURT: The objection is sustained.

14 MR. ROTH: Judge, I would like the sidebar.

15 THE COURT: Okay.

16 (Whereupon, a sidebar discussion was held at  
17 the Bench, out of the hearing of the jury.)

18 MR. ROTH: May I inquire, your Honor?

19 THE COURT: You may.

20 MR. ROTH: Thank you.

21 Q. Okay.

22 So, now, Doctor, you formed this life care plan  
23 based on records you reviewed?

24 A. In part, yes.

25 Q. And in addition to your examination?

1           A.    Yes.

2           Q.    Are those opinions formed to a reasonable degree of  
3 medical certainty?

4           A.    Yes.

5           Q.    And did you form an opinion as to the proximate  
6 cause of the need for future medical care that you expressed  
7 to the jury?

8           A.    Yes.

9           Q.    And was your opinion as to the proximate cause for  
10 the need for future medical care?

11            MR. SCAHILL: Objection.

12            THE COURT: What's the objection?

13            MR. SCAHILL: Repeat, your Honor, that he saw  
14 him once ten years after the accident.

15            THE COURT: So noted. The objection is  
16 overruled.

17            MR. ROTH: Thank you, your Honor.

18           A.    Okay.

19           Yes, it was due to the motor vehicle accident that  
20 he suffered November 3, 2008.

21           Q.    And what's the basis for your opinion, Doctor?

22           A.    Well, the basis is that the medical records are  
23 very clear from the temporal sequence, the emergency room,  
24 his complaints of back, neck pain, radiating pain, pain  
25 management within a week of that, orthopedist, neurologist,

1 he had ongoing care from then forward for all of these  
2 complaints.

3 Q. And do you have an opinion to a reasonable degree  
4 of medical certainty whether he's capable of performing  
5 electrical work?

6 A. I do have an opinion.

7 Q. What is your opinion?

8 A. He cannot perform that sort of physical work.

9 Q. Do you have an opinion to a reasonable degree of  
10 medical certainty whether he's capable of performing plumbing  
11 work?

12 A. I do have an opinion.

13 Q. What is your opinion?

14 A. He cannot do that type of physical work either.

15 Q. And do you have an opinion to a reasonable degree  
16 of medical certainty as to the proximate cause of  
17 Mr. Dormevil's failure to perform electrical and plumbing  
18 work?

19 MR. SCAHILL: Objection.

20 THE COURT: Overruled.

21 A. Yes.

22 Q. What is your opinion?

23 A. Well, due to the injuries that flowed from the car  
24 accident we discussed earlier.

25 MR. ROTH: I have nothing further. Thank you

1                   very much, your Honor.

2                   MR. SCAHILL: Thank you, your Honor.

3                   CROSS-EXAMINATION

4                   BY MR. SCAHILL:

5                   Q.    Good morning Dr. Carfi.

6                   A.    Good morning, sir.

7                   Q.    Doctor, is this the first time you have testified  
8 in court?

9                   A.    The first time, no.

10                  Q.    How many times have you testified in court?

11                  A.    I believe today is 315 times. We keep track  
12 because I get asked frequently.

13                  Q.    So, you're familiar with the back and forth of  
14 cross-examination; is that fair to say?

15                  A.    Yes, sir.

16                  Q.    So, if I ask you a question that calls for a yes or  
17 no answer, will you be able to give me a yes or no answer?

18                  A.    Depends on the question, and I will do my best,  
19 Counsel.

20                  Q.    And I would ask you, if I ask you a question and  
21 you can't answer it yes or no, tell me that and I will  
22 rephrase the question, okay?

23                  A.    Yes, sir.

24                  Q.    Now, the testimony you gave this morning with  
25 respect to a life care plan, you talked about the life care

1 plan that you prepared here.

2                   Am I correct that that plan was exclusively for  
3 this litigation?

4                   A. That's correct, yes.

5                   Q. And am I also correct that the -- both the phone  
6 interview you had with the Plaintiff in 2016 and the in  
7 person exam that did you in 2018, August of 2018, were all  
8 because of this lawsuit?

9                   A. Yes.

10                  Q. So, am I also correct that you're involvement with  
11 Mr. Dormevil has nothing to do with his treatment, but  
12 everything to do with this lawsuit; is that fair to say?

13                  A. In essence, that would be correct, yes, sir.

14                  Q. Now, you said since 2016 this is what you do  
15 exclusively, you prepare life care plans for lawsuits,  
16 correct?

17                  A. No, I didn't say that.

18                  Q. Since 2016 you haven't seen a patient?

19                  A. I haven't seen a patient for compensation, that's  
20 correct. I volunteer my time.

21                  Q. So, we are talking about what you get paid to do.  
22 What you get paid to do is being a consultant for litigation;  
23 is that fair to say?

24                  A. In part, that is correct, yes.

25                  Q. In fact, you've been doing the consulting work for

1 lawsuits for a number of years, correct?

2 A. Yes, sir.

3 Q. And am I also correct that 95 percent of that work  
4 is for Plaintiffs?

5 A. I can't answer that the way you posed the question.

6 Q. Could you quantify the percentage of work you do  
7 for Plaintiffs?

8 A. Yes I can give you two quantifications. Trial  
9 testimony 98, 99 percent Plaintiff. In terms of office work,  
10 consultations, things of that nature, is more 80 percent  
11 Plaintiff, 20 percent defense. So, those are the numbers.

12 Q. Okay.

13 So, 98 percent of the time that you come into court  
14 over the 315 times that you said you testified, those are all  
15 for Plaintiffs in personal injury cases, people in Mr.  
16 Dormevil's position, correct?

17 A. It could be medical malpractice, but generally,  
18 correct.

19 Q. But Plaintiffs, people suing for money damages for  
20 personal injury suits, correct?

21 A. Yes.

22 Q. Now, in fact, you give talks to lawyers about the  
23 essentials for prevailing at a damages trial in litigation,  
24 correct?

25 A. That was the title of a seminar as I recall, yes.

1       Q.    So, you spoke to a group of lawyers about what's  
2 essential for winning at a trial as a Plaintiff; is that  
3 correct?

4       A.    That was not my role among the many speakers in  
5 that panel, no.

6       Q.    I'm looking at your resume and you put down, as of  
7 March 6, 2008, you spoke at the New York City Bar Association  
8 on the issue of essentials for prevailing at the damages  
9 trial in tort litigation; is that correct?

10      A.    As I said, I was a speaker among a panel for that.  
11 The rest were attorneys and judges, yes.

12      Q.    How many of these life care plans do you do per  
13 year for Plaintiffs?

14      A.    I am doing approximately 150 plans in a year,  
15 between 150 and 200, depends on the year.

16      Q.    What do you charge the Plaintiff's lawyers for  
17 those plans?

18      A.    The plans, I currently charge a flat rate of \$3000.

19      Q.    So, you do 150 a year at \$3000, correct?

20      A.    Yes.

21      Q.    And you also said you testify 315 times, that  
22 wasn't this year, that's over a number of years, correct?

23      A.    That was over probably 25 to 27 years.

24      Q.    So, in addition to the 150 life care plans for  
25 Plaintiffs at \$3000 per plan, how many times do you testify

1 in court for Plaintiffs in a year?

2 A. I understand. In a year, I will testify anywhere  
3 from 12 to 18 times, depends upon the year.

4 Q. So, let's take the lower number, 12, and per  
5 testimony is approximately \$5000; is that right?

6 A. A little bit less, but that's makes the math  
7 easier, that's fine.

8 Q. So, just quick math, you're earning 150 times a  
9 year, \$3000 for the life care plans for Plaintiffs, plus  
10 another \$70,000 for trial testimony; is that fair to say?

11 A. Well, that is revenue to the office, that's not  
12 what I am being paid, but that's revenue to the office before  
13 all of my expenses, but that is accurate, yes.

14 Q. Would it be fair to say that you make a substantial  
15 income from testifying for Plaintiffs and preparing life care  
16 plans for Plaintiffs?

17 A. I think substantial is a fair characterization,  
18 yes.

19 Q. In fact, the life care plan that you prepared for  
20 Mr. Dormevil here, you sent that to his lawyer at Hach & Rose  
21 in August of 2016; is that right?

22 A. Yes, sir.

23 Q. And you never discussed that life care plan, all  
24 the things that you talked about, you never discussed it with  
25 Mr. Dormevil, correct?

1           A. That is correct.

2           Q. So, you prepared this extensive plan for his life,  
3 for all of this equipment, and all of this therapy, and his  
4 medications, and you even had cervical surgery there, and as  
5 a doctor, an expert in your field, you didn't even bother to  
6 talk to the person that's affected by all of this; is that  
7 fair to say?

8           A. Well, I'm not his treating physician, so that is  
9 fair to say, yes.

10          Q. So, you talked about earlier that you never saw him  
11 until August of this year; is that right?

12          A. Yes, that's correct.

13          Q. And when you first prepared this plan, all of these  
14 numbers, everything that you wrote down here and showed the  
15 jury, you did that based on a phone interview, correct?

16          A. As well as the medical records.

17          Q. But your contact with the Plaintiff was over the  
18 phone, you had a conversation with him on the phone?

19          A. Yes, I did take a history by phone, correct.

20          Q. How long did that take, that phone conversation?

21          A. I don't have a specific recollection, but generally  
22 histories take anywhere from 20, 30 minutes, it just depends  
23 on the complexity.

24          Q. So, you spoke to him over the phone for a half hour  
25 and you prepared this report and you decided back in 2016

1 that everything that you are putting down in this report was  
2 caused by an accident that happened eight years previously in  
3 2008; is that accurate?

4 A. Based upon the foundation of the records, yes.

5 Q. And then, when you did see him -- well, withdrawn  
6 for the moment.

7 And did you form an opinion in 2016 that the  
8 treatment you prescribed is necessary was all due to the  
9 accident that happened in 2008?

10 A. I did not, because I hadn't seen him, so I didn't  
11 have an understanding of the physical nature. So, I reserved  
12 that until I actually saw him.

13 Q. Okay.

14 So, when you prepared this plan with all of this  
15 treatment and all of these costs, you had no idea whether or  
16 not the injuries that he was complaining about had anything  
17 to do with the accident of November of 2008; is that fair to  
18 say?

19 A. No, it is not. I had an idea, I just wasn't  
20 willing to opine that without actually examining the man.

21 Q. Okay.

22 Did you put down in 2016 that he needed cervical  
23 surgery?

24 A. I did.

25 Q. Is that good and accepted medical practice for a

1 doctor, a medical doctor, a scientist, a board certified  
2 physiatrist, to make a recommendation for cervical spinal  
3 surgery after interviewing someone on the phone for a half  
4 hour, that's a yes or no question?

5 A. Then I can't answer the question, I need to  
6 explain.

7 Q. Now, these recommendations that you made in 2016,  
8 scooter, shower chair, the reacher, all of those things, this  
9 entire plan was put together in 2016. You modified it today,  
10 but it was all put together two years ago, correct?

11 A. That's accurate, yes.

12 Q. Did you ask Mr. Dormevil what he was doing for  
13 treatment in 2016?

14 A. No, not specifically. I asked him what his  
15 personal pain management was, what he did for himself.

16 Q. So, you made up this plan, which you already  
17 admitted was purely for litigation, and you never asked  
18 Mr. Dormevil what he's doing himself for any of this, you  
19 didn't ask him about what he's doing for pain management, for  
20 orthopedics, for diagnostic studies, you didn't ask him about  
21 the reacher, the cold pack, the lumbar orthotic device, the  
22 handheld shower, you didn't ask him about any of those things  
23 you just put it in this life care plan you prepared for  
24 litigation; is that fair to say?

25 A. You're partly correct and also incorrect. I did

1 ask about the cold back, the hot pack, TENS unit, those are  
2 all questions I did ask him.

3 Q. You asked about the hot pack; is that right?

4 A. That was one of the things, yes, sir.

5 Q. That was important. All the other things that  
6 costs thousands and thousands of dollars, you picked the one  
7 item that cost \$24, that's the only thing you asked about?

8 A. No, the TENS unit, the back brace, hot pack, cold  
9 pack, how he manages his own pain, whether it was  
10 medications. He did tell me physical therapy is helpful for  
11 him. The other things were based upon my own experience in  
12 taking care of people who have these.

13 Q. We are talking about Mr. Dormevil who is the  
14 Plaintiff in this case.

15 When you talked about physical therapy, all these  
16 recommendations, you had no idea what he was doing in 2016  
17 when you made up this life care plan, correct?

18 A. No, that is not a correct statement, Counsel.

19 Q. Now, what you just said earlier this morning on  
20 direct examination, that all of these problems that you're  
21 talking about were all due to the motor vehicle accident of  
22 November of 2008. That opinion is based on the one visit  
23 that you had in August of 2018?

24 A. No, it's based upon the plethora of medical records  
25 and continued treatment from the date of accident forward.

1           Q.   Did you ever talk to any of his doctors?

2           A.   No.

3           Q.   Would you have a more informed opinion if you had a  
4 conversation with Dr. Kleyman, the doctor that first saw him?

5           A.   I don't believe so, no. I have his records.

6           Q.   What about if you had a conversation with his  
7 orthopedist Dr. Yun Kim (phonetic), do you think you would  
8 have a more informed opinion whether or not the injuries he's  
9 describing were caused by this accident, would your opinion  
10 have been more informed if you spoke to Dr. Kim, the  
11 orthopedic surgeon?

12          A.   No, sir.

13          Q.   What about if you spoke to Dr. Willer, the doctor  
14 that treated him through 2009, would your opinion have been  
15 more informed if you spoke to Dr. Willer?

16          A.   No, sir.

17          Q.   What about any of the diagnostic testing that he  
18 had, EMGs, NCVs, MRIs, all the doctors that did the testing  
19 on him, would your opinion have been better if you spoke to  
20 any of those doctors?

21          A.   No, I had the results of the tests, I didn't need  
22 to speak to them.

23          Q.   What about the doctor that had treated him since he  
24 moved to Florida in 2013. Did you talk to any of those  
25 doctors?

1           A. I did not, no.

2           Q. Is that typical for you when you do these life care  
3 plans, you don't tell the person that you're doing it for and  
4 you don't talk to any of his doctors, is that your typical  
5 modus of operandi?

6           A. I typically do not give the plan to the individual,  
7 correct. I very rarely talk to the doctors. I don't  
8 generally need to, and if I do need to, I will.

9           Q. So, your opinion is based on that one visit that  
10 you had with him, and your review of his records, correct?

11          A. Well, the original history as well, but yes, that's  
12 correct.

13          Q. Now, when you spoke to Mr. Dormevil, first in 2016,  
14 you said you took a history from him, correct?

15          A. Yes.

16          Q. And that history is important as far as the  
17 accuracy of your opinion; is that fair to say?

18          A. History is always important, you're right, Counsel.

19          Q. And when you spoke to him in 2016, you interviewed  
20 him and took a history because, you know from medical school,  
21 that the history you take from a patient has a direct  
22 correlation to a proper diagnosis, correct?

23          A. Yes.

24          Q. The more accurate the history, the more accurate  
25 your diagnosis is?

1 A. That is generally correct, yes, sir.

2 Q. Now, when you took a history of him before you gave  
3 this opinion that everything that he's complaining about was  
4 caused by the accident, did you discuss with him the severity  
5 of the impact?

6 A. No.

7 Q. Did you ever see any photographs of the damage to  
8 the vehicle that he was driving?

9 A. I did not, no.

10 O. Did you know that he drove from the scene?

11 A. I was not aware of that, no.

12 Q. Were you also aware that he was pain free and  
13 ambulatory at the scene of the accident?

14 A. I'm not aware of that information.

15 Q. Do you know that his first treatment after the  
16 accident was at St. Vincent's?

17 A. Yes.

18 Q. Did you look at those records?

19 A. The emergency room records, yes.

20 Q. I'm going to review those records with you now, if  
21 I can take a moment to go over them with you.

22 MR. SCAHILL: And this is from Plaintiff's 1  
23 in evidence, your Honor.

24 Q. Do you know how long he was in the emergency room  
25 on the day of the accident?

1           A. I do not know, I don't recall.

2                   MR. SCAHILL: It would help if I put the  
3 screen up. Can I have my assistant check this out so it  
4 works properly?

5                   THE COURT: You may.

6           Q. Can you see that, Doctor?

7           A. I cannot read that from here.

8                   MR. ROTH: I think it's going to be the size.

9                   MR. SCAHILL: I will take care of this. May  
10 be we can try the lights first, Judge.

11           A. It's getting better. A little out of focus on the  
12 right.

13                   MR. ROTH: I just ask for judicial notice that  
14 the highlights are from Counsel, those aren't part of  
15 the medical records that is in evidence as Exhibit 1.

16                   THE COURT: Okay.

17                   Court officer, can you turn the light back on  
18 please? Is that better?

19           Q. Can you see that, Doctor?

20           A. I see it better now, yes.

21           Q. There is an arrival time noted on the hospital  
22 record at 11.45 a.m.; is that correct?

23           A. I see 11:45. I don't see the a.m. part, but --

24           Q. Do you see the depart time there, 12:54?

25           A. Yes, I see that.

1       Q.   Would it be fair to say that he's in the emergency  
2 room for approximately an hour?

3       A.   Yes, very efficient emergency room.

4       Q.   Have you worked in emergency rooms?

5       A.   In a prior life, residency, yes, we were  
6 responsible to cover the emergency room, but that's really  
7 it.

8       Q.   There was a history taken of Mr. Dormevil on the  
9 date of the accident listed in the medical chart from St.  
10 Vincent's, Gerald Dormevil is a 36 year old male who reports  
11 being a driver involved in a motor vehicle collision when a  
12 car struck the passenger side of his vehicle. Patient states  
13 he was jerked violently, but was pain free and ambulatory at  
14 the scene. Is that accurate?

15      A.   That's what it says, yes, sir.

16      Q.   And now complains of lower back and neck pain. Are  
17 you aware that at St. Vincent's there was no diagnostic  
18 testing performed?

19      A.   I didn't document any, so if there had been, I  
20 would have documented it, so I am aware in that sense.

21      Q.   Do you know the level of his pain when he was at  
22 St. Vincent's?

23      A.   I do not know the number that he gave them, no.

24      Q.   You're familiar with the pain scale one to ten,  
25 correct?

1           A. I am, yes, sir.

2           Q. I would ask you to take a look at the pain scale  
3 that's listed for Mr. Dormevil at St. Vincent's Hospital on  
4 the day of the accident?

5           A. I see it.

6           Q. What is that, Doctor?

7           A. Two out of ten is what it says.

8           Q. That would be consistent with a description of  
9 mild?

10          A. I would say that would be in the mild category,  
11 yes, at that time, yes.

12          Q. Do you know what the diagnosis was?

13          A. Yes, I have a diagnosis of lumbar strain/sprain.

14          Q. That's a back sprain and strain, correct?

15          A. That was the diagnosis, yes, in the E.R.

16          Q. Something you take Advil, Ibuprofen, you rest a  
17 little bit, and maybe take some physical therapy and that's  
18 relieved within a short period of time; is that fair to say?

19          A. We would hope so, yes.

20          Q. And are you familiar with emergency room practice  
21 as to whether or not radiology testing is done?

22          A. Well, I know it is done, yes, of course.

23          Q. Do you know if any was done in this case?

24          A. As I said, I didn't document any having been done,  
25 so if there had been, it would be in my report. So, I am

1 inferring that it was not done.

2 Q. Not only was it not done, the E.R. record indicates  
3 not clinically indicated, non-clinically indicated.

4 When you're talking about clinically indicated, is  
5 it fair to say that the emergency room physician at St.  
6 Vincent's came to the conclusion that no diagnostics were  
7 clinically indicated because physical exam failed to reveal a  
8 serious injury?

9 MR. ROTH: Objection. It was a PA not an M.D.  
10 as it says on the bottom there. I ask for him to  
11 accurately read what the note says.

12 Q. Is that fair to say, Doctor?

13 A. That appears to be his evaluation, that it wasn't  
14 indicated. I don't know what his thought process was though.

15 Q. Are you also aware that there was no complaints at  
16 all with respect to Mr. Dormevil's shoulder in the emergency  
17 room on the day of the accident?

18 A. Yes, I don't see it documented, so there were no  
19 complaints at that time.

20 Q. Do you know when he started complaining about his  
21 shoulder?

22 A. Well, the first reference that I saw in the records  
23 was in March in the neurologists record, March 2009, a few  
24 months later. That was the first time I remember seeing it.

25 Q. So, his first complaint to anyone, any medical

1 professional about his shoulder was about five months after  
2 the accident?

3 A. Well, as I said, that's the first time I saw it. I  
4 don't know if I missed it somewhere else or there is  
5 something else, but it was about four months is the first  
6 time I saw it, shoulder pain documented, yes.

7 Q. But yet your opinion to this jury today is that  
8 that shoulder injury was caused by the motor vehicle  
9 accident, that's a yes or no question?

10 A. That's a yes answer.

11 Q. Okay.

12 Now, you're aware that following the emergency  
13 room, the first treatment that Mr. Dormevil had was with a  
14 Dr. Kleyman; is that correct?

15 A. Yes.

16 Q. And you're also aware that Dr. Kleyman referred the  
17 Plaintiff for an MRI of his lower back, you're aware of that?

18 A. Yes.

19 Q. You're also aware that the MRI evaluation is the  
20 gold standard for diagnostic radiology, as far as the soft  
21 tissues and disc structure of the spine; is that fair to say?

22 A. Currently that is correct, yes.

23 Q. And it was also true in 2008 when Mr. Dormevil went  
24 to Dr. Kleyman, correct?

25 A. I'm sorry?

1 Q. It was the gold standard in 2008?

2 A. Thank you for clarifying, yes.

3 Q. And the reason that a physician would send someone  
4 for an MRI is because they wanted to have an accurate picture  
5 of what's going on inside that person's body at the level  
6 that the doctor has concern about, correct?

7 A. That is generally accurate, yes, sir.

8 Q. And the MRI that Mr. Dormevil went to was at Delta  
9 Diagnostic Radiology, correct?

10 A. Yes.

11 MR. SCAHILL: And, your Honor, I'm referring  
12 to Plaintiff's 2 in evidence.

13 Q. That MRI evaluation was done within 25 days of the  
14 motor vehicle accident, correct?

15 A. Yes, sir.

16 Q. And that MRI evaluation, I am putting it up here  
17 for you to see it, can you give the impression to the jury,  
18 what the impression was on that MRI?

19 A. It does say that it's a normal MRI of the lumbar  
20 spine.

21 Q. So, within 25 days of the accident, Mr. Dormevil  
22 undergoes the gold standard for diagnostic radiology, an MRI  
23 of the lumbar spine that visualizes the soft tissue  
24 structures of the spine, correct?

25 A. Yes, sir.

1           Q.    Everything that you spoke about before, that MRI  
2 was able to reveal the intervertebral discs, correct?

3           A.    Yes.

4           Q.    It was also able to see the facet joints, correct?

5           A.    That would be correct.

6           Q.    It showed the openings where the nerves go through  
7 the spinal column, correct?

8           A.    That is accurate, yes.

9           Q.    Did you read the body of this report?

10          A.    Um, I generally do not. Unless I have some  
11 concerns or questions, I just go right to the impression  
12 because my report is a summary of, its not a recitation of  
13 everything that is stated.

14          Q.    I want to go through some of these findings with  
15 you?

16          A.    Sure.

17          Q.    There is no spinal stenosis, there is no narrowing  
18 of any of the lumbar vertebrae or the openings in the  
19 vertebrae; is that fair to say?

20          A.    Hold on. It says no spinal stenosis, I see that.

21          Q.    Is that a fair statement?

22          A.    Yes.

23          Q.    It also says that there -- the facet joints are  
24 normal, the joints at the back of the vertebrae, they are all  
25 normal, correct?

1           A. That does say that, yes.

2           Q. The neural foramina, the openings that the nerve  
3 roots go through, they are all normal?

4           A. It say patent, doesn't say normal. That means  
5 open.

6           Q. It means open, so when a physician sees that the  
7 lateral neuro foramina are patent, that means they are  
8 normal, that's what they are supposed to be, correct?

9           A. It doesn't say normal. Patent means that it's open  
10 and the nerves are not being impinged, that's how I would  
11 interpret it.

12          Q. When we talk about that, you talked about the  
13 nerves not being impinged. The reason someone has that  
14 sciatica pain that you described earlier is because there is  
15 impingement at the nerve root at a particular level of the  
16 spine, correct?

17          A. Yes.

18          Q. So, when the report of the MRI says the neural  
19 foramina are patent, they are open, there is no impingement  
20 of any nerves, correct?

21          A. That's what it says, but --

22          Q. I am just going over the report with you, Doctor.  
23 It also says the paraspinal soft tissues are unremarkable.  
24 That means that all the ligaments, fat tissue, all of the  
25 surrounding tendons of the spine in the lumbar spine were all

1 normal; is that correct?

2 A. That's generally what that means, yes.

3 Q. Unremarkable. When a doctor puts in a report  
4 unremarkable, meaning there is no pathology, everything looks  
5 normal, correct?

6 A. That would be accurate, yes, sir.

7 Q. So, within 25 days of the accident, an extensive  
8 exam was done under MRI. Everything came back as normal, and  
9 you read this report, but yet you gave an opinion to this  
10 jury that every complaint with respect to his lower back was  
11 all due to the motor vehicle accident, despite this  
12 diagnostic test that you already told me was the gold  
13 standard; is that fair to say?

14 A. That is generally accurate, yes, sir.

15 Q. Now, you understand that he also underwent various  
16 MRI evaluations in 2009 and in 2013 -- I'm sorry, 2011 for  
17 his lower back, correct?

18 A. He did additional, I don't remember the dates, but  
19 he did have additional studies, yes.

20 Q. I'm going to ask you to take a look at the MRI from  
21 Highway Imaging Associates that was done at the request of  
22 Dr. Horowitz in February of 2011. The date of this exam is  
23 2/23/11.

24 MR. SCAHILL: And this is, your Honor, from  
25 Plaintiff's 6 in evidence.

1       Q.    There is another impression on the 2/23 MRI.  It  
2 notes there is no evidence of focal disc herniation,  
3 significant intervertebral disc bulge or spinal stenosis at  
4 any level.  Is that accurate?

5       A.    Yes, you read it correctly.

6       Q.    Does that impression in 2013 lead you to the  
7 conclusion that Mr. Dormevil suffered a traumatic injury to  
8 his lower back?

9       A.    Well, based upon strictly that report, no.

10      Q.    When it talks about minor degenerative changes,  
11 that's age related changes that happen to everybody as they  
12 age, correct?

13      A.    That would be accurate, yes.

14      Q.    And the reason that a repeat MRI was done, was the  
15 doctor who saw him in 2011 wanted to know what's happening to  
16 the spine at that time, correct?

17      A.    I would presume so, yes, of course.

18      Q.    There was also an MRI that was done back in 2009 at  
19 New York Methodist Hospital.  Did you also review that?

20      A.    Yes, I see it in my report here.

21      Q.    And without going through every one of these MRIs,  
22 that was also devoid, meaning that there was nothing on that  
23 report that would show that he had a traumatic injury to his  
24 lower back, correct?

25      A.    Based upon my summary here, there is nothing

1      traumatic, as you point out, correct.

2           Q.    So, despite the fact that there is three MRI  
3      studies, one in 2008, within 25 days of the accident, one at  
4      New York Methodist Hospital in 2009, in February of 2009, and  
5      then again in February of 2011, three MRI studies that are  
6      completely negative, devoid of any reference to trauma, yet  
7      it's your opinion that Mr. Dormevil suffered a life changing  
8      injury in the motor vehicle accident of February -- of  
9      November of 2008; is that accurate?

10          A.    That's accurate based upon the totality of the  
11      medical records, yes.

12          Q.    Did you look at his Methodist Hospital, Methodist  
13      Hospital records?

14          A.    Yes, he was admitted for a few days. So, yes I did  
15      look at those.

16          Q.    Would they be important to you in formulating your  
17      opinion?

18          A.    It was part of the entire package of records, so it  
19      was no more or less important than anything else necessarily.

20          Q.    I will refer you to the diagnosis at New York  
21      Methodist Hospital, was he diagnosed with hypolipidemia?

22          A.    I don't have that specifically in my summary, so I  
23      don't know.

24          Q.    Do you know that Mr. Dormevil had diabetes?

25          A.    I know that, yes.

1           Q.    You didn't mention that on your direct examination.  
2 Do you know that Dr. Willer, Dr. Kleyman and various  
3 physicians that he's seen over the years said whatever his  
4 complaints could be -- whatever his complaints are could be  
5 caused by diabetic neuropathy; are you aware of that?

6                    MR. ROTH: Note my objection, incorrectly  
7 stating Dr. Willer's opinion. You will hear from him, I  
8 will withdraw it.

9           Q.    Are you aware of that, Doctor?

10          A.    I am not aware that they made those statements.

11          Q.    Do you know what the instructions were to  
12 Mr. Dormevil when he left New York Methodist Hospital after  
13 four days of admission in February of 2009?

14          A.    No, I did not document his discharge instructions.

15          Q.    I'm going to show that to you now. When he left  
16 New York Methodist Hospital --

17                    MR. SCAHILL: And this is Plaintiff's 4 in  
18 evidence, your Honor.

19          Q.    -- he was told to FU, meaning follow up with your  
20 endocrinologist for DM, meaning diabetes mellitus education  
21 and better glycemic control; is that fair to say?

22          A.    That's what it says, yes, sir.

23          Q.    Now, those were his instructions when he left the  
24 hospital?

25                    MR. ROTH: Same objection, your Honor, it's

1           three of four.

2           Q.    Those were his instructions when he left the  
3 hospital?

4                   THE COURT: I believe those documents are all  
5 in evidence?

6                   MR. ROTH: Yes.

7                   MR. SCAHILL: It's four.

8                   MR. ROTH: The jury can look at them.

9                   THE COURT: So, the documents will speak for  
10 themselves.

11                  MR. ROTH: Thank you, your Honor.

12                  Q.    Do you see anything on his discharge instructions  
13 about following up with an orthopedist?

14                  A.    No, not in that sheet of paper, I do not.

15                  Q.    Do you see anything on his discharge instructions  
16 about following up with a neurologist?

17                  A.    No, sir.

18                  Q.    Is there anything on his discharge instructions  
19 about following up with a physiatrist, someone like yourself?

20                  A.    Again, not on that form, I don't see that, correct.

21                  Q.    How about with a pain management doctor?

22                  A.    I don't see that.

23                  Q.    Does that change your opinion as to whether or not  
24 Mr. Dormevil's complaints are associated with the motor  
25 vehicle accident?

1           A. It does not, no.

2           Q. Could you look at your report from 2016, Doctor?

3           A. Yes, sir.

4           Q. On page two of seven of your report, you discuss  
5 the findings of Dr. Kim, the orthopedic surgeon who evaluated  
6 Mr. Dormevil within a month of the accident. I would ask you  
7 to look at that last sentence. Could you read for the jury  
8 what the last sentence is in that middle paragraph. Do you  
9 see what I'm talking about?

10          A. Yes, I do.

11          Q. It begins the patient was referred. Could you read  
12 for the jury that sentence?

13          A. Surely. The patient was referred back to the  
14 neurologist as the doctor did not believe this was spine  
15 related.

16          Q. Now, is it fair to say that based on that  
17 statement, Dr. Kim did not feel that Mr. Dormevil had  
18 anything wrong with his spine?

19          A. That appears to be the orthopedic surgeon's opinion  
20 on that, yes.

21          Q. But yet it's your opinion from your one visit with  
22 him ten years after the accident that everything that's wrong  
23 with his back was due to the motor vehicle accident; is that  
24 correct?

25          A. Based upon the totality of his treatment, not a

1 single visit, sir.

2 Q. What about the sentence above the one you just  
3 read, can you read that for the jury as well, it begins an  
4 MRI?

5 A. Yes. It says an MRI had been performed on  
6 02/17/09, which again was normal without evidence of disc  
7 herniation.

8 Q. Does that change your opinion as to whether or not  
9 Mr. Dormevil's complaints were caused by this accident?

10 A. It does not.

11 Q. The next paragraph discusses Dr. Justin Willer, a  
12 neurologist who saw him, correct?

13 A. Yes, sir.

14 Q. And it discusses a history and impression that  
15 Dr. Willer took. You wrote that after the history and  
16 physical examination, the doctor's impression was low back  
17 pain, possibly related to lumbosacral radiculopathy, possibly  
18 involving S1 as suggested by diminished angle reflexes.

19 What's the next sentence?

20 A. This could also be related to diabetic neuropathy.  
21 That is the diminished angle reflexes, Counsel.

22 Q. As a physician, you're trained to perform a  
23 differential diagnosis, correct?

24 A. Yes.

25 Q. Can you tell the jury what a differential diagnosis

1 is?

2       A.    Sure.  So, when a patient gives you a history, um,  
3 you're already starting to formulate a possible list of  
4 diagnoses.  That's called a differential diagnosis.  Then you  
5 do your physical examination, and perhaps you can narrow that  
6 list, and then you may or may not need to get tests to sort  
7 of zero in on what that diagnosis is.  Differential is a list  
8 of possibly diagnoses, basically.

9       Q.    Based upon your phone interview and your review of  
10 records in 2016, did you formulate a differential diagnosis  
11 as to Mr. Dormevil's complaints?

12      A.    I did not.

13      Q.    I would ask you to look at page three of seven in  
14 your report.  Doctor, there is a sentence in the middle of  
15 the page where you're discussing an MRI of the lumbar spine  
16 that was performed at New York Methodist Hospital.  Could you  
17 tell the jury what that sentence says?

18      A.    The impression line?

19      Q.    Yes.

20      A.    Okay.  The impression was intractable pain with  
21 spinal stenosis versus nerve impingement versus disc, and  
22 then, in parenthesis, illegible, because I couldn't read  
23 whatever the disc thing was.

24      Q.    What does it say about the MRI?

25      A.    The next sentence.  On an MRI of the lumbar spine

1 found, mild degenerative facet changes bilaterally at L5-S1,  
2 right greater than left. There was a congenital root sleeve  
3 anomaly on the right of S1.

4 Q. You yourself, did you review Mr. Dormevil's MRI  
5 studies?

6 A. I did not review the film, no.

7 Q. Are you trained to review the MRI films?

8 A. Not specifically, no. We do do it, but we are not  
9 trained like a radiologist is trained.

10 Q. So, you would defer to a board certified  
11 radiologist for an accurate read of the MRI, correct?

12 A. That's correct.

13 Q. So, that MRI that was taken at New York Methodist  
14 Hospital was also normal with no evidence of trauma, correct?

15 A. Right. I see nothing traumatic based upon that  
16 report.

17 Q. On the next page at the top, you note that x-rays  
18 of the hips and the sacral ileac joints were taken in March  
19 of 2010, correct?

20 A. Yes, sir.

21 Q. What was the results of those x-rays?

22 A. Normal study.

23 Q. Going down on the bottom of the page, you indicate  
24 Highway Imaging Associates, an MRI of the lumbar was  
25 performed in March of 2011. What was the results of that

1 study?

2 A. This found no evidence of focal disc herniation or  
3 significant intervertebral disc bulge or spinal stenosis at  
4 any level. There were minor degenerative changes.

5 Q. So, in layman's terms, that MRI was basically  
6 normal, just age related changes, correct?

7 A. You stated it correctly, yes.

8 Q. There was also an MRI of the left shoulder done in  
9 June of 2013, correct?

10 A. Yes, sir.

11 Q. Can you discuss -- well, can you read for the jury  
12 what the findings on that MRI that was done in June of 2013?

13 A. Surely. It found minimal bone spur acromial  
14 process with minimal impingement. There were mild changes of  
15 tendinosis throughout the supraspinatus tendon. A rotator  
16 cuff tear was not seen.

17 Q. So, that MRI that was done in 2013 was the first  
18 MRI of the left shoulder five years after the accident,  
19 correct?

20 A. First one that I saw in the records, yes, sir.

21 Q. And that MRI report found no evidence of trauma; is  
22 that correct?

23 A. You can develop spurs because of a trauma, but  
24 there is no tear, which would be more obviously traumatic.

25 Q. Well, what you're describing, would you

1 characterize that as a traumatic process?

2 A. If somebody had a trauma to the left shoulder, you  
3 can develop bone spurs, so I don't know.

4 Q. Let's talk about Mr. Dormevil's MRI that was done  
5 in June of 2013, found minimal bone spur acromial process  
6 with minimal impingement. That's essentially normal of  
7 someone of his age and body habitus, correct?

8 A. It can be normal.

9 Q. The rotator cuff -- a rotator cuff tear was not  
10 seen, meaning the rotator cuff is intact, correct?

11 A. That's what it says, yes, sir.

12 Q. So, these findings, looking at that MRI evaluation,  
13 I will ask you again, is there anything on that MRI report  
14 that would lead you to believe that Mr. Dormevil suffered a  
15 traumatic injury to his left shoulder?

16 A. Well, I will say what I said before, not acutely,  
17 but you can get bone spurs from a remote trauma.

18 Q. Is that your explanation of how the shoulder is  
19 related to the accident, these minimal bone spurs that are  
20 seen five years later, that's your explanation as to why it's  
21 traumatic?

22 A. No, I didn't say that was my explanation.

23 Q. There was another MRI done of the left shoulder?

24 THE COURT: We are going to take a short  
25 recess, five minutes.

1                   COURT OFFICER: All rise, jury exiting.

2                   (Whereupon, the jury left the courtroom.)

3                   (Whereupon, a brief recess was taken.)

4                   COURT OFFICER: All rise for the jury please.

5                   Jury entering.

6                   (Whereupon, the jury entered the courtroom.)

7                   THE COURT: You may be seated.

8                   CROSS-EXAMINATION CONTINUED

9                   BY MR. SCAHILL:

10                  Q. Doctor, when we left off, we were talking about the  
11 MRI of the shoulder that was done in June of 2013, and my  
12 question to you was, was that MRI of the left shoulder five  
13 years after the accident essentially normal, and you were  
14 talking about the minimal bone spur and you thought that  
15 perhaps that might be traumatic; is that correct?

16                  A. Based upon prior remote trauma, yes.

17                  Q. Now, you're aware that there was also an MRI of the  
18 shoulder that was performed in Florida in October of 2014  
19 before the surgery was performed, correct?

20                  A. Yes, sir.

21                  Q. Do you know when his left shoulder surgery was?

22                  A. It's actually the day after I interviewed him, so  
23 that would have been July 28th, I believe, 2016.

24                  Q. And the MRI of the shoulder that was performed in  
25 2014 also found no rotator cuff tendon tear, correct?

1           A.    That's accurate, yes.

2           Q.    And there was an impression of mild supraspinatus  
3 and infraspinatus tendinosis; is that right?

4           A.    Yes.

5           Q.    And that is all compatible with someone of the same  
6 age without a trauma; is that fair to say?

7           A.    That would be accurate, yes, sir.

8           Q.    Now, you talked about all the range of motion  
9 restrictions before.

10           Those range of motion restrictions, those depend on  
11 the report of the patient, correct?

12           A.    In terms of the neck and the lower back, it is what  
13 we call active range, depends on the patient who is doing the  
14 movement clearly, yes.

15           Q.    So, the patient, meaning Mr. Dormevil, said I can  
16 only bend this far, and you took him at his word, correct?

17           A.    In essence, yes.

18           Q.    So, when Mr. Dormevil was telling you that, that's  
19 something that's purely subjective on his part, correct?

20           A.    Yeah, he stops when the pain gets to the point he  
21 doesn't want to go any further, or he can't, but it is based  
22 upon him, yes.

23           Q.    I just want to review with you for a moment and  
24 discuss with the jury the difference between a subjective  
25 complaint and objective finding.

1           For analogy, we can say if someone tells you as a  
2 doctor they feel nauseous, that's a subjective complaint,  
3 correct?

4           A. Yes, it is.

5           Q. But if you see them vomiting, that's an objective  
6 finding that you could say that's an objective finding,  
7 correct?

8           A. For anybody to see, that is correct, yes.

9           Q. So, in terms of complaints with respect to the  
10 shoulder and the back, the restrictions that Mr. Dormevil  
11 said that he had when you saw him four months ago, those  
12 restrictions are all subjective, depending on what he tells  
13 you, correct?

14           A. Well, not the shoulder. The shoulder was passive  
15 range, meaning I controlled the movement. I moved it as far  
16 as it could be moved. The neck and the back, you're correct,  
17 he moved his neck and his back.

18           Q. Now, there is a concept in medicine known as  
19 secondary gain; is that correct?

20           A. Yes.

21           Q. You're taught that in medical school, the concept  
22 of secondary gain?

23           A. I don't remember where I learned it, but I did  
24 learn it somewhere along the way.

25           Q. It's not part of legal jargon, it's a medical term,

1 correct?

2 A. It is.

3 Q. And the reason that you're taught in medical school  
4 the issue of secondary gain, is because people that come to  
5 you for consultation may have other motives other than their  
6 own well being, correct?

7 A. That can be true the way you stated it, yes.

8 Q. To put it bluntly, secondary gain could be someone  
9 involved in a motor vehicle accident that has a personal  
10 injury lawsuit and reporting to you things that are  
11 inaccurate because they have a secondary motive, they want to  
12 get money from the lawsuit. Is that fair to say?

13 A. That is a potential scenario, sure.

14 Q. The same way that if someone is out on Worker's  
15 Comp, they tell you they can't work, but they are telling you  
16 that not because they can't go out to work, but they want to  
17 stay out on Worker's Comp, is that also a secondary gain?

18 A. That's also a potential, yes.

19 Q. So, you, as a physician, have to keep that in mind  
20 because you want to give an accurate portrayal of what  
21 actually is going on with that person that you see?

22 A. That is something we keep in mind, sure.

23 Q. Did you keep in mind with Mr. Dormevil the issue of  
24 secondary gain?

25 A. I got a general sense in speaking to him and

1 examining him of that, so I was keeping my eyes open for any  
2 unusual behaviors, inconsistent reports, things that didn't  
3 make sense, versus the medical records and the treatment, so  
4 sure, I had that in mind.

5 Q. And did you rule out secondary gain as it pertains  
6 to Mr. Dormevil?

7 A. I did not feel that he was exaggerating in any way  
8 or going outside what I would have expected based upon the  
9 records and his injuries.

10 Q. Did he tell you that he was involved in another  
11 accident in Florida in 2015, motor vehicle accident?

12 A. No.

13 Q. Did he tell you that he had another lawsuit pending  
14 in Florida?

15 MR. ROTH: Objection, there is no evidence  
16 and, in fact -- well, objection.

17 Q. I will rephrase it. Did he tell you that he has  
18 another claim pending in Florida --

19 MR. ROTH: Same objection.

20 Q. -- for personal injuries?

21 THE COURT: Objection is overruled.

22 Q. Did he tell you he has another claim pending in  
23 Florida and that he hired a lawyer to pursue a claim for a  
24 back injury from a car accident?

25 A. I was not aware of that.

1       Q.   Did he tell you he had another accident in 2017 in  
2 Florida, another motor vehicle accident?

3       A.   No.

4       Q.   You were not aware that he had a motor vehicle  
5 accident in 2015, before he had his shoulder surgery in 2016,  
6 correct?

7       A.   Correct, that would be the timeline. No, I was not  
8 aware.

9       Q.   Would it change your opinion if you had any of  
10 those records?

11      A.   It would depend whether or not he had documented  
12 injury to that left shoulder in that record, so I cannot  
13 answer that without the record.

14      Q.   Would it change your opinion about the issue of  
15 secondary gain if you had known about the second claim for  
16 the same injury?

17      A.   No, injuries can be aggravated from one accident to  
18 another, so not necessarily.

19                    MR. SCAHILL: No further questions. Thank  
20 you, your Honor.

21                    THE COURT: Redirect?

22                    MR. ROTH: Thank you, your Honor.

23                    REDIRECT EXAMINATION

24                    BY MR. ROTH:

25        Q.   My adversary mentioned the term gold standard; do

1 you recall that?

2 A. Yes.

3 Q. What's the gold standard for analysis of the  
4 shoulder injury under arthroscopy?

5 A. Well, actually the MRI is the gold standard  
6 imaging, but really the doctor's eyeball looking at the  
7 injuries from the inside is the gold standard in determining  
8 what is anatomically actually going on.

9 Q. So, you reviewed the operative report for the left  
10 shoulder, Doctor, correct?

11 A. I did look at that, yes.

12 Q. When that operation is going on, they made the  
13 incisions in Mr. Dormevil's shoulder, there is an arthroscope  
14 inside the shoulder, correct?

15 A. They put an endoscope in there. One incision is  
16 the camera to look around, you can see it on the T.V. They  
17 make several incisions because they have to get instruments  
18 into various places as well.

19 Q. Was there a SLAP tear found on arthroscopy?

20 A. There was, yes.

21 Q. My adversary keeps bringing up the lumbar. Did you  
22 review a cervical MRI report?

23 A. Yes.

24 Q. What did the cervical MRI report from March 20,  
25 2009, show?

1           A.    That MRI report indicated both cervical disc bulges  
2 as well as herniations.

3 Q. So, in terms of the cervical herniations, I know  
4 this is going to be a little bit rudimentary medicine, am I  
5 pointing to the cervical or the lumbar right now?

6 A. That's the cervical.

7 Q. Am I pointing to the cervical or lumbar with my  
8 left hand?

9 A. The left hand is pointing to the lumbar area.

10 Q. So, if you're doing a lumbar MRI, are you going to  
11 be able to visualize a cervical herniation?

12 A. No.

13 Q. So, in terms of the first gold standard diagnostic  
14 radiologic test to the neck, what did it show?

15 A. It showed, as I say, disc bulges that was at two  
16 levels, C2-C3 and C3-C4, and then posterior disc herniations  
17 at C4-C5 and C6-C7.

18 Q. And what was the treatment that, from your review  
19 of the records, was indicated in the neck?

20 A. So, well, he had several treatments, documented  
21 medications, he had therapy, he had injections, things of  
22 that nature, and certainly the only way to fix, so to speak  
23 the disc herniations, would be surgical.

24 MR. ROTH: I have nothing further. Thank you.

25

1 RE CROSS EXAMINATION

2 BY MR. SCAHILL:

3 Q. Doctor, what you just described as findings in the  
4 cervical spine, an individual about Mr. Dormevil's age, body  
5 habitus, work life, they can have those same findings with or  
6 without trauma?

7 A. One can have bulges and herniations without trauma,  
8 but he, in fact, did have a trauma.

9 Q. You don't know anything about the trauma, you don't  
10 know the severity of the impact, you don't know what happened  
11 to him immediately after the accident, you didn't know he was  
12 ambulatory at the scene, you didn't know his time in the E.R.  
13 was approximately an hour, and you didn't know that they  
14 didn't even do any diagnostic testing; is that fair to say?

15 A. Well, I did know that. I did review those records.  
16 I was aware of that actually.

17 MR. SCAHILL: Nothing further.

18 MR. ROTH: One question, your Honor.

19 THE COURT: One question.

20 MR. ROTH: I promise, one question.

21 I want you to assume for the sake of this  
22 question that Mr. Dormevil testified that the cab hit  
23 the side of the van, the van lifted on two wheels and  
24 slam back down, and the bumper fell off the cab. Does  
25 that change your opinion?

1                   THE WITNESS: It sounds like a significant  
2 impact, certainly.

3                   MR. ROTH: Thank you very much, I have nothing  
4 further.

5                   MR. SCAHILL: Nothing else.

6                   THE COURT: Thank you, Doctor, you can step  
7 down.

8                   (Whereupon, at this time, the witness was  
9 excused.)

10                  THE COURT: We are going to break now and  
11 return at 2:30 promptly, please, thank you.

12                  COURT OFFICER: All rise for the jury, please.

13                  (Whereupon, the jury left the courtroom.)

14                  (Whereupon, a luncheon recess was taken.)

15

16

17

18

19

20

21

22

23

24

25