

1 SUPREME COURT OF THE STATE OF NEW YORK  
2 COUNTY OF KINGS: CIVIL TERM: PART 73

2 -----X  
3 NANCIA MYERS,  
4 Plaintiff,

5 Index No.  
6 - against - 004578/2014  
7 Frye Hearing

8 SHAKEENA CULLER, BEACHSTONE BEVERAGE, INC.,  
9 and ALBERTO ARROYO,  
10 Defendants.

11 -----X  
12 360 Adams Street  
13 Brooklyn, New York 11201  
14 January 27, 2017

15 B E F O R E: THE HONORABLE PETER SWEENEY,  
16 J U S T I C E

17 A P P E A R A N C E S:

18 HILL, ROSENBERG & THURSTON, LLC  
19 Attorneys for the Plaintiff  
20 26 Court Street, Suite 1602  
21 Brooklyn, New York 11242  
22 BY: ROBERT C. ROSENBERG, ESQ.

23 CHEVEN, KEELY & HATZIS  
24 Attorneys for the Defendant  
25 SHAKEENA CULLER  
BY: LANA KLEIN, ESQ., of Counsel

26 PICCIANO & SCAHILL, P.C.  
27 Attorneys for the Defendants  
28 BEACHSTONE BEVERAGE, INC.  
29 ALBERTO ARROYO  
30 900 Merchants Concourse, Suite 310  
31 Westbury, New York 11590  
32 BY: HOWARD R. GREENWALD, ESQ.

33  
34 SUSIE SANCHEZ-SMITH  
35 Senior Court Reporter

1                   MR. GREENWALD: If I may, your Honor, I'm mindful  
2 of the facts of the discussions we have had with reference  
3 to the motion to preclude Dr. Toosi from testifying have  
4 all been off the record and in chambers, so let me at least  
5 establish a record so we can have --

6                   THE COURT: Sure.

7                   MR. GREENWALD: I apologize for this, your Honor,  
8 but I'm going to be a little extensive in terms of  
9 procedurally where we are because I think it's important in  
10 terms of the context of this hearing today. And I do have  
11 some law to present to your Honor as well with reference to  
12 the equipment standards and also in response to some of the  
13 points raised in the preclusion motion. I'll try to be as  
14 brief as I can, your Honor. I won't be more than a few  
15 minutes but I really believe that I need to say so.

16                  THE COURT: Mr. Rosenberg, I see you are standing  
17 up.

18                  MR. ROSENBERG: I am, because if there is any law  
19 that he intends -- that's not the purpose of this hearing  
20 today, for him to now have a sur-reply, and he should have  
21 done this in his papers.

22                  THE COURT: Look, at the end of the day, we are  
23 going to have a hearing and he's going to be allowed to  
24 supplement whatever arguments he has with any applicable  
25 law. If he does it in the beginning or the end, I don't

1                   really see it as --

2                   MR. GREENWALD: The only law I have, your Honor,  
3                   number one, Lugo -- I'm going to hand up a package,  
4                   your Honor, I have a package prepared. What's in the  
5                   package is, first and foremost, the Lugo case, which, for  
6                   the record, is --

7                   THE COURT: It's a long case.

8                   MR. GREENWALD: There is other cases appended  
9                   behind it, your Honor. Unfortunately there is duplication,  
10                  I wasn't paying much attention last night. Lugo versus New  
11                  York City Health and Hospital, 89 A.D.3d 42. This case  
12                  basically is presented to your Honor, it sets forth the  
13                  standards for a Frye hearing, that's all it does. It  
14                  basically sets the legal parameters for what we are going  
15                  to do today, what's the applicable tests, the prongs of the  
16                  test and apropos of which.

17                  Quoting from the decision, when the Frye test is  
18                  applied to a theory of causation, the Court's concern must  
19                  be limited to making sure that within the scientific field  
20                  of question, there is a substantive demonstrable objective  
21                  basis for the expert's opinion. The focus of the inquiry  
22                  should not be upon how widespread the theory's acceptance  
23                  is, but instead should consider whether a reasonable  
24                  quantum of legitimate support exists in the literature for  
25                  the expert's views.

1                   And there is another portion of this I would like  
2 to quote, your Honor, which basically sets forth the  
3 following: I'm quoting from the Lugo decision. Frye is  
4 not concerned with the reliability of a certain expert's  
5 conclusions, but instead with whether the expert's  
6 deductions are based on principles that are sufficiently  
7 established to have gained general acceptance as reliable.  
8 The Court's job is not to decide who is right and who is  
9 wrong, but rather to decide whether or not there is a  
10 sufficient scientific support for the expert's theory.  
11 General acceptance does not necessarily mean the majority  
12 of the scientists involved subscribe to the conclusion,  
13 rather it means that those espousing the theory or opinion  
14 have followed generally-accepted scientific principles and  
15 methodology in evaluating clinical data to reach their  
16 conclusions.

17                   The other cases that are grouped behind it,  
18 your Honor, and I'll just refer to them by the Plaintiff's  
19 names, the Gonzalez decision, Legrand decision, Valentine  
20 and Plate. Several of these decisions, two of these  
21 decisions are where Dr. Toosi was --

22                   MR. ROSENBERG: Judge, before we go on, can we  
23 have the witness go outside?

24                   THE COURT: Fair enough.

25                   MR. ROSENBERG: If he is going to go into this, he

1           shouldn't be here.

2           THE COURT: Mr. Toosi, can you please step out for  
3           a moment?

4           THE WITNESS: Yes, your Honor.

5           THE COURT: Go ahead, Mr. Greenwald.

6           MR. GREENWALD: Two of the decisions that I have  
7           supplied your Honor basically admitted Dr. Toosi to testify  
8           as a biomechanical expert and two of the decisions that I  
9           provided, aggregately five, there was a reversal in the  
10           Appellate Division upon preclusion of the biomechanical  
11           expert at the trial level. And essentially that's all the  
12           law that I have, I'm presenting to your Honor.

13           MR. ROSENBERG: In light of this late disclosure  
14           for the law, I just reserve my rights, Judge, to submit at  
15           a later time --

16           THE COURT: I will give you both an opportunity to  
17           submit whatever you want to submit to me following the  
18           hearing.

19           MR. ROSENBERG: Thank you.

20           THE COURT: Just so you all know, this is a Frye  
21           hearing to some extent and it's also just a hearing to  
22           another extent. And the non-Frye aspect of the hearing is  
23           as to whether there is a factual foundation based on the  
24           evidence that backs up his opinion.

25           Now, the Court is permitted to conduct a hearing

1 of that nature and that's part of what we're here to do  
2 today, okay.

3 MR. GREENWALD: Okay, apropos the last thing I was  
4 going to say in this vein in terms of the standards is  
5 there a four-prong test --

6 THE COURT: Are we back to Frye?

7 MR. GREENWALD: Yes. There is a four-prong test  
8 and the first prong is whether he is qualified in terms of  
9 his credentials and his educational background.

10 THE COURT: I'm going to cut you short,  
11 Mr. Greenwald, I would not blanketly preclude a  
12 biomechanical expert from testifying. There is ample  
13 support in the Court's view that supports the expertise,  
14 okay.

15 MR. GREENWALD: Okay.

16 THE COURT: But what exactly he's going to testify  
17 to and whether or not there is scientific support for that,  
18 that's another story. I'm not saying biomechanical  
19 engineering is junk science, that's not what I'm saying at  
20 all, all right. I do have some issue with whether or not  
21 he can form an opinion based on his review of the  
22 photographs. And I need to have this hearing to determine  
23 whether there is an ample factual basis for his opinion,  
24 all right. So those are my concerns and you can direct the  
25 hearing and the questions accordingly.

1 MR. GREENWALD: Your Honor, I appreciate that  
2 your Honor and thank you. This has been characterized in  
3 our discussions off the record, that he is solely relying  
4 upon a photograph or photographs.

7 MR. GREENWALD: Well, it was put to you that way  
8 but the motion papers are before the Court and his report  
9 is before the Court, all right. And review of his report  
10 will disclose differently, that he relied upon two separate  
11 calculations, there are two separate equations. All right.

12 THE COURT: All right. Can we get to it?

13 MR. GREENWALD: I want to set the procedural  
14 setting very briefly, all right. There is one thing that I  
15 want to emphasize, and he's out of the room now, let the  
16 record reflect. You know, there has been much aspersions  
17 cast on his opinion off the record, all right. So bottom  
18 line is this: He reached a conclusion that the delta force  
19 here, and we're going to go into that in his testimony, the  
20 delta force here was 9.8 miles per hour, okay. And as he  
21 says in his report, this is giving the Plaintiff every  
22 benefit of the doubt in terms of the maximum, for  
23 instance --

24 THE COURT: Counsel, look, it sounds like you are  
25 doing a closing.

1                   MR. GREENWALD: Your Honor, one point here.

2                   THE COURT: Go ahead.

3                   MR. GREENWALD: It says in the deposition of  
4                   Mr. Arroyo, which he relied upon, and he also repeated that  
5                   testimony, Mr. Arroyo, at the trial, that the accident  
6                   happened at five miles per hour. He assumed that the  
7                   accident happened at ten miles per hour and that's the kind  
8                   of thing in terms of giving the Plaintiff every benefit of  
9                   the doubt. And at the end of the day, the delta force that  
10                  was 9.8 that he came up with. I would just point out one  
11                  thing to you in that context, your Honor: No air bags  
12                  deployed in the Plaintiff's car. And he's going to talk  
13                  about the specifications for that Windstar and that's in  
14                  his report as well.

15                  THE COURT: Counsel, please.

16                  MR. GREENWALD: It's like a QED, your Honor.

17                  THE COURT: I can't stop you, can I?

18                  MR. GREENWALD: It proves it's a combination of  
19                  his calculations.

20                  MR. ROSENBERG: Wait a second, he opined about air  
21                  bags?

22                  MR. GREENWALD: He sure did.

23                  MR. ROSENBERG: Where is that?

24                  MR. GREENWALD: Mr. Rosenberg, I've read the  
25                  report, it's in the report that the air bags didn't deploy.

1 I mean, you've repeatedly characterized this off the  
2 record, that he relied on a single photograph, that's  
3 clearly not true, and review of the report will disclose  
4 that.

5 MR. ROSENBERG: Tell me the page in this report.

6 MR. GREENWALD: I really can't, it's in there.  
7 I'm sorry you haven't read the report but you  
8 mischaracterized it.

9 Finally, your Honor, let me just do this, then I'm  
10 going to call him to testify.

11 THE COURT: You got more?

12 MR. GREENWALD: Just procedurally, not as far as  
13 the context.

14 THE COURT: It sounded like content.

15 MR. GREENWALD: Let the record reflect,  
16 your Honor --

17 MR. ROSENBERG: If I may, before we even get to  
18 that, I know there is no jury here, but I don't see  
19 anything in there about air bags. Can you point to me?  
20 Just show me and I'll sit down. I don't see that.

21 MR. GREENWALD: Should I stop now and read the  
22 report?

23 THE COURT: It's really unnecessary to go through  
24 this right now.

25 MR. ROSENBERG: I don't want him going through

1 that if it's not in the report.

2 MR. GREENWALD: It's in the report. How many  
3 times do you --

4 THE COURT: Counsel, look, you know how to object  
5 to a question, so I'm going to let you object to questions  
6 if you feel they are inappropriate.

7 MR. ROSENBERG: All right, Judge.

8 MR. GREENWALD: Now procedurally, your Honor --

9 THE COURT: We are still on that?

10 MR. GREENWALD: I haven't gotten to that.

11 THE COURT: Oh, we didn't get to it yet.

12 MR. GREENWALD: I will be real quick, your Honor.  
13 We had a trial on liability in June, okay, there was a  
14 verdict for the Plaintiff, who was a passenger in my  
15 co-defendant's vehicle. It was a 50/50 finding, both  
16 defendants were found to be 50 percent responsible. It was  
17 a no-brainer, your Honor, that there was going to be a  
18 liability verdict with reference to this Plaintiff because  
19 she was a passenger. So clearly one of the vehicles at  
20 least was going to be held responsible. And with all due  
21 respect, this is Kings County, we have two vehicles  
22 involved in a collision at an intersection and it was  
23 basically a given by all attorneys present, and I assume  
24 your Honor, that both of the vehicles were going to be held  
25 in such a circumstance, all right.

1                   And apropos of which, you had to specifically ask  
2 this of Mr. Rosenberg when we were getting ready to do the  
3 damages trial, apropos of which no doctors, no doctors were  
4 set up to testify and we had to disband the jury for that  
5 reason because the Plaintiff wasn't prepared to go ahead on  
6 damages. And your Honor then set a date for October 13th  
7 for us to come back in and pick a jury and try the damages  
8 portion. And I got a call a couple days before that,  
9 asking me whether I was ready. And I had all my doctors  
10 lined up.

11                   THE COURT: From him, not from me.

12                   MR. GREENWALD: From Mr. Rosenberg. I had all my  
13 doctors lined up for the second time. And lo and behold,  
14 when I appeared in the courthouse in your Honor's  
15 courtroom, I'm presented with the specious preclusion  
16 motions, with no notice like this, okay. So that's what  
17 brings us here today. And one of the representations that  
18 was made off the record and in this setting was that there  
19 was no need to reply to these motions, that they were so  
20 ironclad, that they were so indisputable that not only did  
21 we not need a Frye hearing, but I didn't even have to  
22 respond, your Honor should just peremptorily preclude  
23 Dr. Toosi and Dr. Cohn, my radiologist. And the motion as  
24 to Dr. Cohn, if it is even possible, is even more specious  
25 than this motion.

1                   So what brings us here today procedurally, this  
2 case should have been done in June and certainly should  
3 have been -- we should have tried the case in October.  
4 What brings us here today is apparently this motion,  
5 instead of trying their case, they are doing this, at the  
6 waste of the Court's time, waste of my time and the  
7 taxpayers' money, not to mention. That's where we are, I  
8 will put this on the record, all right. If they had a  
9 causal connection, your Honor, meaning if Dr. Merola was  
10 willing to testify there is a causal connection, then this  
11 case would have been over in June. So with that,  
12 your Honor, I call Dr. Toosi to testify.

13                   THE COURT: You want to give Mr. Rosenberg an  
14 opportunity to address the Court --

15                   MR. ROSENBERG: Judge, I didn't realize that we  
16 were here to reargue a motion to begin with where  
17 your Honor ordered that we have a hearing today. I wasn't  
18 prepared to come in and reargue something that was already  
19 decided.

20                   THE COURT: So let's just go forward then. Let's  
21 get Mr. Toosi in and let's begin.

22                   (Whereupon, the witness enters the courtroom and  
23 takes the witness stand.)

24                   THE COURT CLERK: Can you raise your right hand.  
25 Do you swear to tell the truth, the whole truth,

1 and nothing but the truth?

2 THE WITNESS: I do.

3 THE COURT CLERK: Can you please state your name  
4 and business address for the record, please.

5 THE WITNESS: Kevin Toosi, T-O-O-S-I. The address  
6 is 1407 McLaughlin Run Road, Pittsburgh, PA 15241.

7 THE COURT CLERK: Thank you so much, Doctor.

8 THE WITNESS: Thank you.

9 D R . K E V I N T O O S I , having been duly sworn by  
10 the Clerk of the Court, was examined and testified as  
11 follows:

12 DIRECT EXAMINATION

13 BY MR. GREENWALD:

14 Q Good morning, Dr. Toosi.

15 A Good morning.

16 Q Have you brought with you material that had been  
17 provided to you to review with reference to your analysis in  
18 this case?

19 A Yes.

20 Q Do you have that with you? Could you take that out,  
21 please.

22 A Sure. I have two sets of materials, one is what I have  
23 been provided to perform the analysis for this case and the  
24 other one is the references that I cited at the end of my  
25 report.

1 MR. ROSENBERG: I can't hear him, Judge.

2 A The references that are cited at the end of my report.

3 THE COURT: Try to speak as loudly as you can,  
4 Mr. Rosenberg is having difficulty hearing.

5 THE WITNESS: I will do better.

6 Q As to the second one, at the conclusion of your report  
7 or behind your report, you cite the articles and publications,  
8 do you not?

9 A Yes, I did.

10 Q So the second binder that you are referring to, those  
11 articles and those publications, that's what's in the second  
12 binder?

13 A Yes, sir.

14 Q And what's in the first binder?

15 A The materials that I received to review for my analysis  
16 for this accident.

17 Q And could you please state for the record precisely  
18 what you did review, what's contained in that binder?

19       A     Sure.  What I have here is obviously a copy of my  
20 reports, but materials I have reviewed to start with, the police  
21 accident report, a Bill of Particulars, Amended Bill of  
22 Particulars, Second Amended Bill of Particulars, medical records  
23 pertaining to the Plaintiff, information regarding the vehicles  
24 involved in the accident including photographs of the  
25 Plaintiff's car and the deposition of parties involved.

1 MR. GREENWALD: Your Honor, I offer these binders  
2 in evidence, your Honor.

3 MR. ROSENBERG: I object. He's offering -- First  
4 off, I might add, Judge, that in the materials reviewed, if  
5 you look at the expert's report, there seems to be a couple  
6 things missing from what he claimed he reviewed and what's  
7 being offered into evidence, so that I have an issue with.

8 I'll give you an example, Judge. Medical records,  
9 are they certified?

10 THE COURT: Well, look, we understand that --  
11 Look, this is a Frye hearing, okay. He's allowed to  
12 formulate his opinion based on hearsay for purposes of  
13 determining whether his opinion is factually based, okay.  
14 So I'm not accepting these materials for the truth of the  
15 matter asserted. I'm accepting them to see whether or not  
16 they establish at trial, the facts underlying these  
17 materials are established, that would serve as a foundation  
18 for his opinion, okay. We don't have to try the case here.

19 MR. ROSENBERG: No, I understand that, Judge, but  
20 he didn't review medical records in his report and now he  
21 says he reviewed medical records. Where is that in his  
22 report?

23 THE COURT: I have his report right in front of  
24 me.

25 || MR. ROSENBERG: Look at the materials reviewed,

1 Judge, it's on Page 2. Do you see that in there?

4 MR. ROSENBERG: That's correct. So now I ask the  
5 Court to preclude him from referring or relying on that.

12 MR. GREENWALD: Your Honor, if I may, the claim,  
13 among others, in the motion for preclusion is that he  
14 basically is relying on nothing, it's like he pulled this  
15 opinion out of thin air, your Honor.

16 THE COURT: Look, I don't want to argue  
17 everything.

18 MR. GREENWALD: What I'm presenting is the  
19 material --

20 MR. ROSENBERG: Judge, if he's going to go on, I  
21 ask that the witness be excluded from the room.

22 THE COURT: Exhibit 1 are the materials that you  
23 reviewed specific to this case, correct? That's one  
24 folder.

25 || THE WITNESS: That's correct, your Honor.

1                   THE COURT: And we will call the other folder  
2                   Exhibit 2, which is various treatises which you believe  
3                   support the science behind your opinion; is that correct?

4                   THE WITNESS: That's correct.

5                   THE COURT: So for purposes of this hearing, they  
6                   will be received in evidence. And again, they are not  
7                   being accepted for the truth of the matter asserted. They  
8                   are being accepted solely to see if they establish that  
9                   there is support for his opinion in the scientific  
10                   community, to see whether or not his opinion has a factual  
11                   basis. So let's go.

12                  Q       Dr. Toosi, have you ever practiced medicine?

13                  A       Yes, I have.

14                  Q       And tell me about that, what was your education with  
15                   reference to the practice of medicine?

16                  A       I graduated from medical school in 1994 and then I  
17                   practiced medicine for five years as a PCP, primary care  
18                   physician, in Iran before coming to the United States, so that's  
19                   my background as a medical doctor.

20                  Q       And in Iran, while you were practicing medicine for  
21                   five years, as you said, did you treat any people that had been  
22                   in accidents or had traumatic injuries coming from automobile  
23                   accidents?

24                   MR. ROSENBERG: Objection. Really, in Iran?

25                   Judge, he is not a licensed medical doctor in the

1                   United States.

2                   THE COURT: Overruled.

3                   You can testify to your expertise, go ahead.

4                   MR. GREENWALD: Again, your Honor, just to respond  
5                   to this, they claim he had no qualifications. This is  
6                   not --

7                   THE COURT: All right, look --

8                   MR. GREENWALD: This is what the motion is --

9                   THE COURT: I'm not going to sit here and listen  
10                   to you two argue for the next hour and a half, okay. Let's  
11                   get some work done, all right.

12                  Q      Did you treat accident victims in Iran?

13                  MR. ROSENBERG: Objection.

14                  THE COURT: All right. Overruled, you can answer  
15                   the question.

16                  A      Yes, I did.

17                  Q      And what kind of injuries did you treat, Doctor?

18                  MR. ROSENBERG: Objection.

19                  THE COURT: You can answer the question.

20                  MR. ROSENBERG: Judge, he is not a medical doctor,  
21                   he's not here testifying as a medical doctor.

22                  THE COURT: Read the law, Counsel. Respect my  
23                   ruling, all right, or don't, but that's my ruling.

24                  A      A variety of injuries from abrasions and contusions to  
25                   fractures and so forth. So I have attended people involving car

1 || accidents, yes.

2 Q Ever treat any patients that had herniated lumbar or  
3 cervical disks?

4 MR. ROSENBERG: Objection.

5 THE COURT: Overruled.

6 A Yes, I have.

7 Q Now Doctor, are you an engineer?

8                   A           Yes, I am.

9 Q Could you tell us about your education with reference  
10 to being an engineer?

11       A     Sure. When I came to the United States, I started  
12     doing medical research instead of practicing medicine and it  
13     helped me get to some research projects in University of  
14     Pittsburgh. I earned as a result, as a by-product of my  
15     research, I earned a Bachelor in Science and a Master's in  
16     Science degrees in Bioengineering and I went on to get a Doctor  
17     of Philosophy, doctoral degree in Engineering, Ph.D. in  
18     Biomechanics in 2011 from University of Pittsburgh.

19 Q And so you have an M.D., albeit not from the  
20 United States, but you have an M.D. from overseas, correct?

21 || MR. ROSENBERG: Objection.

22 THE COURT: What kind of degree did you have from  
23 Iran?

24 THE WITNESS: A medical degree, yes, your Honor.

25 || Q Do you have a Bachelor of Science?

1       A    Yes.

2       Q    From where do you have a Bachelor of Science?

3       A    University of Pittsburgh.

4       Q    What do you have a Bachelor of Science in?

5       A    In Bioengineering.

6       Q    Do you have a Master's?

7       A    Yes.

8       Q    What do you have a Master's in?

9       A    In Bioengineering from University of Pittsburgh.

10      Q    Do you have a Ph.D.?

11      A    Yes, I do.

12      Q    And what do you have a Ph.D. in?

13      A    Biomechanics also from University of Pittsburgh.

14                   THE COURT: Tell the Court what that means, what  
15                   is the field of biomechanics.

16                   THE WITNESS: Biomechanics is simply the  
17                   application of laws of physics and principles of  
18                   engineering to living system in general, but more  
19                   specifically for the human body. Forces and motions and  
20                   interaction of human body with those forces and motions.

21                   THE COURT: Okay.

22      Q    Have you practiced as an engineer in the United States?

23      A    Yes.

24      Q    Could you tell us about your experience as an engineer?

25      A    Well, after I received my Master's in 2005, I was hired

1 by a company, a scientific and consulting company in  
2 Philadelphia named Exponent for three years as an engineer. I  
3 was eventually promoted to scientist, but for three years I was  
4 working as an engineer as part of a team to design/perform crash  
5 tests, analyze them, analyze the results, publish the results  
6 and so forth for three years. So that was my first job as an  
7 engineer.

8 I eventually went back to University of Pittsburgh to  
9 get my Ph.D. in bioengineering and I did and I ended up becoming  
10 an adjunct professor in the University of Pittsburgh, which I  
11 still hold that position, and I also work as a consulting  
12 engineer in the field of biomechanics.

13 Q Do you teach biomechanical engineering?

14 A Yes, I do.

15 Q Tell us about that.

16 A I teach biomechanics on different levels, both for  
17 students and for graduate students. For the graduates, we also  
18 have some medical students interested in the research areas of  
19 biomechanics, attending my lectures and classes.

20 Q Have you published any articles in biomechanics?

21 A Yes, I have.

22 Q Can you tell us what publications you published?

23 A Sure. My publication has three major sections. One is  
24 based on the research that I have done during my Master's  
25 degree, so it's a by-product of my Master's thesis. And the

1 focus was the effect of the spinal cord injury on the function  
2 of the urinary tract, specifically urinary bladder. So there  
3 are a couple of peer-reviewed articles coming out of that  
4 research plus a bunch of presentations in seminars and  
5 scientific conferences.

6 The second phase was, as I mentioned, for the area that  
7 I was practicing as an engineer in Exponent, performing crash  
8 tests and so forth so I have a few publications out of that.

9 And then when I came back for my Ph.D., I had done  
10 testing of human subjects for the possibility of getting injured  
11 to upper extremity as a result of repetitive motions. For my  
12 dissertation specifically I worked on the effect of keyboarding,  
13 using mouse and keyboard and typing on the wrist and see if  
14 there is any correlation between extensive typing and carpal  
15 tunnel syndrome.

16 Q Now have you ever testified in a court of law as a  
17 biomechanical expert?

18 A Yes, I have.

19 Q On approximately how many occasions?

20 A About 50 times.

21 Q Which courts have you been admitted as an expert to  
22 testify in biomechanics?

23 A Here in Kings, Bronx, Manhattan, Westchester, Queens,  
24 one was actually here in New York City, Connecticut, Florida,  
25 Pennsylvania, so many places actually.

1       Q     And have you ever testified as a biomechanical expert  
2 in Judge Sweeney's courtroom?

3       A     Yes, I have.

4       Q     When was that?

5       A     It was November 18, 2011. It wasn't in this room  
6 though, it was on the third floor, I believe, 366.

7       Q     When was the science of biomechanics established?

8       A     Well, biomechanics has been around for hundreds of  
9 years, it goes back actually to Leonardo da Vinci, the most  
10 known scientist and artist to actually correlate the function  
11 and structure of human body parts, so that's like the definition  
12 of biomechanics.

13           But modern biomechanics have been around for at least  
14 50, 60 years. And by "modern," I mean biomechanics based on the  
15 development of the technology and other branches of science such  
16 as computer science and software. So last 50, 60 years  
17 biomechanics have been extremely active in terms of performing  
18 research and analyzing the result of those research projects.  
19 And many products that are used on a daily basis come as a  
20 result of those research products: Seat belts; air bags; car  
21 seats; helmets; many types of equipment, sports gear are coming  
22 from biomechanics; sneakers are byproducts of advancement in  
23 biomechanics. So the modern biomechanics have been around at  
24 least 50, 60 years.

25       Q     Now in terms of what you reviewed to testify at this

1 trial and to render a report that you rendered, you reviewed  
2 deposition testimony, did you not?

3 A Yes, I did.

4 Q And did you review the testimony of one day at trial  
5 where three witnesses, the Plaintiff, the Co-Defendant, and  
6 Mr. Arroyo, the driver that I represent, testified as to what  
7 happened in this accident?

8 MR. ROSENBERG: Objection. Judge, show me where  
9 that is in the report.

10 MR. GREENWALD: Obviously it's not in the report,  
11 your Honor, because the report was rendered before that, as  
12 Mr. Rosenberg well knows. But hopefully Mr. Rosenberg is  
13 also aware of the principle that an expert can utilize  
14 evidence adduced at the trial in terms of his opinion that  
15 he's going to give to the jury.

16 MR. ROSENBERG: Judge, if I may, Judge, if you  
17 look at Dr. Toosi's report, it's devoid of mentioning  
18 anything to do with trial testimony. So clearly, Judge,  
19 he's beyond the scope of what they exchanged. We are not  
20 at a trial, Judge. We are at hearing to preclude him. So  
21 there is no supplemental report, there is nothing in  
22 addition. They had up until today because your Honor  
23 already heard argument from Mr. Greenwald that went on and  
24 on before we even started. So Judge, clearly that part  
25 should be precluded from his consideration. It's not in

1 here, it's way beyond the scope. So I'm at a loss because  
2 I don't know what he's going to say now.

3 THE COURT: Well, you're going to hear what he has  
4 to say so I'm going to allow him to testify.

5 Go ahead, Counsel.

6 Q You reviewed photographs?

7 A Yes, I have.

8 Q Tell me what photographs you reviewed.

9 A I believe I have 12 colored photographs of the  
10 Ford Windstar minivan that the Plaintiff was in at the time of  
11 the accident.

12 Q Did you review Bill of Particulars?

13 A Yes, I have.

14 Q There was an initial Bill of Particulars and a  
15 Supplemental Bill of Particulars, Doctor?

16 A Yes.

17 MR. ROSENBERG: Objection. Leading. Every single  
18 question he has asked so far today has been leading and  
19 I've let it go. But now I'm going to object to every  
20 question.

21 THE COURT: All right. He already told us what he  
22 relied on. And secondly, all these materials are set forth  
23 in his report. So unless you are asking him if he relied  
24 on any materials in addition to what he set forth in his  
25 report, you really don't have to do that, okay.

1 MR. GREENWALD: Your Honor, fine. I'm at the last  
2 one and there was an issue made with Mr. Rosenberg with  
3 reference to the medical, so let me at least do that.

4 THE COURT: All right.

5 Q Did you review 3101 expert witness disclosure reports  
6 to prepare you to render your report?

7                   A           Yes, I did.

8 THE COURT: Off the record.

9 (Whereupon, an off-the-record discussion was  
10 held.)

11 Q Just so the record is clear, I'm referring to a report  
12 by Dr. Jeffrey Klein and I'm referring to a report by  
13 Dr. Melissa Sapan-Cohn, did you review those reports?

14 A Yes, I did.

15 MR. ROSENBERG: Just note my objection to the last  
16 two questions. Again, they are both leading. I think  
17 Mr. Greenwald can get up there and testify as opposed to  
18 Dr. Toosi.

19 THE COURT: All right. Go ahead. Overruled.

20 || Next question.

21 Q Did you review the police accident report?

22 A Yes, I did.

23 Q And did you review the motion to preclude your  
24 testimony?

25 A Yes, I did.

1                   MR. ROSENBERG: Objection. Judge, where is that  
2 in here? That's not in here either.

3                   THE COURT: I already made my ruling.

4                   MR. ROSENBERG: That he can testify to anything  
5 regardless?

6                   THE COURT: You know what, you better stop, all  
7 right. I'm up to here already, all right. So let's go.

8 Q     Doctor, you have your report handy?

9 A     Yes.

10 Q    Please turn to Page 2 of that report. Did you have any  
11 specific aims and overall purposes in terms of the thrust of  
12 this report, Doctor?

13 A    Yes.

14 Q    Could you tell us what those specific aims are?

15 A    Well, of course the first step, like any other research  
16 project for me, would be gathering data or factual materials  
17 pertaining to the case, and that's what I did, which included  
18 actually medical summaries that are listed in the first specific  
19 aim on top of the Page 2.

20                  Then the next step for me was to quantify the severity  
21 of the accident in terms of some unit, some numbers. Definition  
22 of impact as heavy or light or moderate doesn't necessarily mean  
23 anything in terms of, you know, engineering analysis. So as an  
24 engineer, you have to put a number on an event with specific  
25 unit so you can rely upon that and also pass it on to other

1 engineers, that was the second step. For that, of course, I  
2 performed analysis which is on the accident reconstruction or  
3 accident analysis in my report. One was a crush energy analysis  
4 based on the photographs that I investigated and the other one  
5 was a momentum analysis. Two different analyses based on  
6 different materials and different facts completely done  
7 separately and independently from each other.

8 So that was the second aim, as I said, to quantify the  
9 severity of the accident. Based on the findings of the first  
10 and second step, the third step for me was to understand the  
11 movement, the motions and forces involved with those motions for  
12 the Plaintiff inside that vehicle as a result of the impact.  
13 Obviously if there is no movement, there is no motion or energy  
14 being transferred, there wouldn't be any injury. So if there is  
15 some sort of motions and forces involved, then you have to find  
16 out in terms of determining that those are significant enough to  
17 cause -- and that was the next step, to understand what type of  
18 motions were involved and forces being transferred to the human  
19 body and other circumstances with that regard, seat belt, seat  
20 back and so forth.

21 Finally, based on these findings, step by step, my next  
22 aim was to understand, as I said, the forces and motions that  
23 were already analyzed and determined and quantified, are they  
24 significant enough, meaning are they beyond the threshold of  
25 damage to the human body parts, specifically those parts that

1 Plaintiff was claiming were injured in this accident, to draw a  
2 conclusion that if we have a certain amount of force, enough  
3 amount of force basically and certain mechanism to create those  
4 specific injuries in this accident, to draw a conclusion that  
5 they are caused by this accident. We are not having either  
6 significant amount of force or the certain mechanism to create  
7 those injuries, then it would be with a reasonable scientific  
8 certainty, if you have scientific certainty, that the accident  
9 was not the cause for the injury.

10 Q Now did you formulate an incident summary with  
11 reference to this case?

12 A Yes.

13 Q Why did you formulate an incident summary?

14 A I think I mentioned that everything starts with the  
15 facts regarding the accident, which cars were involved, what  
16 time did it happen, where it happened, how many people were  
17 sitting in the car, which direction they are traveling. So all  
18 those coming as the very basic facts that are the very first  
19 step for us to gather information, gather data and then take it  
20 from there. So incident summary was the very first thing that  
21 we did.

22 Q And what was the incident summary that you formulated  
23 with reference to your report in this case?

24 A I think we can see the summary, page --

25 Q Page 3?

1       A     Page 3 of my report, it's coming from different  
2     sources, mostly police accident reports, the first responder  
3     attending the scene, seeing the vehicles at the scene, attending  
4     the people involved in this accident and reporting as an  
5     official, as an authority person, that was the main source, and  
6     summarize the finding from that police accident in that incident  
7     summary.

8       Q     Now, there is a portion of your report that begins on  
9     the bottom portion of Page 3 entitled Claimed Injuries, why did  
10    you include that in your report?

11      A     This is important for us to understand what you are  
12     analyzing here, what am I dealing with as a biomechanical  
13     engineer, is it forces required to require a disk herniation  
14     versus a rotator cuff tear or meniscal tear in the knee. It's  
15     important to know what parts of the body I'm focusing on to  
16     determine the force or the mechanism or both and come up with a  
17     conclusion eventually that the force and mechanism were  
18     significant enough to create that. So it would be very helpful  
19     to understand what type of injuries, you know, we are dealing  
20     with. And in this case, they are all focused on the neck and  
21     lower back, which are cervical spine and lumbar spine as listed  
22     in those legal documents.

23      Q     And the next thing you did, Doctor, in your report  
24     commencing on Page 5 is you present a medical summary of  
25     Nancia Myers, the Plaintiff, what was the purpose of including

1 that in your report?

2       A     Again we are dealing with the person that claims to be  
3     injured, so it is very important to understand, as I said, what  
4     type of injuries and who diagnosed them and where they come  
5     from. I did not prepare the report as a medical doctor, I was  
6     solely a biomechanical engineer analyzing this in terms of  
7     biomechanics. So I relied upon findings from the doctors who  
8     attended the person, who took the MRIs and reviewed them,  
9     perform surgeries, examined the patients and so forth. So that  
10    was a big part and it's always a big part of my analysis to  
11    understand what medical doctors have found in terms of their  
12    examinations and tests that they have done and it's always a  
13    part to help me understand again what I'm dealing with in terms  
14    of analyzing, in terms of causation and so forth.

15      Q     Did you in part rely upon the reports of  
16    MRI examinations?

17      A     Absolutely.

18      Q     Did you rely at least in part on the operative report  
19    of the operation performed by Dr. Merola?

20      A     Yes.

21      Q     And you also relied upon reports of independent medical  
22    examinations, Doctor?

23      A     Yes, sir.

24      Q     And review of radiological films by Dr. Cohn?

25      A     Yes.

1                   THE COURT: Just to make it easy, all the medical  
2 reports that you relied upon are set forth in your report;  
3 is that correct?

4                   THE WITNESS: Yes, sir.

5                   THE COURT: Thank you.

6                   Q     Now, commencing on Page 7, next category in the report  
7 is vehicle information?

8                   A     Correct.

9                   Q     What's the function of this in your report, Doctor?

10                  A     As I mentioned, when we find out what type of accident  
11 we are dealing with, we need to gather information as much as we  
12 can to perform those analyses to quantify that. Obviously when  
13 there are vehicles involved, one major part of the information  
14 comes from the year, make and model of those cars and also their  
15 weight, geometry and some other specific data related to those  
16 specific model and make and that's the purpose of having them  
17 listed in this page along with the location and extent of damage  
18 to those vehicles.

19                  Q     Now the first vehicle you discussed was the 2002 Ford  
20 Windstar, is that the vehicle that the Plaintiff was a passenger  
21 in?

22                  A     Yes.

23                  Q     Now, you provided what's called a curb weight. What's  
24 a curb weight?

25                  A     Curb weight is a term coined by manufacturer actually,

1 car manufacturer, they make a car and it's ready to go full of  
2 gas by the curbside but without any occupants. So that's the  
3 car coming basically out of factory without any additional  
4 equipment or any after-market additions to that, and as I said,  
5 without any occupants or any passengers inside, that's the  
6 weight of the car that is full of fluid and tank full of fuel  
7 and so forth so ready to go, that's the definition of curb  
8 weight.

9 Q Now what was the curb weight that you found for the  
10 2002 Ford Windstar?

11 A According to the manufacturer, the Ford company, the  
12 curb weight of that vehicle is 4,223 pounds.

13 Q And did you then add the weight of the occupants of the  
14 vehicle?

15 A Yes.

16 Q Now, with reference to the weight of the Plaintiff,  
17 what weight did you use?

18 A I used 197 pounds for Ms. Myers based on her medical  
19 records.

20 Q Okay. And what weight did you use for Ms. Culler?

21 A For him I did not have any medical records so I used an  
22 average weight for a male in his age.

23 Q And what weight was that?

24 A That was 165 pounds.

25 MR. ROSENBERG: Judge, if we may, can we have the

1 court reporter, before we go on, just read back the last  
2 like two sentences that the doctor said.

3 (Whereupon, the referred to portion of the  
4 record was read back by the Reporter.)

5 THE COURT: She.

6 MR. ROSENBERG: I just wanted to make sure I heard  
7 it correctly.

8 Q What was the total weight you found based on the  
9 calculations that you just advised us of, in terms of the weight  
10 of the Windstar at the time the accident happened?

11 A The total was 4,585 pounds.

12 Q Getting a little ahead of myself here, Doctor, but I  
13 have to ask you this at this juncture. Let's assume arguendo  
14 for a moment here that instead of being 165 pounds, which you  
15 told us you obtained from an average, let's say, for instance,  
16 that Ms. Culler was 185 pounds, okay?

17 A Okay.

18 Q So that would mean that the total weight of the  
19 Windstar would have been 20 pounds more based on the  
20 calculations, we are going to get into that, what kind of  
21 difference would that have made to your calculations if  
22 Ms. Culler is 20 pounds more than the estimated weight you  
23 provide to her?

24 MR. ROSENBERG: Objection. Again beyond the  
25 scope. Not even close to mentioning this in here, Judge.

3       A     Having 25, 30, 50, even up to 100 or 200 pounds would  
4     not make a significant difference. You will see few pages later  
5     we have some calculations or delta-v or changing velocity, the  
6     change up to 100 or 200 pounds would affect those numbers by a  
7     decimal point, meaning instead of 5.6, we may have 5.5 or 5.7.  
8     If it's lighter or heavier, it's not going to be a significant  
9     difference.

10 Q Thank you. Now with reference to the 1999  
11 Freightliner, what was the curb weight for that vehicle?

12 A Well, this is a commercial truck so I didn't use curb  
13 weight for that vehicle for a couple reasons. First of all,  
14 it's not comparable to a passenger car that you only have the  
15 weight of the car and the weight of the passenger inside.  
16 Fuelled by fuel by itself could be a significant number for  
17 these big trucks, a full tank versus empty tank, equipment  
18 usually being added to those commercial trucks and vehicles.

19 And more importantly is some cargo, which is purpose of  
20 having that truck actually on the road, there is a possibility  
21 that car is fully loaded, which I didn't know about that. For  
22 those reasons, I chose to go with what we call in engineering  
23 term as GVWR, which stands for gross vehicle weight rating,  
24 which give you a range from coming from the manufacturer for an  
25 empty truck with no equipment, no fuel, no load, up to the point

1 that it is fully loaded, full tank of fuel and so forth. So I  
2 picked the maximum number provided by the manufacturer in terms  
3 of the range for a car that is allowed by law to be on the road.  
4 In this case, it was 26,000 pounds.

5 Q Just to clarify, the number that you put in this  
6 report, the weight that you assigned to the Freightliner, the  
7 1999 Freightliner, that was the maximum number that that weight  
8 could have been based on the specifications?

9 A Yes.

10 Q And that would include it being fully loaded?

11 A Fully loaded with all the equipment that can carry, as  
12 many people that can get in the cab, full tank of fuel,  
13 everything, that possibly can be carried by that truck.

14 Q Now turn to Page 8, please, the next category is what  
15 you entitled accident analysis, could you tell us what your  
16 accident analysis was with reference to how the accident  
17 happened?

18 A I think I already touch upon that, that this is a major  
19 step in our analysis to put a number on accident, see how severe  
20 it was, quantify the severity of the accident. As I mentioned,  
21 I had enough information to perform not one, but two analyses  
22 regarding this accident to come up with what in terms of  
23 automotive engineers or biomechanical engineers or accident  
24 reconstruction field we call change in velocity or delta-v.

25 Delta stands for Greek letter for change and V stands

1 for velocity. So change in velocity or delta-v, very briefly  
2 this is a very good indicator of severity of an accident for  
3 occupant inside of the car if the car is being hit and its  
4 velocity changes. So the weight, prior velocity, the after  
5 velocity, all those things come to the equation and at the end  
6 you will have one single number that can give you a very good  
7 understanding of the severity of the accident, especially for  
8 passenger cars. So that was the aim for this accident analysis,  
9 to come up with that number or at least have an upper bound or  
10 maximum number based on the physical evidence.

11 Could have been obtained, done two ways. The first was  
12 the crush energy analysis, which is solely based on the damage  
13 observed in those photographs. I having in mind that I did not  
14 inspect the car but I had 12 colored, good-quality photographs  
15 of the vehicle that Plaintiff was riding in at the time and they  
16 were providing the location and extent of damage to the car.  
17 And they were ample and sufficient enough to help me perform  
18 this.

19 THE COURT: And those photographs are in what we  
20 marked as Exhibit 1; is that correct?

21 THE WITNESS: I believe so. I have the photos  
22 with me, we can compare.

23 THE COURT: The packet that you put in the folder  
24 marked as Plaintiff's 1?

25 THE WITNESS: It is, you are right, your Honor.

1 So the formulas are coming from textbooks of  
2 biomechanics and accident reconstruction. What I did was I  
3 had my observation in terms of numbers being delivered as a  
4 parameter for those calculations. I had an estimated  
5 length and depth for the crush to the Plaintiff's car and  
6 the angle that they made contact and I was able to obtain  
7 some vehicle specific data in terms of crush stiffness  
8 coefficients for the Windstar that have been obtained and  
9 determined previously by other engineers, I relied upon  
10 that. So basically I applied the numbers in this formula  
11 and I ended up getting a number less than ten miles per  
12 hour in terms of the changing velocity or delta-v of the  
13 Windstar as a result of the impact with that big truck.

14 Q Let me break down some of this here. You use in your  
15 formulas a capital L, which you say indicates crush width?

16 A      **Correct.**

17 Q In terms of determining or the number that you utilize  
18 in crush width in analyzing this accident, can you explain to us  
19 what you did with that?

20 A Sure. As I said, I relied upon the photos. We have  
21 the photographs, I include three of them, not all 12. But we  
22 have 12 photographs based on the photographs you can make  
23 measurements of the photograph compared to an intact vehicle and  
24 make a decision. At this time it's very obvious that the point  
25 of impact is the left front corner of Windstar and the length of

1 damage or the contact is about 20, 25 inches. I mention that  
2 since I wasn't at the scene and I did not inspect the car  
3 myself, I just relied upon someone else's photos. I played very  
4 conservative, meaning that instead of 25 inches that I observed,  
5 I chose to go with 50, five-zero, inches of damage for the width  
6 just to exaggerate as much as possible, as much as the physical  
7 evidence allows me. So consider 25 inches on each way of that  
8 front bumper. Instead I'm just focusing on one focal point, I  
9 doubled that number to 50 inches to maximum the amount of energy  
10 that could have been delivered to the truck to the front of the  
11 Windstar.

12 Q Just so I'm clear here, the observable dent was about  
13 how wide?

14 A I would say less than 20 inches or 25 inches.

15 Q But you made that 50 inches?

16 A Yes.

17 Q And would that increase the amount of energy involved  
18 by increasing it from 20 inches to 50 inches?

19 A Absolutely, it makes it double, twice as big as 25.

20 Q So despite the fact that you could see about 20 inches,  
21 you used twice that amount, twice the amount of force in your  
22 formula?

23 MR. ROSENBERG: Objection.

24 Q Is that correct, Doctor?

25 THE COURT: He can answer the question.

1       A    That's correct.

2       Q    Fair enough.

3                 Now, you say that and your report indicates that you  
4    reached a conclusion that there was a delta force of 9.8 miles  
5    per hour?

6       A    Delta-V, yes, sir, not delta force. Sorry, just  
7    correcting you.

8       Q    Is that the outer limits, is that an average, is that a  
9    medium or what is that number?

10      A    Actually, as I said, since I was playing conservative  
11    based on this crush energy analysis, I concluded that it would  
12    be the maximum possible physical energy and delta force -- or  
13    delta-V, I'm sorry, or amount of force that has been delivered  
14    to the car. So that's not the range, that's like the upper  
15    limit of conservative, upper bound for that number. It could be  
16    less than that, just the way that the weight of the truck could  
17    have been less than 26,000 pounds, the extent of damage could  
18    have been easily less than 50 inches, although I exaggerate up  
19    to 50 inches. So by exaggerating all those numbers, stretching  
20    my imagination, I ended up getting something that could not be  
21    more than ten miles per hour.

22      Q    By doubling the crush width, wouldn't it by definition  
23    mean that this number, this 9.8 that you arrived at, this delta  
24    force, 9.8 miles per hour, would have been more than was  
25    involved in the accident?

1 MR. ROSENBERG: Objection. Again leading.

2 THE COURT: All right. Rephrase the question.

3 Q You say that this is the outer limit?

4                    A                    Yes.

5 Q What effect on that statement does the fact that, as  
6 you told us, you doubled the crush width? Explain to me how  
7 that mathematically plugs in here.

8       A     So if you look at those formulas, there is bottom of  
9     Page 8 actually is smaller version of that, assuming a uniform  
10    damage across the length and it shows that L is like sitting in  
11    the middle so and is a linear correlation between those  
12    parameters. Meaning that if I double L, the whole energy on the  
13    left side would be double. If I go half of that, it would be  
14    half. So it means that you double one parameter, the outcome  
15    would be doubled. Triple, the outcome would be triple. It's  
16    very clear in the bottom of Page 8 if you double L, instead of  
17    25 inches, to 50 inches, the energy that was transferred as a  
18    result of the impact, cause that much damage be doubled.

19 THE COURT: L is the width of the dent, so to  
20 speak?

21 THE WITNESS: That's correct.

22 THE COURT: Do you have the photographs that show  
23 the width? And show me what you mean by the width.

1                   THE COURT: I have black-and-white photocopies  
2 which really don't do me any good.

3                   THE WITNESS: Can I hand you the page of my report  
4 which is printed in color.

5                   MR. ROSENBERG: Judge, before you go on, can I  
6 just take a look? Because I have what you have.

7                   THE COURT: For the record, let the record reflect  
8 that the witness is showing the Court a copy of Page 7 of  
9 his report with color copies of the photographs shown on  
10 that for each.

11                  MR. ROSENBERG: Judge, before you even ask any  
12 questions, could I see it?

13                  THE COURT: Yes, please.

14                  MR. ROSENBERG: Thanks.

15                  THE COURT: Mr. Toosi, when you told us you  
16 measured the width, show me what you measured, what the  
17 width is in terms of these photographs.

18                  THE WITNESS: This is the best picture to describe  
19 it, your Honor. This area.

20                  THE COURT: The middle photograph?

21                  THE WITNESS: Yes. This area is less than  
22 20 inches and that's part that made contact with --

23                  THE COURT: So the width is the area of the  
24 vehicle that made contact with the other vehicle?

25                  THE WITNESS: Yes, and one other fact.

1                   THE COURT: So it's not the severity of the dent.

2                   THE WITNESS: Just the length of the contact and  
3                   then we have angle and then we have depth.

4                   THE COURT: Okay, all right.

5                   Q     Doctor, do you have an opinion held within a reasonable  
6                   degree of biomechanical certainty as to whether this accident,  
7                   the delta force was actually less than 9.8 miles per hour that  
8                   you used as the initial number in your report?

9                   A     Yes, I do.

10                  Q     And what is your opinion?

11                  A     As I listed in my report, bottom of Page 9, the result  
12                  of the crush energy analysis clearly indicated that the change  
13                  in velocity or delta-V of the Windstar could not be anything  
14                  more than 9.8 -- I rounded up to ten -- could not be more than  
15                  ten miles per hour as a result of the collision.

16                  THE COURT: Counsel, you are going to get into the  
17                  acceptance of these types of analyses?

18                  MR. GREENWALD: Well, I will, your Honor.

19                  THE COURT: Thank you.

20                  MR. GREENWALD: I will do it right now,  
21                  your Honor.

22                  THE COURT: This one in particular.

23                  Q     Is there a school of thought with reference to the  
24                  delta-V, is this an established science?

25                  THE COURT: I understand delta-V, all right, but

1           calculating delta-V from photographs, that's what I'm  
2           interested in.

3           Q     Is it generally accepted practice in the field of  
4           biomechanics to calculate the delta-V from looking at  
5           photographs?

6           A     Yes.

7                   THE COURT: One photograph, i.e., the photograph  
8                   of one of the vehicles involved in the accident.

9           Q     The photographs of the vehicle that the Plaintiff was a  
10           passenger in.

11                   THE COURT: Yes.

12           A     Yes.

13           Q     Could you explain to us?

14           A     Yes, it relies based on two scientific disciplines that  
15           they are both established and accepted. One was the  
16           calculation, as I mentioned, the crush energy analysis. If you  
17           can have the depth and length and the angle or a good reasonable  
18           estimate of that, those numbers are coming from performing  
19           photogrammetry, which simply means making measurements of the  
20           photograph; that is an established technique in field of  
21           accident reconstruction and you can find it in textbooks of  
22           accident reconstruction. And highway patrols, police officers  
23           use them every day in their techniques to understand how the  
24           accident happened and so are accident reconstructionists, so  
25           based on two --

1                   MR. ROSENBERG: Judge, he didn't do that, there is  
2 no photogrammetry here.

3                   THE COURT: You are going to have your  
4 opportunity.

5                   MR. ROSENBERG: He is testifying to something  
6 that's not even in his report.

7                   THE COURT: What are the variables that you  
8 considered in calculating the delta-V just based on the  
9 crush energy analysis? I know you just told us the width  
10 of the contact.

11                  THE WITNESS: That's correct.

12                  THE COURT: What are the other variables that went  
13 into the calculation?

14                  THE WITNESS: There are two more, one is the angle  
15 and the other one is the depth.

16                  THE COURT: The depth of what?

17                  THE WITNESS: The crush, the crush, how much that  
18 part that we are talking about, those 50 inches length of  
19 the bumper went in as a dent.

20                  THE COURT: So those are the three variables that  
21 went into your --

22                  THE WITNESS: Major parameters.

23                  THE COURT: -- crush energy analysis.

24                  THE WITNESS: Yes, sir.

25                  THE COURT: And you're going to tell us how you

1                   came up with the other two, correct?

2                   THE WITNESS: Absolutely. As I said, I did not  
3                   have a chance to inspect the car. So photographs give me a  
4                   good basis of an understanding of the location of the  
5                   accident, I'm sorry, of the crush and where the accident  
6                   happened. If you can see the major crush happening at the  
7                   left front corner of the Windstar. It's very clear from  
8                   the photographs that I have, all 12 of them; three of them  
9                   are depicted in the report.

10                  THE COURT: Those are the three best?

11                  THE WITNESS: The best, summarizing shot and then  
12                  the location, focus and also the extent of damage.

13                  THE COURT: Stop right there. Does anybody have  
14                  the blowups, if there are blowups.

15                  MR. GREENWALD: I have not made blowups yet,  
16                  your Honor.

17                  THE COURT: Do you have anything that I could have  
18                  in front of me other than --

19                  MR. GREENWALD: Other than the pictures that he  
20                  has, no, your Honor.

21                  THE WITNESS: I have the actual photographs,  
22                  your Honor.

23                  THE COURT: This one is one?

24                  THE WITNESS: That's correct.

25                  THE COURT: And this one?

1 THE WITNESS: Yes.

2 THE COURT: And those I'm assuming are the two  
3 best?

4 THE WITNESS: They are the best, showing the  
5 location because the other one showing the bumper just a  
6 little bit dislodged, not even broken. So to complete  
7 answering your questions, your Honor, the other two  
8 parameters are the angle and the depth.

9 MR. ROSENBERG: Judge, just before we go on, you  
10 asked him this question, I just want to make sure I'm  
11 clear. Is it his testimony that there is only three  
12 variables that go into delta-V?

15 MR. ROSENBERG: Correct. Is that yes, there are  
16 only three variables?

17 THE COURT: I believe that's what he said.

18 THE WITNESS: Parameters coming from the  
19 photographs yes.

20 THE COURT: So when you calculate delta-v based on  
21 a crush energy analysis, before you do that, you have to  
22 plug in the variables and there is only three that you plug  
23 in, which is the length of the contact, the angle of the  
24 contact --

25 THE WITNESS: Yes.

1                   THE COURT: -- and the stiffness of the material?  
2                   THE WITNESS: And the depth. So those are three  
3                   parameters coming from the photographs or from the  
4                   inspection. And then on top of that we have two you just  
5                   mentioned, two more parameters that they are stiffness  
6                   coefficient for that specific vehicle.

7                   THE COURT: So four variables all together.

8                   THE WITNESS: Three and two, five total.

9                   MR. GREENWALD: I was going to go through each  
10                   element of his equation.

11                   THE COURT: Go ahead.

12                   Q     Let's do it this way, what is the PDOF, what is that?

13                   A     Well, actually that's not part of the equation. But to  
14                   answer your question, it's the principal direction of force,  
15                   meaning that direction that occupant eventually is going to move  
16                   toward as a result of the impact. That's a very major component  
17                   for the analysis, but not for calculating the delta-V. It's  
18                   after, it's like a step after delta-V, when you know the  
19                   location of damage and extent of damage and the duration of  
20                   force is being established, then you can use it for the occupant  
21                   kinematics down the road.

22                   Q     I'm going to get into the other parts, but in a certain  
23                   sense, delta-V is a starting place and then you go to other  
24                   calculations, correct?

25                   A     Exactly.

1           Q     And you also have a second set of calculations and  
2 we're going to get to that too, which is momentum analysis,  
3 correct?

4           A     That's correct.

5           Q     In your crush energy analysis, in your report, you talk  
6 about a six-point damage profile equation, what is that?

7           A     Well, as I said, it's the very established methodology  
8 that when you have extended length of damage, it has been  
9 suggested and eventually accepted and now been established and  
10 being used on a daily basis to have six points across the whole  
11 length, that you can see some intrusion or deformation that  
12 observed by the inspector through the photographs or by looking  
13 at the car.

14                 But also having in mind that if those numbers are all  
15 close or what we call uniform deformation, meaning that the  
16 depth across the length is not much different, is not going in  
17 and out and is not irregular, it has been suggested that we can  
18 actually simplify that large equation to something smaller like  
19 a mathematical simplification of that big formula based on the  
20 fact that, first of all, it is not extended amount of length we  
21 are talking about. 20, 25, I push it up to 50, but we know that  
22 is not extended. More importantly, is not irregular. You look  
23 at the front corner of the -- left front corner of the car, you  
24 see that there is no area that you can point out and say look at  
25 this, we have more intrusion and formation than other parts.

1 MR. ROSENBERG: Judge, he mentioned it is  
2 suggested, who suggested it?

3 THE COURT: You could ask him that.

4 MR. ROSENBERG: Okay.

5 Q Is there something that you utilize called an  
6 equivalent barrier velocity, or I think you used another phrase  
7 in the report, kinetic energy equivalent speed?

8 A Yes.

## 9      0      What is that?

10 THE COURT: Are we going into the second analysis?

11 MR. GREENWALD: No, we are still on the first one,  
12 Judge.

13           A     It's the other page, that's the whole purpose of  
14 finding the length, the degree, the depth to put in this  
15 equation, along with the coefficient. So we have like three  
16 parameters going from the photographs or from the inspection and  
17 then we have two parameters coming from studies that have been  
18 done that the specific vehicle, in this case for a Ford  
19 Windstar, and give you what I have put in my calculations at A  
20 and B in Page 9. So those numbers are coming from studies that  
21 have been done already, mostly by federal government agencies.

22 So we have enough information not to put the numbers in  
23 this equation to come up with that energy. That energy is what  
24 we just mentioned that's equal to the amount of energy that  
25 would have been delivered to the car if it was running through a

1 barrier or through a wall instead of to another car, which is  
2 not the case and I'm getting there. But that is the first step,  
3 let's assume, that the car is being running into a wall or to a  
4 solid, rigid barrier and sustained the same amount of damage  
5 that we observed in this case.

6 So that's going to give you the number that we call  
7 equivalent barrier velocity or kinetic energy, I put down again,  
8 the amount of energy is the same. But that's not necessarily  
9 the case because we know that is between two cars and cars are  
10 having some structures that deform but goes back to normal, they  
11 are not like a solid barrier. So there is a difference. For  
12 that there is an extra step added to this, that when you  
13 calculate this energy, that's coming from the crush, then you  
14 have another multiplication, another formula that have been  
15 accepted the fact to bring to the account now here we go, now we  
16 have a car making contact with another car, another vehicle and  
17 there is some elasticity involved, meaning some part of that  
18 bumper is going in, but it's coming back. And you can't  
19 necessarily see it after the accident but you have to bring it  
20 into account that it absorbed some energy anyhow.

21 And that was the large formula on Page 9 when you  
22 multiply that equivalent barrier velocity by 1 plus E. E being  
23 an indicator of the relative elasticity of the collision, that's  
24 the car's coefficient of restitution. So you multiply that,  
25 that will give you the delta-V or change in velocity as a result

1 of that crush, which is caused by the impact.

2 Q And what are crush stiffness coefficients and how do  
3 they factor in?

4 A That's excellent question. Each car has certain  
5 structures or I should say each category of the cars, in  
6 compacts cars, versus sedans, versus SUVs, they have different  
7 structures, the weight, and equipment on those cars are  
8 different. As such, when they are involved in an accident, they  
9 sustained different type of damage. Of course it depends also  
10 on the location of damage on the car, the front stiffness of the  
11 car is different from the rear stiffness of the same car and  
12 it's different from the side stiffness of the same vehicle.

13 So when you are going to perform such an analysis, you  
14 would like to have the best number possible for that specific  
15 car that comes from the same manufacturer, same model, hopefully  
16 same year, although certain years manufacturers make the same  
17 car again and again. From 2002 to 2007, Ford Windstar was the  
18 same car being manufactured again and again with the same  
19 design, same materials. Maybe some bells and whistles change in  
20 the car, but that's what is called a sister clone. I used the  
21 sister clone number for 2002 Ford Windstar for our car, which  
22 was a 2006 Ford Windstar, so because they are in the same range  
23 and numbers are compatible. So that's the coefficient of  
24 stiffness for a car that's been part of the safety analysis, I  
25 mentioned federal agencies, why they do that, because whenever a

1 car is being manufactured, they are being tested.

2 I believe everybody has seen those car commercials  
3 where a car is being driven to a barrier or other cars with  
4 crash dummies inside and all those equipment attached to it. So  
5 those tests are being done on a daily basis to understand the  
6 safety of the vehicle during the impact, side impact, rear, you  
7 name it. So those numbers are out there because those tests  
8 have been performed by engineers and those numbers and tests are  
9 analyzed and validated so we can use those numbers for any other  
10 accident that the same car has been involved.

11 Q Now, did you make a second analysis --

12 THE COURT: Are you done here? Are you going to  
13 get into the acceptability of all these equations and  
14 whatnot?

15 Q Doctor, are the equations that you have just spoken  
16 about, are they generally accepted within the community in which  
17 you teach, in which you write, and which you work?

18 A Absolutely.

19 Q Could you explain for how long they have been accepted  
20 and what is their status?

21 A The crush energy analysis has been established last  
22 30 years. So the same basic formula of A and B for those  
23 coefficients are changing because the cars are being  
24 manufactured differently every day and they are being tested  
25 every day. But the basic formula and basic understanding

1 between the relationship, between the velocity and energy as a  
2 result of crush has been established and has not changed the  
3 last 30 years or so.

4 Q Are these formulas, these factors that we've been  
5 discussing, are they utilized by car manufacturers to build  
6 vehicles?

7 MR. ROSENBERG: Objection. Leading again.

8 THE COURT: You can answer, if you know.

9 A Yes.

10 Q Could you explain how car manufacturers use the  
11 exponents, the factors that we were discussing in the  
12 manufacture of motor vehicles?

13 A They perform independently from the federal agencies.  
14 Any car manufacturer would perform, before producing and  
15 presenting a new line of vehicle, would perform those crash  
16 tests, in, you know, in the facility of the manufacturing  
17 company. And they would come up with those numbers and  
18 understand that what are the weaknesses and strength of the car.  
19 So they are the first line actually of testing those vehicles  
20 and they are using the same type of methodology that had been  
21 used eventually by other engineers and agencies to understand.  
22 So that's very first line of testing the car, the manufacturer  
23 who makes the car tested first to understand the strong and weak  
24 points of their product.

25 Q And for how long have car manufacturers been using

1 these factors and these coefficients?

2 A As I said, the last 30, 40 years.

3 Q Doctor, did you make a second analysis, a second  
4 calculation called momentum analysis?

5 MR. ROSENBERG: Judge, just note my objection. He  
6 didn't answer your -- You had asked -- All he talked about  
7 was -- Did you ask in detail as to where this comes from?

8 MR. GREENWALD: I'm not going to respond to that,  
9 your Honor.

10 THE COURT: Good. So far you are.

11 MR. GREENWALD: Apparently he wasn't here for the  
12 last ten minutes mentally.

13 Q Could you explain to us what momentum analysis is?

14 A Yes, that was the second analysis that I performed for  
15 this case and it's based on very well-known law in physics that  
16 you can find it in almost any textbooks of physics. Laws of  
17 conservation of momentum basically means that momentum being  
18 transferred from one object to another during a collision but it  
19 doesn't fade away, it doesn't go away, it doesn't disappear, it  
20 just transferred just like energy. So it's like a different  
21 form of momentum being transferred but it doesn't die, doesn't  
22 go away. Based on that principle that has been accepted  
23 forever, engineers are able to calculate the change in velocity  
24 as a result of the impact knowing that the transfer of the  
25 momentum from one vehicle to another one would cause change in

1 velocity of the second vehicle. So if you have a good  
2 understanding or estimated weight of either vehicle and a good  
3 understanding of the velocity of the vehicles at the time of  
4 impact, or at least the difference between the velocity of two  
5 objects at the time of impact, then you can easily calculate the  
6 change in velocity of both objects as a result of that  
7 condition.

8 Q There was once a man named Isaac Newton, correct, sir?

9 A Absolutely.

10 Q And we have something today called Newtonian physics?

11 A Yes.

12 Q Could you explain how Newtonian physics is a part of  
13 momentum analysis?

14 A Well, it's exactly combination of the three laws of  
15 physics or Newton's laws of physics. That, first of all, an  
16 object that is stationary would remain stationary, wouldn't  
17 change velocity unless there is an impact or otherwise. The  
18 moving object would continue to move at the same velocity unless  
19 there is an impact or there is an extent of force, that's the  
20 first law.

21 The second one tells us how to calculate that force.

22 So force is equal to mass times acceleration. And acceleration  
23 is change in velocity divided by time.

24 And eventually and finally, the last one, the third one  
25 tells us that there is equal but opposite reaction for any

1 action. So it means that the same amount of force that was  
2 delivered to one vehicle is equal to the amount of force  
3 delivered to the other one. You combine all those, you come up  
4 with these formulas that I put in here and use them by  
5 estimating the mass or weight of the vehicles and their velocity  
6 or more importantly the difference between their velocity, what  
7 we call closing velocity.

8           Because if two objects are moving or at least one of  
9 them is moving and you don't know the exact velocity of that  
10 object, the most important part for this formula is to  
11 understand how fast they were moving relative to each other,  
12 with respect to each other. If they are both moving, one at 15,  
13 the other one at ten, and if they make contact, the closing  
14 velocity is only going to be five miles per hour, the difference  
15 between 15 and ten. It's not from the one from behind is  
16 running at 15 miles per hour, if the one in front is moving at  
17 ten, they only make contact at five miles per hour. That's very  
18 important, that's closing velocity, and that's what I use in  
19 this case and for this momentum analysis.

20           Based on the fact that we know that the Ford Windstar  
21 was equipped with frontal air bag and none deployed in the car  
22 as a result of the impact. As such, it could not have been  
23 traveling or the closing velocity could not have been more than  
24 ten miles per hour as a result of the impact. So that was used  
25 along with the exaggerated weight of the truck at 26,000 pounds

1 and estimated weight of the Windstar to calculate the change in  
2 velocity for both vehicles.

3 Q What was the conclusion of your momentum analysis with  
4 reference to this case?

5 A In terms of the change in velocity of the Ford  
6 Windstar, I came up with number 9.6 miles per hour, close to 9.8  
7 that I obtained from crush energy. Both put it at under ten  
8 miles per hour so they were completely consistent.

9 Q And are you familiar with the specifications for the  
10 2002 Windstar with reference to the deployment of the frontal  
11 air bags?

12 A Yes, according to the manufacturer.

13 MR. ROSENBERG: Again, Judge, objection, it's not  
14 in his report.

15 THE COURT: But again you could testify.

16 A Based on the information I obtained coming from the  
17 manufacturer, that carrier, make, model, was equipped with  
18 frontal air bag, so that's a fact coming from company who make  
19 the car.

20 Q And under what conditions would those frontal air bags  
21 deploy according to the specifications of the vehicle?

22 A It happens at something between nine to 15 miles per  
23 hour and there is a range because of the discrepancy between the  
24 weight of the object that is making contact. In this case, I  
25 should pick actually nine miles per hour because of the

1 significant difference between the weight of the Windstar and  
2 the weight of the truck, so I should pick actually the bottom  
3 line or the minimum amount of velocity required to cause air bag  
4 deployment, but I match it up by one mile again trying to do as  
5 much as the physical evidence allows me. Even though he is  
6 making contact with a 26,000-pound vehicle, instead of nine, I  
7 chose ten and the numbers are still consistent, that's the basis  
8 for that. So again, it's a range, it could be anything between  
9 nine and 15, but closer to the bottom line just because this  
10 vehicle is much lighter than the truck.

11 Q Based upon your review of deposition testimony and  
12 trial transcripts, did air bags deploy in the Windstar in this  
13 accident?

14 A No, it did not.

15 Q And what does that tell you with reference to the  
16 calculations that you've made and the results that you reached?

17 A As I explained --

18 MR. ROSENBERG: Same objection.

19 THE COURT: You could answer.

20 A As I explained, it gives me the understanding that the  
21 change -- I'm sorry, the closing velocity for the impact could  
22 not be more than ten miles per hour.

23 Q Now there is a portion of your report that talks about  
24 occupant kinematics -- kinematics?

25 A Kinematics.

1           Q     What is occupant kinematics?

2           A     It's a scientific term or technical jargon, it simply  
3 means that occupants inside the car move around as a result of  
4 the impact. So it talks about motions and forces associated  
5 with an impact and a collision happening to the occupants,  
6 that's the definition of occupant kinematics. As I said, based  
7 on understanding of the severity of accident and determining  
8 that PDOF, that relation earlier, principal direction of force,  
9 we move on to understand the motion of the occupant inside the  
10 car as a result of the impact. The car was impacted at the left  
11 front corner, I'm talking about the Ford Windstar. As a result,  
12 the forward velocity of the Windstar dropped and decreased  
13 immediately because of the impact. By how much? By  
14 approximately ten miles per hour. Based on what? Based on the  
15 analysis that we have already done. So we know that they are  
16 making contact at the left front corner and the damage and all  
17 of these numbers, they were approximately a maximum of ten miles  
18 per hour.

19                   So when the velocity of a car or a vehicle decreased as  
20 a result of impact, for a fraction of second, for a split of  
21 second, for a very short period of time, the occupant inside the  
22 car continued to move in the same direction that the car was  
23 moving before the impact. So they are all moving forward until  
24 the car makes contact. For that fraction of second, they  
25 continue to move forward. So it caused some sort of like

1 relative -- there is discrepancy. So the car is slowed down but  
2 they are moving forward. It creates some relative motion of the  
3 occupants inside the car, that is again called occupant  
4 kinematics.

5 In this case, we know that Plaintiff was wearing her  
6 three-point seat belt as a passenger in the car, which means  
7 that even though her body is moving forward and slightly to the  
8 left toward the point of impact, that's PDOF, left front corner  
9 is being contacted, everybody in the car move in that direction.  
10 Mainly forward but also at the same time there is a component to  
11 the left.

12 The overall motion is being reduced by the friction  
13 between the body and the seat, but more importantly is going to  
14 be eventually arrested by the three-point seat belt which  
15 consisted of a shoulder harness and a lap belt. Meaning that  
16 the torso is being held by the shoulder harness and the lower  
17 body, lower extremities, hips and anything beyond would be  
18 stopped by the lap belt from moving forward significantly.

19 It is usually about an inch or two slack to the seat  
20 belt before it locks. It's like common knowledge, everybody in  
21 a car has experienced that. You suddenly move, you feel like  
22 you move about an inch, then you are being held, stopped in  
23 place. The body could have been moved by an inch or so forward,  
24 that being stopped by the shoulder harness and lap belt  
25 combined. By then body goes back and her torso makes contact

1 and shoulders make contact with the seat back and the head being  
2 stopped by the headrest and that would be end of the motion.

3 Q Let me stop you one moment.

4 A Please.

5 Q The force going back relative to the force going  
6 forward, could you discuss that, please?

7 A Sure. It has been studied and determined that force of  
8 the primary impact for contact usually accompanied by about  
9 two-thirds of kinetic energy or moving energy. So if you are  
10 moving forward at about ten or nine miles per hour, when you are  
11 coming back, two-thirds of that energy is already gone because  
12 of that movement, sudden movement, being stopped by the seat  
13 belt and so forth. Then there is about one third, like 30,  
14 40 percent of that energy left that will help you, the body goes  
15 back. So if you are talking about like going forward at 9,  
16 10 miles per hour, going backward would be about three,  
17 3.5 miles per hour.

18 Q Again to interrupt you for a moment, Doctor, when you  
19 go back, when her body went back into the seat, is that seat  
20 padded?

21 A The seat is padded and it has a frame and it's part of  
22 safety design to absorb the remainder of the energy through the  
23 padding and through the frame, that's why you come to stop at  
24 that point and going back to basically very close to what you or  
25 your body or the occupant's body were seated to begin with, the

1 original seated position. So going back, forward and backward  
2 and going back almost to approximate seated position where you  
3 start.

4 Q Now you use a phrase in your report, you use a word  
5 hyperflexion, what is hyperflexion?

6 A Flexion is a term meaning bending. Any part of body  
7 that bends, we can use the term flexion. If it's being flexed  
8 beyond what to tolerate, then it would be termed hyperflexion.  
9 The opposite would be extension in the different direction, so  
10 that would be bending at the opposite of flexion would be  
11 extension, bending in the other direction. Again there is a  
12 term hyperextension which means bending or extending beyond the  
13 natural physiological range of motion that the body can tolerate  
14 in a regular situation.

15 Q You pre-staged my next question. My next question was  
16 you used a phrase in your report physiological limits, what does  
17 physiological limits mean?

18 A That's activities of daily living, that's what we do  
19 every day without hurting ourselves, that's the limit of our  
20 activities. We can push ourselves a little bit, going to the  
21 gym, weight-lift or do something like that. Sports are good  
22 examples of, you know, trying to extend the limit. But certain  
23 point, body cannot tolerate any more motion, any more bending,  
24 any more forces. So up to that limit, it's being called  
25 physiological range of motion.

1 Q Doctor, do you have an opinion based upon your review  
2 of this case, within a reasonable degree of biomechanical  
3 certainty, as to whether the forces that were exerted upon the  
4 Plaintiff in this accident would have caused her to exceed her  
5 physiological limits?

6 A I do.

7 MR. ROSENBERG: Objection.

## 10 Q What's your opinion?

11 A My opinion is that this accident did not provide the  
12 mechanism or forces to cause movement of the body beyond  
13 physiological range of motion.

14 Q Doctor, do you have an opinion based upon your review  
15 of this case, held within a reasonable degree of biomechanical  
16 certainty, as to whether the Plaintiff would have experienced  
17 hyperflexion of her cervical or lumbar spine in this accident?

18 MR. ROSENBERG: Objection.

19 THE COURT: You can answer the question.

20 A I have an opinion and I believe that she did not  
21 experience hyperflexion of cervical or lumbar spine as a result  
22 of impact.

23 Q Can you give us the basis for those two opinions,  
24 Doctor?

25 || A Yes. We started gathering facts, reviewing materials

1 and performing analysis, and understand how much force was  
2 exerted to her body and in which direction she was moving, how  
3 severe the accident was and the limits of her motions. And also  
4 understanding which parts of body we are dealing with; as I  
5 mentioned, that's why I review medical records. When you put  
6 all those things together, there was no indication that she  
7 could have, based on the amount of force, could have gone beyond  
8 physiological range of motion. And as such, she did not  
9 experience the mechanism required to cause disk herniation in  
10 the cervical and lumbar spine. So the force was not there and  
11 the mechanism did not exist. So those are two legs of  
12 understanding what injury can happen as a result of an accident.

13 Q Doctor, based upon your review of this case, do you  
14 have an opinion held within a reasonable degree of biomechanical  
15 certainty as to whether Plaintiff Nancia Myers was caused to  
16 sustain the injuries that you've listed here that were gleaned  
17 from her Bill of Particulars?

18 MR. ROSENBERG: Objection.

19 THE COURT: You can answer the question.

20 A Yes, I do.

21 Q And what is your opinion, Doctor?

22 A In the absence of significant amount of force and the  
23 absence of the mechanism required to cause cervical and lumbar  
24 disk herniations, my opinion, with a reasonable degree of  
25 biomechanical certainty, is that the accident did not provide

1 either force or mechanism to create those claimed injuries in  
2 this accident.

3 Q Doctor, do you have an opinion based upon your review  
4 of this case, held within a reasonable degree of biomechanical  
5 certainty, as to whether the Plaintiff could have sustained an  
6 aggravation or exacerbation of preexisting herniations or  
7 bulging disks or spinal disk pathology as a result of the forces  
8 on her that were caused to happen in this accident?

9 MR. ROSENBERG: Objection.

10 THE COURT: All right. Overruled.

11 You can answer the question.

12 A Yes, I do.

13 Q And what's your opinion, Doctor?

14 A This accident did not provide again force and mechanism  
15 to even exaggerate the preexisting condition that she was  
16 suffering at the time of the accident.

17 Q And again, what's the basis for that opinion?

18 MR. ROSENBERG: Same objection.

19 THE COURT: You could answer.

20 A Again we talk about the forces, we talked about the  
21 direction of movement, we talked about seat belt and seat back.  
22 You have to draw a big picture, not just one single factor, not  
23 one parameter. Put them all together along with the findings  
24 coming from the medical doctors. Not my findings, those are  
25 coming from medical doctors, board-certified radiologists,

1       surgeons, you put them all together, there was no indication  
2       that anything posttraumatic and acute happened to her cervical  
3       and lumbar spine. As such, even exaggeration would not be  
4       related to this accident.

5                    MR. GREENWALD: I have nothing further.

6                    THE COURT: I have some questions but I'll let you  
7        go first.

8                    MR. ROSENBERG: Before I go, Judge, I would like  
9        to look at his material.

10                  THE COURT: Fair enough.

11                  (Whereupon, a brief recess was taken.)

12                  CROSS-EXAMINATION

13                  BY MR. ROSENBERG:

14                  Q        Good morning, sir.

15                  A        Good morning.

16                  Q        Sir, before I even begin, how much are you being paid  
17        to come into court today?

18                  A        \$300 an hour.

19                  Q        Okay. And when did you get here?

20                  A        I'm sorry?

21                  Q        When did you arrive?

22                  A        This morning.

23                  Q        Okay. And you came from Pittsburgh this morning?

24                  A        Actually I'm coming from Boston.

25                  Q        I'm sorry?

1 A From Boston.

2 Q You came from Boston this morning?

3 A Yes.

4 Q How did you get from Boston to here?

5 A I flew.

6 Q Okay. So when did your clock started running, when did  
7 it start running for this case?

8 A When I get to the airport.

9 Q In Boston?

10 A Yes.

11 Q Okay. What time did you get to the airport in Boston?

12 MR. GREENWALD: Your Honor, if I may, we're not in  
13 front of a jury.

14 THE COURT: He's still allowed to, I guess,  
15 impeach him.

16 MR. GREENWALD: You know what, if this was the way  
17 it was going to go, I would have done this on direct as I  
18 always do in front of a jury.

19 THE COURT: Let him answer the question.

20 A 6:30.

21 MR. GREENWALD: It doesn't seem to be part of the  
22 Frye hearing, your Honor.

23 THE COURT: What, credibility and all that stuff?

24 MR. GREENWALD: Yeah. And I read a bunch of  
25 transcripts over the last few days of Frye hearings and no

1           one did that. This is the first I've seen it.

2           THE COURT: It's unique to Mr. Rosenberg.

3           Q     Yesterday were you in Boston or were you in Pittsburgh?

4           A     I was in Boston.

5           Q     Okay. When did you start, if at all, reviewing this  
6 file for the testimony that you are giving so far?

7           A     I think about two weeks ago.

8           Q     Okay. So two weeks ago, when you started reviewing,  
9 how many hours did you put in to review from that point in time  
10 until today?

11          A     I don't have it in mind but I have a log that I keep.

12          Q     Give us an approximation.

13          A     Maybe five, six hours for preparation.

14          Q     I'm sorry?

15          A     For preparation, five, six hours, maybe a little bit  
16 more, less.

17          Q     Okay. And just so I'm clear, every single thing that  
18 you relied upon are in those two binders, correct?

19          A     That's correct.

20          Q     There is no other records, there is no other papers or  
21 anything to do with this case anywhere else other than in those  
22 two binders, correct?

23          A     In one binder that I have all the articles that are  
24 here, but there are a couple of textbooks that I couldn't bring  
25 with me. So there may be two textbooks that we are missing. I

1       brought two of them, there are two more that just didn't fit in  
2       my book bag.

3       Q       Two textbooks?

4       A       Two textbooks.

5       Q       What are the names of those two textbooks, the ones  
6       that you did not bring? What are you looking at, Doctor?

7       A       The list of my references at the end of my report to  
8       see what I have not brought with me. I think number 11 is not  
9       here and also number --

10      Q       Are you telling this Court that footnote number 11 is a  
11       textbook?

12      A       Yes, is a manual. And also number 37, which is a  
13       relatively thick book.

14      Q       And that's it?

15      A       That's it.

16      Q       Everything else is here?

17      A       I believe so.

18      Q       Okay. When you say you believe so, do you want to take  
19       a minute and make sure?

20      A       No, I think that's it.

21      Q       Doctor, are your billing records here?

22      A       My billing?

23      Q       Your billing?

24      A       No.

25      Q       Then you didn't bring everything, correct?

1           A    You asked me something that I have listed in my report.

2           Q    No, that's not what I asked you.

3                    MR. GREENWALD: Objection. That's exactly what  
4                    you asked him.

5                    THE COURT: All right, billing records not here.

6                    Next question.

7            Q    Are there any other records relative to this case that  
8            are not here, other than your billing records?

9                    MR. GREENWALD: Objection, your Honor. His  
10                    billing records don't have anything to do with his  
11                    analysis.

12                    THE COURT: Let him ask the question.

13                    You can answer the question.

14            A    I may have some notes. My laptop is not here. I have  
15                    notes that I've written by hands perhaps that I'm not even sure.  
16                    But I brought everything that is related to my analysis, yes.

17            Q    Okay. Did you have a conversation with Mr. Greenwald  
18                    or someone from his office about this case at any time from the  
19                    day you were retained until today?

20            A    Yes.

21            Q    Okay, did they send you information?

22            A    Yes.

23            Q    What information did they send you?

24            A    All the information that I have reviewed for my report  
25                    plus some testimonies from the trial, I believe, that was

1 delivered after the report and they are not listed in my report.

2 Q Oh, okay. And can you take out those supplements for  
3 the trial testimony, please.

4 A The supplements that was provided after?

5 Q Can you take out the testimony that you looked at from  
6 the trial?

7 A That is something I don't have with it with me.

8 Q So you didn't bring them either?

9 A Because they were not part of my report.

10 Q I understand that, but did you look at them?

11 A I did.

12 Q Did you use that to prepare yourself for this today,  
13 today's testimony?

14 A Not preparation. Preparation was solely for my report  
15 and they were not part of my report. I didn't review them  
16 before providing the report.

17 Q So did you look at them?

18 A I did.

19 Q So you looked at them for pleasure and not for this  
20 report?

21 MR. GREENWALD: Objection to the form, your Honor.

22 THE COURT: Counsel, I think he made it clear, and  
23 he'll correct me if I'm wrong, that all the materials  
24 pertaining to this case that he looked at are in the folder  
25 which has been received in evidence.

1                   THE WITNESS: Up to the point that report was  
2 provided, your Honor.

3                   THE COURT: Yes, up until the point that the  
4 report was provided.

5                   Q Doctor, the testimony that you've given so far today,  
6 did you base any of that on the trial testimony?

7                   A No.

8                   Q Just so I'm clear, so nothing of your testimony today  
9 was based upon the trial testimony, correct?

10                  A That is correct.

11                  Q Okay. Doctor, tell us the articles that you  
12 participated in writing, can you tell us how many of them were  
13 with respect to motor vehicle accidents?

14                  A The peer-reviewed articles --

15                  Q Doctor, you have six articles that you wrote, correct?

16                  A That's correct.

17                  Q Tell us which of those six articles involves motor  
18 vehicles.

19                  A One of them.

20                  Q And that one article that you have, Doctor, you would  
21 agree with me that that one article is when you were a student,  
22 correct?

23                  A That's not correct.

24                  Q Well, Doctor, when did you write that one article on  
25 motor vehicles?

1       A     You are talking about when it was published. I'm  
2 talking about when I performed that. I performed that analysis  
3 and was part of the report for that paper when I was working for  
4 Exponent back in Philadelphia. And when I left eventually they  
5 went on to publish that. At that point, I was a fellow at the  
6 University of Pittsburgh, also working on my Ph.D., as a Ph.D.  
7 candidate.

8       Q     Doctor, yes or no, were you a student at the time you  
9 participated in the one motor vehicle accident article that  
10 you --

11            MR. GREENWALD: Objection. It's not a yes-or-no  
12 question, as Mr. Rosenberg knows. He is working, he was a  
13 student, you know. One good turn deserves another.

14            THE COURT: The bottom line is when you wrote the  
15 article, you were still going through your Ph.D., correct?

16            THE WITNESS: Yes.

17            THE COURT: Thank you.

18        Q     Doctor, you would agree with me that that one article  
19 on motor vehicles that you participated in, you weren't the  
20 primary author in that, correct?

21        A     When it was published, that's correct. Actually, I was  
22 the lead author --

23        Q     You answered my question.

24            THE COURT: All right.

25        Q     Doctor, you would agree with me that that one article

1 that you wrote dealing with motor vehicle accidents, you would  
2 agree with me that that didn't involve the spine, correct?

3 A That is correct.

4 Q It involved upper extremities, correct?

5 A Correct.

6 Q So you would agree with me that you have never written  
7 an article that's been published, okay, dealing with the spine,  
8 correct?

9 A That is correct.

10 Q Doctor, you would also agree with me that the  
11 presentations that you've given -- How many presentations have  
12 you given? Let's list it in the CV.

13 A I have to count them, just like what you do. I think  
14 about 15 or 16.

15 Q And can you point to us out of those 15 or 16, out of  
16 16 presentations, can you tell us which ones involve motor  
17 vehicle accidents and the spine?

18 A I don't believe any of them.

19 Q Okay. So you would agree with me that all the  
20 presentations you've given, that of course are listed on your  
21 CV --

22 A Right.

23 Q -- and the articles that you published, not one of them  
24 involves the spine and a motor vehicle accident, correct?

25 A That's correct.

1       Q     Doctor, I want to skip to something, if I can,  
2 regarding the occupant of the vehicle. In this case, the  
3 Plaintiff.

4       A     Sure.

5       Q     Doctor, did you inspect the vehicle?

6       A     I did not.

7       Q     Did you go to the scene?

8       A     No.

9       Q     Did you look at an exemplar of the 2002 Windstar?

10      A     No.

11      Q     Did you look at the 1999 Freightliner?

12      A     No.

13      Q     Did you ever look at the damage estimates for the  
14 Windstar?

15      A     I don't believe so. I don't have it with me so I don't  
16 think so.

17      Q     Would you agree with me you didn't look at any damage  
18 estimates also for the Freightliner, correct?

19      A     Yes.

20      Q     Okay. So Doctor, seat belts, they have been known to  
21 fail, yes or no?

22      A     Yes.

23      Q     In this case, do you know whether or not that seat belt  
24 failed?

25      A     I have no information indicating that it failed.

1 Q Well, Doctor, you're aware that in this case that the  
2 Plaintiff's body came in contact with the dashboard, correct?

3 || A That's their testimony.

4 Q Correct, that's their testimony. What I would like you  
5 to do now is point to the testimony that says that that didn't  
6 happen?

## 7 A What didn't happen?

8 Q That she didn't hit the dashboard.

9 A I'm not sure I understand your question.

10 Q Doctor, is there any testimony that you've read that  
11 she did not come into contact with the dashboard?

12 A No, just her testimony.

13 Q I'm sorry?

14 A Just her testimony saying that she did.

15 Q Okay. So Doctor, would you have reason to disagree  
16 with her testimony, do you have anything to base that on?

17 A If she was belted, which is also her testimony, it's  
18 not consistent with the function of the seat belt to allow you,  
19 the front passenger, to go that far as a result of ten miles per  
20 hour car accident to make contact with dashboard.

21 Q Well, Doctor, you would agree with me that if the seat  
22 belt didn't function properly, then she would, correct?

23 MR. GREENWALD: Your Honor, there is absolutely no  
24 evidence, Mr. Rosenberg is fully aware, that the seat belt  
25 malfunctioned. This is a specious cross-examination.

1                   THE COURT: You could answer the question.

2                   Go ahead, answer the question.

3                   MR. ROSENBERG: I did.

4                   THE COURT: Not you, Mr. Rosenberg. You asked the  
5 question.

6                   MR. ROSENBERG: I thought you said you could ask.  
7 I'm sorry.

8                   A      Can you repeat the question?

9                   THE COURT: Repeat the question.

10                  MR. ROSENBERG: Could she read it back?

11                  THE COURT: Yes.

12                  (Whereupon, the referred to portion of the  
13 record was read back by the Reporter.)

14                  MR. ROSENBERG: I'll rephrase.

15                  Q      Doctor, you would agree with me that if she hit the  
16 dashboard, then her seat belt didn't function properly, correct?

17                  MR. GREENWALD: Objection to the form, your Honor.  
18                  And again there is no testimony to support this,  
19                  Mr. Rosenberg is fully aware.

20                  THE COURT: Doctor, assuming she hit the dashboard  
21 and assuming she was subject to the forces that you came up  
22 with, would that lead you to the conclusion that the seat  
23 belt was not operating properly?

24                  THE WITNESS: What does it mean "operating  
25 properly," your Honor?

1                   THE COURT: Would she have been allowed to hit the  
2 dashboard if the seat belt was operating properly?

3                   THE WITNESS: If it was operating properly, she  
4 wouldn't make contact with the dashboard.

5                   MR. GREENWALD: Your Honor, may I add it also is  
6 possible that she wasn't telling the truth that she hit the  
7 dashboard, that's also a possibility that wasn't  
8 encompassed in his question.

9                   Q     Doctor, would you agree with me that if her body hit  
10 the door, the passenger's side door of the vehicle, her seat  
11 belt wouldn't have been functioning properly, correct or not?

12                   MR. GREENWALD: Objection to the form.

13                   A     That's not correct.

14                   MR. GREENWALD: It sounded like a conundrum.

15                   Q     Doctor, is it your testimony then that -- You know  
16 what, strike that.

17                   Doctor was the testimony at all about the Plaintiff,  
18 Nancia Myers, coming into contact with the side, with the  
19 passenger door?

20                   A     I can check and answer you in a second.

21                   Q     Sure.

22                   A     That's her testimony, her right shoulder came in  
23 contact with the door.

24                   Q     Okay. So you would agree with me that nowhere in your  
25 report did you make note that she hit the dashboard and hit the

1 passenger door, correct?

2 A I have to check but I believe I did not.

3 Q Okay. And you left that out of your report because of  
4 why?

5 A While she may have made contact with the door, and I  
6 don't disagree with that, so it is possible to be belted in an  
7 up-front collision like this, going forward and to the left,  
8 being stopped by the belt, come back and to the right, there  
9 could be some touch on the right-hand side. So it is possible,  
10 first of all, so it's not impossible.

11 Q You would agree with me that that's what she testified  
12 to, correct?

13 A Again, I don't disagree with that testimony, that's  
14 what I'm saying.

15 Q Doctor, do you know how she was seated in the vehicle  
16 at the moment of impact?

17 A What do you mean how?

18 Q How was she seated? Was she seated straight ahead, was  
19 she seated to the left, to the right or some other direction?  
20 How was she positioned?

21 A Again I have to check her testimony.

22 Q Sure, go ahead.

23 A I believe she didn't testify to what or how she was  
24 seated.

25 Q And Doctor, you would agree with me that that wouldn't

1 matter, how she was positioned in the vehicle in terms of your  
2 analysis, correct?

3 A Generally speaking, the overall direction of movement  
4 of her body, no, it wouldn't make a difference.

5 Q So just so I'm clear, it's your testimony it wouldn't  
6 matter if she was turned to the left, turned to the right,  
7 bending down, it wouldn't make a difference in your analysis,  
8 correct?

9 A Again, generally speaking for the overall duration of  
10 movement of her body, it wouldn't matter that she went to the  
11 right or left or bent forward. I'm talking about the whole body  
12 movement which I explained in occupant kinematics.

13 Q I'm not asking generally. With respect to this case --

14 A Same case.

15 Q -- would it matter, okay, if she were turned to the  
16 left, sitting sideways on the seat, would that matter?

17 A Not really.

18 Q Doctor, would it matter how much she weighed?

19 A It does.

20 Q Okay. And that's important to know, right?

21 A That's right.

22 Q Does it matter what the weight of the driver of the  
23 vehicle is?

24 A Again.

25 Q Yes or no, Doctor?

1                   MR. GREENWALD: In what respect? In what respect,  
2 your Honor, does it matter? In what respect?

3                   THE COURT: Counsel, you can have your right to  
4 redirect, all right. Let him ask his questions.

5                   MR. GREENWALD: Your Honor, I object to the  
6 question, it's meaningless.

7                   THE COURT: Can I see both of you up here very  
8 quickly.

9                   (Whereupon, an off-the-record discussion was held  
10 at the bench.)

11                  Q     Doctor, can you take out of your reference book any  
12 article or any authoritative document that you brought with you  
13 to support the fact that a position of a passenger in a vehicle  
14 doesn't factor into a biomechanical analysis? Please tell us  
15 what reference you use.

16                  MR. GREENWALD: Objection to the question,  
17 your Honor.

18                  THE COURT: All right. If he could answer the  
19 question, he could answer it. If he can't, he can't, he'll  
20 let us know.

21                  A     Actually, that's what I was trying to say, your Honor.  
22 I am not clear about the purpose of this question, it's a little  
23 compound. Can you rephrase it for me, please? What do you mean  
24 one article or articles to show that? What are you looking for,  
25 what type of scientific findings you are referring to?

1       Q     Doctor, I want you to point out any article that you  
2 have brought with you that says that the position of a person  
3 involved in a motor vehicle accident doesn't play out in terms  
4 of biomechanics in analysis?

5       A     Well, the first of all, this is not my testimony. I  
6 explained that generally it doesn't matter but it's not  
7 completely out of question where the person is seated. So --

8       Q     This is why I'm asking --

9       A     I answered three times that general movements of the  
10 person wouldn't be affected.

11                   THE COURT: Obviously we know where the person is  
12 seated, I would assume that comes into play. How the  
13 person is seated, whether they are turned one way or the  
14 other or bending forward, I mean those are the three  
15 possibilities, all right.

16                   MR. ROSENBERG: That's why I'm asking him that.

17                   MR. GREENWALD: Your Honor, why do we have to  
18 depend on possibilities? We have testimony and what she  
19 said was -- So why don't we use the actual evidence as  
20 opposed to just heckling him and, you know, creating these  
21 conundrums? That's all this is about.

22                   THE COURT: Gentleman, let's get through this. I  
23 assume you are coming back after lunch, right? Go.

24       Q     Doctor, can you please take out the authority that you  
25 have regarding seat belts and their play of one to two inches

1 that you testified to. Tell us what article you brought with  
2 you that substantiates that.

3 THE COURT: Do you have anything in the packet  
4 that's been marked into evidence to show that seat belts  
5 have a give of approximately one to two inches?

6 THE WITNESS: No, that was common knowledge as an  
7 engineer in the field of automotive engineers, no. It's a  
8 common knowledge. It's not like one article talking about  
9 slack, there might be, but it wasn't something significant  
10 in my analysis.

11 Q So you don't have it, correct?

12 A I don't have it with me, no.

13 Q Doctor, can you take out the pictures of damage to the  
14 truck?

15 A The truck?

16 Q Yeah, the truck.

17 THE COURT: We know there is no pictures of the  
18 truck.

19 A I don't have that.

20 MR. GREENWALD: Your Honor.

21 Q Were you aware that pictures were taken of the truck?

22 MR. GREENWALD: Your Honor, I object to this.

23 This is beyond the parameters of this hearing, as

24 Mr. Rosenberg is fully aware. This is not

25 cross-examination at a Frye hearing, this is heckling,

1                   that's all it is, he is heckling.

2                   THE COURT: You don't want to get through this  
3 either, I assume.

4                   Are you aware that there were photographs of the  
5 truck?

6                   THE WITNESS: I don't even know, your Honor.

7                   Q     Doctor, would pictures of the truck, the other vehicle  
8 involved in the accident, be helpful for your analysis?

9                   A     Yes, it would be.

10                  Q     Okay. Doctor, at the point of impact, what part of the  
11 truck was involved in the accident?

12                  A     My understanding is the right rear passenger's side of  
13 the truck.

14                  Q     And would you agree with me that at the points of  
15 impact, the vehicle went under the truck?

16                  A     It's possible.

17                  Q     Well, I'm asking you, are you aware of that?

18                  A     Not for sure because I didn't see the photographs of  
19 the truck so I'm not sure.

20                  Q     Did you read the testimony?

21                  A     That's the testimony.

22                  Q     Did anybody else make you aware that there was an  
23 overlap or an underlap, the vehicle went under the truck?

24                  MR. GREENWALD: Objection to the form. The  
25 vehicle didn't go under the truck. The headlight went into

1                   the wheel well. What's "under the truck" mean?

2                   THE COURT: You know what, gentlemen --

3                   Do you really think it's appropriate to just keep  
4                   yelling out, Mr. Greenwald?

5                   MR. GREENWALD: No, your Honor. I don't think  
6                   it's appropriate for him the way he is conducting himself.

7                   THE COURT: Try to deal with it. Just remember  
8                   that I know what I'm looking for. Don't worry about it,  
9                   Mr. Greenwald, I know what I'm looking for. Just let him  
10                  ask these questions.

11                  Q        What angle did this accident happen at vis-a-vis the  
12                  car, what angle was it at?

13                  A        It was happening at something between 30 to 45 degrees.  
14                  I aim for 45 degrees to maximize the amount of energy.

15                  Q        And you know that because of what?

16                  A        Because of the location of the contact, you look at the  
17                  left front corner of the Windstar, that's where they made  
18                  contact. It's not a flat area.

19                  Q        Doctor, you would agree with me there was no testimony  
20                  as to the angle, correct?

21                  A        That's correct.

22                  Q        There was no testimony as to what part of the Windstar  
23                  came in contact with what part of the truck, correct?

24                  A        That's correct, but testimonies are just part --

25                  Q        Yes or no, there was no testimony to that?

1       A    I said yes, that's correct.

2       Q    Doctor, would you also agree with me that there was  
3 damage along the driver's side of that vehicle?

4       A    Where?

5       Q    Take a look at your pictures.

6       A    You show me where you see the damage.

7       Q    Doctor, before you ask me a question, why don't you  
8 look at the pictures.

9                    MR. GREENWALD: Objection to the form. It's a  
10                   fact in controversy now.

11                  THE COURT: Do you see any damage to the driver's  
12                  side of the Windstar in the photographs that you have?

13                  THE WITNESS: Besides that left front corner, I  
14                  can see scratches but it's no deformation, your Honor.

15                  MR. ROSENBERG: I didn't ask for deformation.

16                  THE COURT: You see scratches?

17                  THE WITNESS: Scratches, yes, I see.

18        Q    What's the length of those scratches along the driver's  
19        side? Tell us how far it went, what's the length?

20        A    About 60 inches or so.

21        Q    Sixty inches?

22        A    Yes.

23        Q    Doctor, you would agree with me that 60 inches of the  
24        left side of the vehicle were involved in this accident as well,  
25        correct?

1       A    No, I don't agree with that.

2       Q    So then it's your testimony that you are ignoring that,  
3 correct?

4                    MR. GREENWALD: Or it existed before.

5                    THE COURT: Well, do we know if it existed before.

6                    All right.

7                    So you didn't take that into consideration, right?

8                    THE WITNESS: No.

9                    THE COURT: The scratch on the side, all right.

10                  THE WITNESS: Yes, I did not.

11                  THE COURT: All right.

12        Q    The scratches on the side, Doctor, what was the width  
13 of that scratch as well? You told us the length, tell us the  
14 width.

15        A    Half an inch, maybe less. I'm just looking at -- I  
16 never measured them the way I measured the front, so just like  
17 estimation.

18        Q    You measured the front damage?

19        A    From the photographs, yes.

20        Q    When you measured it, did you use a yardstick?

21        A    No.

22        Q    How did you measure it?

23        A    On the photographs.

24        Q    You measured it on the photographs?

25        A    Yes.

1 Q Can you show us those calculations on your  
2 measurements?

3           A     No, I said that I don't have my notes or my laptop with  
4 me.

5 Q So you knew you were coming today and you knew you were  
6 going to be asked about your calculations of your measurements  
7 and you didn't bring that with you?

8 || A No, I wasn't asked.

10 A No. Actually, I did everybody a favor to bring them, I  
11 didn't have to.

12 THE COURT: All right. Doctor, how did you  
13 measure it? You had all the photographs.

19 Q Doctor, can you point to where in your report you  
20 talked about photogrammetry?

21 A Not specifically, not specifically.

22 Q Well, Doctor, you would agree with me that  
23 photogrammetry is a scientific way of measuring photo-

25 | Page

1           Q     Okay. So show me where in your report you even mention  
2 that you scientifically use photogrammetry for your  
3 measurements?

4           A     First of all, it's photogrammetry. First of all, I  
5 didn't have to mention that. I used it and I got my numbers.

6           Q     Doctor, what I'd like you to do is can you pull out of  
7 your references one study of a Ford Windstar 2002 and a 1999  
8 Freightliner accident that was performed?

9           A     There is not such an article.

10          Q     Okay. I'd like you to pull out of your references any  
11 article or any reference with respect to a car and a truck, an  
12 accident?

13          A     I'm not sure if I have anything such that.

14          Q     Okay. I'd like you to pull out of any of your  
15 references any off-front impact testing that was done with a  
16 Ford Windstar?

17          A     Again science doesn't work this specific --

18          Q     Doctor, is there such a study, yes or no?

19          A     First of all, it wasn't yes or no.

20          Q     Is there such a study?

21                   THE COURT: If you know there is such a study, you  
22 can answer yes or no.

23          A     There is no such study.

24                   MR. GREENWALD: Your Honor, at this point I have  
25 an objection based on Lugo. It says in that case,

1 your Honor, New York courts have also applied Frye tests to  
2 assess the reliability of an expert's stated causation in a  
3 particular case. For this category of expert opinion  
4 testimony, it is not necessary --

5 MR. ROSENBERG: Can he --

6 MR. GREENWALD: Sorry to undermine you with the  
7 law.

10 MR. GREENWALD: He is heckling him.

11 THE COURT: Heckling, all right. Gentlemen, you  
12 want to come back at 2:15.

13 MR. GREENWALD: Your Honor, I want to finish  
14 quoting from the case --

15 THE COURT: Come back at 2:15, all right.

16 Obviously you don't want to have this hearing in any sort  
17 of controlled, professional manner, right?

21 THE COURT: Counsel, let him ask his questions,  
22 all right. that's all.

23 MR. GREENWALD: Even if they are improper,  
24 your Honor, even if they are beyond --

THE COURT: You say they are improper, all right.

1                   MR. GREENWALD: No, Lugo says they are improper.

2                   THE COURT: All right. 2:15, gentlemen. I'm  
3                   done, all right. Thank you.

4                   A F T E R N O O N    S E S S I O N.

5                   THE COURT: Now gentlemen, I don't want to go  
6                   through what I went through this morning. He's going to  
7                   ask the question. If you have an objection, you'll make an  
8                   objection, simply say "I object." If you want to make a  
9                   record of your objection, you'll be given the opportunity,  
10                   all right.

11                  MR. GREENWALD: Thank you, your Honor. I doubt  
12                  I'm going to object at all based on what you're saying that  
13                  you are interested in. There is no jury here.

14                  THE COURT: Well, that's all true.

15                  All right. Mr. Rosenberg, you may continue.

16                  MR. ROSENBERG: Sure.

17                  CROSS-EXAMINATION

18                  BY MR. ROSENBERG: (Continued.)

19                  Q      Good afternoon, sir.

20                  A      Good afternoon.

21                  Q      Sir, I want you to assume that the Plaintiff,  
22                  Ms. Myers' body came into contact with the dashboard and the  
23                  right door, I want you to assume that.

24                  A      Okay.

25                  Q      Okay. With that assumption, does your opinion change

1 with respect to the causation or extent of Ms. Myers' injuries?

2 A No.

3 Q The medical records that you claim to have reviewed, do  
4 you have the records from her operative surgeon?

5 A What's the name of the doctor?

6 Q Merola.

7 A I have his operative note or summary.

8 Q You have what?

9 A The summary of operation that he had performed.

10 Q Other than that two-page document, do you have any  
11 other records from the treating surgeon?

12 A I don't believe so.

13 Q Okay, do you have any records from the treating  
14 physiatrist?

15 A What is the name?

16 Q Relief Medical or Dr. Coyne.

17 A I don't have such a thing.

18 Q Were you aware that she treated for years with that  
19 doctor?

20 A I believe I may have, I'm not sure. I don't have any  
21 record though.

22 Q Did you review those records?

23 A I did not.

24 Q Did you review her pharmaceutical records?

25 A No.

1 Q Did you review her pain management records?

2 A I don't think so.

3 Q Did you review any of the diagnostic testing that was  
4 performed?

5 A I have a few MRI reports.

6 Q Okay. And these MRI reports that you have, who gave  
7 them to you?

8 A I received with the rest of materials from the client.

9 Q Okay. When you were retained, was there a letter of  
10 retention of what they wanted you to do?

11 A I believe it was, yes, I do have.

12 Q And who retained you?

13 A Global Biomechanical Solutions, Incorporation.

14 Q And is that that one-page document?

15 A Yes.

16 Q Does it tell you what they want you to review?

17 A Not the review, but they asked me to prepare a  
18 biomechanical analysis expert report.

19 Q Okay. Did they tell you what you were expected to  
20 analyze?

21 A Again it's going to be a biomechanical analysis,  
22 anything that goes into that analysis.

23 Q What came with that retention letter?

24 A What?

25 Q What came with it?

1       A     The case materials that I have with me.

2       Q     Okay. In your book where you have the Examination  
3 Before Trial transcripts --

4       A     I do.

5       Q     In that book, you had an outline before it?

6       A     Yes.

7       Q     Who prepared that outline?

8       A     I did.

9       Q     Who highlighted that for you?

10      A     I did.

11      Q     And that outline that you prepared, when did you do  
12 that?

13      A     When I received case materials.

14      Q     Did you ever read the deposition transcript of the  
15 driver of the Windstar?

16      A     The name is?

17      Q     Culler.

18      A     I don't think so, no. I don't have such a thing.

19      Q     So just so I'm clear, the only two deposition  
20 transcripts you read is the passenger in the host vehicle?

21      A     Yes.

22      Q     And the driver of the truck, correct?

23      A     That's correct.

24      Q     Did you think it was important to maybe read the  
25 transcript of the driver of the car that the Plaintiff was a

1 passenger in?

2 A I believe I have asked, I don't think it was available  
3 at the point.

4 Q Who did you ask?

5 A The same person who sent me that piece of  
6 correspondence.

7 Q Okay. Can you pull that out and show us your request  
8 for that information?

9 A It was a verbal request, I probably called, maybe an  
10 e-mail, I don't recall. It wasn't written.

11 Q Who did you speak to or ask for that?

12 A Mr. Montalbano.

13 Q Would you agree with me that that's probably a pretty  
14 important component for a biomechanical engineer to look at and  
15 to read to formulate to an opinion?

16 A I agree with that, it was important.

17 Q Did you feel like you were missing something by not  
18 having that?

19 A I would love to have that, it didn't stop me from doing  
20 that. I had enough information to perform my analysis. But the  
21 more the merrier, I agree with that.

22 Q So, is it your testimony that it wouldn't have made a  
23 difference what was said in that host driver's deposition?

24 A No, because the testimony of the driver is not going to  
25 change the extent of damage to the left front corner of the

1 Windstar, so I have seen it already.

2 Q I want you to assume, just assume this, that the host  
3 driver said that she was going 100 miles an hour when this  
4 accident happened --

5 MR. GREENWALD: Your Honor, objection.

6 THE COURT: Go ahead, you can answer the question.

7 Q I want you to assume that, would that change your  
8 analysis of this case, if the host driver testified to that?

9 A Well --

10 Q Yes or no?

11 A Can I answer?

12 Q Yeah, yes or no?

13 A Well, it wouldn't change.

14 Q It would not change your opinion?

15 A No, because it's not consistent with the physical  
16 evidence.

17 Q That's not what I asked. It's just yes or no. So your  
18 answer is no, correct?

19 A The answer is no.

20 Q So regardless of what someone testified to, it wouldn't  
21 have changed your opinion?

22 MR. GREENWALD: Objection, your Honor.

23 A That's what you asked. I'm not going to agree with  
24 that, hundred miles per hour, just absolutely ridiculous,  
25 doesn't go with the physical evidence, so that's why I said not

1 any testimony.

2 MR. GREENWALD: Objection.

3 Q Would it make a difference in your analysis as to speed  
4 which would have been maybe testified to by the host driver, yes  
5 or no? Would that have changed your analysis or your opinion, I  
6 should say?

7 A It is possible, depends on the type of testimony, if  
8 it's consistent with physical evidence, it can come to account.  
9 Again it's so many factors and parameters involved, not just one  
10 testimony or one photo.

11 Q Okay. So just so I'm clear, depending on what was said  
12 at that deposition, that could change your testimony or your  
13 opinion, correct?

14 A I didn't say my testimony. I said my analysis.

15 Q Okay. And therefore, your opinion, correct?

16 A It is possible.

17 Q And before you testified today, did you ever ask to  
18 look at that testimony from the host driver?

19 A No because it was after my report was prepared, we  
20 didn't change anything at the point.

21 Q The information for the 2002 Windstar, where did you  
22 get the data on the 2002 Windstar?

23 A I think it was downloaded from a website.

24 Q Why don't you take a minute and go look and tell us  
25 where you got it from.

1 A It's from website named Edmunds.com.

2 Q Edmunds.com?

3 A Uh-huh.

4 Q When was that prepared by Edmunds for that vehicle?

5 A I don't know, we retrieved it on April 29, 2016.

6 Q Okay. When you say Edmunds.com, is that a recognized  
7 treatise?

8 A Recognized what?

9 Q Treatise. Is that authoritative in your field?

10 A Not authoritative, but it's a good piece of  
11 information. There are so many websites out there, they all  
12 bring information from cars --

13 Q Doctor, let me cut to the chase. Did you go to the  
14 manufacturer's own website Ford and determine or get any  
15 information on the 2002 Windstar?

16 A No, I did not.

17 Q Okay. Doctor, the Freightliner data, did you go to the  
18 Freightliner, the manufacturer, for that information?

19 A No, I did not.

20 Q You went to some other source to get that information?

21 A That's correct.

22 Q And that was also online?

23 A Yes, it was.

24 Q And that was done in 2016?

25 A That's correct.

1       Q     And can you tell us with respect to the Freightliner  
2 and the weight of the Freightliner, do you know whether the  
3 Freightliner had exceeded the gross vehicle weight?

4       A     I did not know that.

5       Q     Okay. Would you agree with me that if the Freightliner  
6 had deviated from, went over the gross vehicle weight, that that  
7 would change your analysis?

8       A     It would change, yes.

9       Q     Would you agree with me that the weight that you use as  
10 an average of the driver of the host car, would you agree with  
11 me that you used the wrong component for that, you used male  
12 instead of a female?

13      A     It was a mistake during my testimony, I didn't get a  
14 chance to correct it.

15      Q     Just yes or no?

16      A     I'm answering your question.

17      Q     Was that a no?

18      A     Not in my report, it was correct, that's your answer.

19      Q     In your report it was correct?

20      A     It was correct. It was an average female that age.

21      Q     So your testimony was male and your report was female?

22      A     Report is female and that's correct. I misspoke when I  
23 said a male.

24      Q     Do you have any idea of the weight of Ms. Culler, the  
25 host driver?

1           A    No, I don't.

2           Q    Would it matter to you in your analysis and ultimately  
3 your opinion if she weighed 350 pounds?

4           A    No, because I explained up to 200 pounds difference  
5 couldn't make any difference.

6           Q    Okay. So would you agree with me that 350 is more than  
7 150?

8           A    I agree with that.

9           Q    Okay. So does 350 exceed your limit, in your opinion?

10          A    No, again it's just going to change it about a decimal  
11 point.

12          Q    If she weighed 400 pounds, Ms. Culler, would that  
13 change your opinion?

14          A    Again it would change that number by two decimal points  
15 instead of one. Instead of 5.5, it would be 5.7.

16          Q    Just yes or no, would it change your opinion?

17          A    Yes, it would.

18                    MR. GREENWALD: Your Honor, I wish you would take  
19 judicial notice of the fact that men weigh more than women.  
20 If he used a man's figure, it means that he made her  
21 heavier with that average.

22                    As far as Ms. Culler weighing 400 pounds --

23                    MR. ROSENBERG: I thought we --

24                    MR. GREENWALD: We've all seen Ms. Culler, we know  
25 she doesn't weigh that.

1                   MR. ROSENBERG: Didn't you give him instructions?

2                   THE COURT: I did. Again Counsel, there is no  
3 precision here, you know. But I know that. All these  
4 things will change regardless of how you change --

5                   MR. ROSENBERG: That's the whole point, Judge.

6                   THE COURT: I know that's the whole point. How  
7 much are they going to change, that's also the point.  
8 Obviously you change one variable in a mathematical  
9 equation, the outcome is going to be different. I know  
10 that, you don't have to tell me that.

11                  Q     Doctor, did you read the medical report from a  
12 Dr. Klein?

13                  A     Coyne?

14                  Q     Klein.

15                  A     Yes, I did.

16                  Q     You did?

17                  A     Yes.

18                  Q     Doctor, did you evaluate the causality of RSD in your  
19 analysis?

20                  MR. GREENWALD: Your Honor, I have a motion  
21 pending with respect to this. I'm going to move to  
22 preclude any mention of this at trial. Certainly the way  
23 Mr. Rosenberg --

24                  MR. ROSENBERG: Judge, I thought you instructed  
25 him to object or not object.

1                   MR. GREENWALD: Your Honor, he is trampling over  
2 decency here, he really is.

3                   THE COURT: He can answer the question, all right.  
4 This is not the trial, all right.

5 A    So what is your question again?

6                   MR. ROSENBERG: Can the court reporter read it  
7 back, Judge?

8                   THE COURT: Yes, read it back.

9                   (Whereupon, the referred to portion of the  
10 record was read back by the Reporter.)

11 A    The part I didn't understand is RSD, what you refer to?

12 Q    Have you ever heard that acronym, RSD, as an injury?

13 A    I may have; I want to see what you mean.

14 Q    Reflex sympathy- --

15                   MR. GREENWALD: Sympathetic.

16 Q    Sympathetic --

17 A    Dystrophy, yes, I have heard.

18 Q    Thank you.

19 A    I have heard that term.

20 Q    Did you analyze that injury, the causality or extent of  
21 that injury in your analysis?

22 A    No, I did not.

23                   MR. GREENWALD: Object to it as being classified  
24 as an injury.

25                   THE COURT: Fair enough. He said no is the

1                   answer. Next.

2                   Q     Sir, what I'd like you to do is take out out of your  
3     book, so that the Court could see, the articles or treatises or  
4     authority for off-frontal collisions, in the testing for  
5     off-frontal collisions?

6                   A     What I have is frontal in general, some of them may  
7     have off-front, some of them may not have.

8                   Q     Go ahead. Take out one of your authority and show it  
9     to the judge that says off frontal.

10                  A     In the title or inside?

11                  Q     I'm sorry?

12                  A     In the title of the article or inside the article?

13                  Q     Well, why don't you start with the title of the  
14     article. Did you find one study that analyzed off-frontal  
15     collisions?

16                  A     Again, I believe when they --

17                  Q     Doctor, this is very easy, it's yes or no.

18                  A     Yes, I am saying yes. I believe when they are covering  
19     frontal, it also includes off frontal, general topic.

20                  Q     Tell me which article it is that you are looking at.

21                  A     I have some of them in front of me that goes to  
22     Chandler, Wagner, Armstrong, those are --

23                  Q     Doctor, I'm not asking for the cite, I'm asking which  
24     article are you looking at?

25                  A     These are the articles.

1 MR. ROSENBERG: Judge, could I approach and see  
2 what article he is looking at?

3 THE COURT: Go ahead.

4 A You want that actual article?

5 Q Sure. Doctor, this article that you took out, that's  
6 from February 27th through March 2nd, 1995, entitled -- it's an  
7 SAE technical paper series, correct?

8 A      **Correct.**

9 Q And it's called Data and Methods for Estimating the  
10 Severity of Minor Impacts. Can you please point where in the  
11 article you are referring to where they did testing for  
12 off-frontal collisions?

13 A This is the part that explains.

14 Q Can I see where you are looking at, Doctor?

15 A The configuration of the car, this one.

16 Q Doctor, where does it say off-frontal collision  
17 testing? And then I'm going to ask you also, after you answer  
18 that question, where is it with respect to a 2002 Windstar and a  
19 1999 Freightliner?

20 A I already answered that, you are not going to find any  
21 specific article that talks about the 2002 Windstar and the  
22 Freightliner making contact, practically impossible.

23 Q I want you to read in words for the Court the article,  
24 that part of the article dealing with off-frontal collisions.

25 You know what, Judge, before I even get to that, tell

1 us, would you categorize this accident as an off-frontal  
2 collision, yes or no?

3 A Yes.

4 Q Okay. With that in mind, you would agree with me that  
5 there is testing for frontal collisions, correct?

6 A That's correct.

7 Q And there is testing for rear-end collisions, correct?

8 A That's correct.

9 Q There is testing for side collisions, correct?

10 A Exactly.

11 Q Now I want you to read where it says in that article in  
12 words, I want you to read to us where it discusses the analysis  
13 of off-frontal collisions?

14 A Well, you're not going to find specific such a thing  
15 even in this article or the textbook I have.

16 Q Doctor, please.

17 THE COURT: It's not in the article. Next.

18 Q It's not in the article?

19 A No. That's not the point.

20 THE COURT: Go ahead.

21 A The principle is the same. We are talking about  
22 frontal, frontal to head-on and off frontal.

23 THE COURT: Please let him finish.

24 A Just like the rear.

25 Q Was this a head-on collision, yes or no?

1       A    It wasn't.  It was an off frontal.

2       Q    Was this an off-frontal --

3                MR. GREENWALD:  Your Honor, can I --

4                THE COURT:  Don't browbeat him.  Let him answer  
5                the question.

6                MR. ROSENBERG:  He did.  He said it's not in  
7                there.

8                MR. GREENWALD:  Your Honor, he was cut off.

9                MR. ROSENBERG:  Judge, this is easy.  Is it in  
10               here or not?

11               THE COURT:  I'm telling you, I'm almost fed up.

12        Q    Is it in there or is it not in there, off-frontal  
13               collision, in words?

14        A    Frontal is.  Off frontal may be or maybe not, I can go  
15               through one paragraph at a time.  But frontal covers off frontal  
16               too, it's as simple as that.

17        Q    Doctor, let's do it this way, Doctor, read it out loud  
18               the part that you are referring to that talks about off-frontal  
19               collisions.  Read it.

20        A    I never cited anything specifically for off frontal  
21               because it's a subcategory of frontal.  I don't know which part  
22               you don't understand, it's very clear.  Frontal with an angle,  
23               that would be off frontal.  What is the difference?  You have to  
24               consider for off frontal that there is a component of lateral  
25               involved and I did, that's why I'm saying there is a motion to

1 the left that's why I accept the testimony that when she came  
2 back, she made contact with the door because of that angle. So  
3 you have to bring it to --

4 Q Doctor, very simple, tell us what authority says that.  
5 Let me finish the question. Take out the authority that stands  
6 for what you just said, that it doesn't matter whether it's an  
7 off-frontal collision, a frontal collision, a side collision,  
8 take out the authority that says that.

9 A First of all, I don't agree with your word of  
10 authority, those are all research articles.

11 Q Take out an article.

12 A I am trying. The first one is here.

13 Q That says it doesn't matter?

14 A It doesn't say that because it does matter, it's a  
15 matter of physics.

16 THE COURT: I think I follow it. It's vectorial,  
17 going straight.

18 THE WITNESS: Thank you, your Honor.

19 THE COURT: And if there is an angle you are going  
20 to diminish it to some degree by the angle. And that's not  
21 rocket science.

22 THE WITNESS: Thank you.

23 THE COURT: I had that in freshman year of high  
24 school.

25 Q Doctor, tell us, the coefficient for stiffness, does it

1 matter if it's a frontal collision or a rear collision or a side  
2 collision?

3 A It refers --

4 Q Yes or no, does it matter?

5 A It matters.

6 MR. GREENWALD: Your Honor.

7 Q So now I want you to take that question that it matters  
8 and ask you to please take out the authority for an off-frontal  
9 collision.

10 A I don't like the word "authority" that you are using.  
11 I have research articles. I have textbooks that are talking  
12 about frontal, off frontal is just subcategory of frontal.

13 Q Read it out loud for the Court so that the Court and  
14 I --

15 A It's common sense. You don't need to hear that when an  
16 angle is involved, it is off frontal. It's common sense, it's  
17 common knowledge in engineering, you don't have to have an  
18 authoritative paper to show that.

19 Q Doctor, would you agree with me that -- I want you to  
20 turn to Page 9 of your report, Doctor. Take a look at the  
21 middle on the top, the middle number.

22 A Yes.

23 Q Do you see where it says, it has a null sign, which is  
24 a zero with a line through it?

25 A Yes.

1 Q What does that stand for?

2 A That's actually Greek letter of theta, that stands for  
3 angle, represents.

4 Q And you would agree with me that you inserted in there  
5 45.0 degrees, correct?

6 A That's correct.

7 Q Doctor, I want you to tell the Court where you  
8 extrapolated 45 degrees?

9 A That's what I explained before, it's a high estimation  
10 of the angle. It could be 45, I maximize it to 45 because  
11 that's maximum number that in angle you can have.

12 Q Doctor, and you are basing that on three photographs?

13 A Three, four, 12, as many. One would be enough to  
14 understand that it was left-front corner of the car involved.

15 Q You're telling this Court that based on one photograph,  
16 you could determine that this was no more than a 45-degree-angle  
17 impact?

18 MR. GREENWALD: Your Honor, I'm objecting,  
19 mischaracterization. What he said is he is using the  
20 maximum --

21 MR. ROSENBERG: Judge, does he have to do that?

22 MR. GREENWALD: He said it two or three times, he  
23 is mischaracterizing.

24 THE COURT: Let's get to the bottom of it.

25 MR. ROSENBERG: In front of the witness --

1 MR. GREENWALD: Repeating what he said.

2 THE COURT: I think I'm done, I really do. We'll  
3 finish it another day, all right. You are going to keep  
4 yelling at each other, I don't have to sit here and listen  
5 to it, all right, I just don't. And I'm not going to. You  
6 want to bring him back another day and spend \$300 an hour  
7 from Boston or Pittsburgh, go ahead.

8 Just let him answer the question, all right.

9 What's the question?

10 Q Doctor, you would agree with me that if you changed the  
11 degree, that would change the result, correct?

12 A      **Correct.**

13 MR. GREENWALD: Objection.

14 THE COURT: Overruled.

15 Q So you would agree with me that accuracy is important  
16 in determining the final -- I don't want to use the word  
17 solution, the final delta-V?

18 MR. GREENWALD: Objection, your Honor.

## 19 Mischaracterization.

20 THE COURT: It's a question.

21 Q Would the delta-V be affected by a different number for  
22 the angle?

23 A Yes.

24 Q Okay. And you would agree with me that your analysis,  
25 therefore, if you had a different delta-v than what you

1 determined, that would come about by changing one of the  
2 components, one of the numbers, correct?

3 A Correct.

4 Q Thank you.

5 Doctor, this 50-inch long estimation that you gave, are  
6 you telling this judge, this Court, that you came up with that  
7 by looking at a photograph, correct?

8 A I explained that based on the measurements, it couldn't  
9 be more than 25, 20 or 25 inches.

10 Q In your estimation, you doubled it just on the safe  
11 side?

12 A Conservative estimate.

13 Q But you didn't take into account the 60-inch mark on  
14 the left side of the vehicle, correct?

15 A Because there was no evidence telling me --

16 Q Yes or no.

17 THE COURT: Just say you didn't.

18 A I did not. And he knows because he already asked me.

19 THE COURT: So you didn't take that into  
20 consideration?

21 THE WITNESS: That's correct.

22 THE COURT: By the way, why didn't you take that  
23 into consideration?

24 THE WITNESS: Because there is no other evidence  
25 telling me that it was definitely part of the accident.

1           From the testimony or from the police accident report,  
2           police was very clear saying the point of impact and  
3           location of most damage was left-front corner of the car.  
4           And then they have four other squares that can add  
5           additional damage, if they observed any damage as a result  
6           of the impact, and it's all empty. So I relied upon the  
7           police officers who attended the scene. I wasn't there, he  
8           was, so I relied upon his experience and his report.

9           Q     So just so I'm clear, you relied upon a one-page police  
10          report of a diagram that was drawn?

11          A     It has a lot of information, that one page, yes.

12          Q     So it didn't matter to you that you saw damage that  
13          was, in your estimation, 60 inches long on the driver's side,  
14          since it wasn't in the police report, you didn't consider that;  
15          is that true?

16          A     Yes, no testimony --

17          Q     Is that true?

18          A     That's true and no testimony --

19          Q     Thank you.

20          A     -- backed it up.

21          Q     Thank you.

22          A     You're welcome.

23          Q     Sir, by looking at those three pictures that were in  
24          your report, was there any damage to the engine compartment of  
25          that vehicle?

1       A    It doesn't matter.

2       Q    Just yes or no.

3       A    You can't see it from those photos, but it doesn't  
4 matter.

5               THE COURT: All right. He doesn't know. Next  
6 question.

7       Q    Doctor, the two inches that you estimated of the depth  
8 of that, you did that by looking at those three pictures that  
9 are attached to your report?

10      A    Yes.

11      Q    And you did that by visually looking at the pictures,  
12 you could determine the depth, is that what you're telling us?

13      A    Yes, because the depth was zero from those photos. Two  
14 is a very generous --

15      Q    Doctor, did you use any instruments in measuring the  
16 depth?

17      A    No.

18      Q    Did you use any instruments in measuring the length  
19 that you inserted to get this 50 inches, yes or no?

20      A    Not instruments.

21      Q    Did you use, other than visually --

22      A    Yes.

23      Q    Did you use anything?

24      A    Yes.

25      Q    What did you use?

1 A Microsoft Excel.

2 Q Microsoft Excel?

3 A Yes, sir.

4 Q Can you take out those data that you used from  
5 Microsoft Excel? Take it out.

6 A I don't have the program with me.

7 Q So you didn't bring that with you?

8 A No, I didn't.

9 Q Did you bring the data that you used in compiling that,  
10 yes or no?

11 A Those are photos, yes, I do have them.

12 Q The data from Excel, is there a spreadsheet attached to  
13 that?

14 A No.

15 Q Where was that?

16 A I don't have the spreadsheet with me.

17 Q What did you do with it?

18 A It's still on my laptop somewhere.

19 Q So you knew you were coming today but you didn't bother  
20 printing it out?

21 A No, I didn't need it, I have the report and I have the  
22 results with me.

23 Q Doctor, just so I'm clear, you would agree with me  
24 there is not one single study involving a 2002 Windstar and a  
25 1999 Freightliner on an off-frontal collision, correct?

1 MR. GREENWALD: Your Honor, didn't we just do this  
2 rigorously?

3 THE COURT: Asked and answered. Fair enough.

4 Q Doctor, this particular accident, you would agree with  
5 me that the vehicle involved in this accident, the Windstar,  
6 went a distance under the truck, correct?

7 MR. GREENWALD: Objection, your Honor.

11 A That's their testimony, right, that's my understanding,  
12 that's what they testified.

13 Q And did you consider that as part of your opinion?

14 A No.

15 Q So you didn't consider the fact that the Defendant's  
16 testimony was that he observed the vehicle pulling out from  
17 under the truck, you ignored that, correct?

18 A Yes, because there was no damage.

19 Q Yes or no, you ignored that?

20 A I ignored that, yes, because it wasn't important.

21 Q Doctor, tell the Court what speed the truck was going  
22 at at impact?

23 A I don't know the exact. I believe there is testimony,  
24 I'm going to refresh my memory before answering that. I think  
25 the testimony of the driver is that the maximum rate of speed

1 was about 15 miles per hour.

2 Q And where are you getting that from, Doctor?

3 A His testimony.

4 Q Can you read it? Read the testimony that says that.

5 A Page 45.

6 What was the maximum rate of speed that you attained on  
7 your truck?

8 Around 50.

9 Where did you reach that maximum speed?

10 On Queens Boulevard.

11 Q Doctor, where did the accident happen? Let me save you  
12 some time. The accident didn't happen on Queens Boulevard, did  
13 it?

14 MR. GREENWALD: Objection, your Honor.

15 A It was at the corner.

16 THE COURT: Let him answer the question.

17 Where did it happen?

18 A Based on the police accident report, it was on the  
19 corner of Queens Boulevard and 83rd Avenue, while they are both  
20 turning right at the same time.

21 Q Doctor, is it your understanding that the truck driver  
22 was traveling at impact 15 miles an hour?

23 MR. GREENWALD: Objection, your Honor.

24 THE COURT: Was that factored into any of the  
25 calculations you discussed?

1                   THE WITNESS: No, your Honor. He said 15, it's  
2 not inconsistent, but I didn't use that 15, no.

3                   THE COURT: So the 15 doesn't even come into play  
4 here?

5                   THE WITNESS: No, it doesn't.

6       Q    So Doctor, as far as your calculations were concerned,  
7 it didn't matter to you what the parties said the speed of the  
8 truck was going at, is that your testimony?

9                   MR. GREENWALD: Objection, your Honor.

10                  THE COURT: For what analysis, Counsel?

11       Q    For any analysis that you used?

12       A    That's a very good question.

13       Q    Yes or no, did it matter?

14       A    It did matter.

15                  THE COURT: It did?

16                  THE WITNESS: It did matter, relatively speaking.  
17 And I can explain it. It is not a yes or no.

18                  THE COURT: It mattered. He doesn't want to know  
19 the rest of the answer.

20                  THE WITNESS: Okay.

21       Q    Doctor, the speed of the Windstar at impact, what was  
22 the speed that the Windstar was going at?

23       A    I don't know that.

24       Q    Did you think that was important for your calculations  
25 or no, yes or no?

1       A    You can't limit that, I'm not going to answer yes or no  
2 to that question.

3       Q    No problem, then don't answer.

4       A    I'm not going to.

5       Q    Doctor, would your opinion change if the speed of the  
6 Windstar was 35 miles an hour?

7                    MR. GREENWALD: Objection, your Honor.

8       A    That number by itself is not --

9                    THE COURT: You can answer the question.

10      Q    Yes or no.

11      A    Is not necessarily inconsistent with my testimony, so  
12 it's possible, yes.

13      Q    How about 50 miles an hour?

14                    MR. GREENWALD: Objection, your Honor.

15                    THE COURT: Go ahead. You can answer the question  
16 and stop it there.

17      A    It is possible that Windstar was going 50 and truck was  
18 going 40. At the end, closing velocity, as I explained earlier  
19 is ten miles an hour. That's why 35 and 50 and 20 doesn't  
20 matter.

21      Q    Doctor, I want you to show us one study that was ever  
22 done for a vehicle going under a truck at impact, point to a  
23 study.

24                    MR. GREENWALD: Objection, your Honor.

25      A    I don't have that study with me because it doesn't

1 apply to this accident.

2 Q When you say it doesn't apply, that would mean that you  
3 would ignore the testimony of the parties that were involved in  
4 this accident, correct?

5 A That's not correct.

6 MR. GREENWALD: Objection, your Honor.

7 A That's not correct. I heard that testimony, it doesn't  
8 apply to the calculations.

9 Q Doctor, can you tell us where did you get the frontal  
10 crush stiffness coefficient from?

11 A I downloaded it from a site, Neptune Engineering.

12 Q From where?

13 A Neptune Engineering, Incorporation.

14 Q That's not a government website, is it?

15 A That's not, but they perform the test.

16 Q It's not, correct?

17 A I said yes, it's not.

18 Q Thank you. Doctor, what I'd like you to do is please  
19 take out the off-frontal crush stiffness coefficient, can you  
20 take that out, off frontal.

21 A I don't think I have off frontal.

22 Q Okay. Would it be fair to say that you only have  
23 frontal, correct?

24 A That's correct because off frontal is frontal.

25 Q Would you agree with me, Doctor, that this is not a

1 frontal collision?

2 A I disagree.

3 Q Doctor, you would agree with me that your report says  
4 the foregoing information indicates that the Ford experienced an  
5 off-frontal collision, you wrote that in multiple places in your  
6 report, correct, yes or no?

7 A Yes.

8 Q Okay. What I'd like you to do is go through your  
9 report and point to one place in your report where you called  
10 this a frontal collision?

11 A This is an off frontal, which is a subcategory of  
12 frontal. This is the fifth time I'm explaining that, I'm not  
13 going to say it again.

14 Q Doctor, take out --

15 THE COURT: Try not to fight with him. Let him  
16 ask his question. Go ahead.

17 Q Doctor, I want you to take out any article you brought  
18 with you today, okay, and read to us that it doesn't matter that  
19 it was an off-frontal collision.

20 THE COURT: We went down this road already,  
21 Counsel.

22 Q Doctor, the crush stiffness coefficient that you used  
23 was for frontal collision, correct?

24 A Yes.

25 Q That's not the same for side collision, correct?

1       A    That's correct.

2       Q    It's a different stiffness, correct?

3       A    Correct.

4       Q    And it's a different stiffness for the rear of the car  
5       too, correct?

6       A    That's true.

7       Q    So you would agree with me that the driver's corner,  
8       which consists of the front of the car and the side of the car,  
9       has a different coefficient than the front and the side,  
10      correct?

11      A    That's not correct.

12      Q    Okay. Doctor, again, point us to one study that says  
13      it's a subcategory.

14                    MR. GREENWALD: Objection. Asked and answered.

15                    THE COURT: Fair enough. Next. Next.

16      Q    Doctor, air bag deployment --

17      A    Yes.

18      Q    -- you talked about that, correct?

19      A    Yes.

20      Q    Doctor, what I'd like you to do is I want you to take  
21      out one study that you brought with you here today for an  
22      off-frontal collision regarding air bag deployment?

23      A    There is no such a thing.

24      Q    There is none, correct?

25      A    Off frontal is frontal so it's covered.

1 Q So just so I'm clear, you are telling the judge that a  
2 frontal collision is the same as an off-frontal collision,  
3 correct?

4 MR. GREENWALD: Objection, your Honor.

5 THE COURT: Look, we've been through it, next.

6 Q Doctor, is there a reason why in your report you use  
7 the words off-frontal collision?

8 A Yes.

9 MR. GREENWALD: Objection, your Honor.

10 THE COURT: Go ahead. Next question.

11 Q Doctor, you would agree with me that biomechanical  
12 engineers differentiate between frontal collisions and  
13 off-frontal collisions, correct?

14 A To certain point, yes.

15 Q And the reason why they differ, Doctor, you would agree  
16 with me is it's a different mechanical-type accident, correct,  
17 frontal versus off frontal?

18 A No, just because of the movement of the occupant that  
19 you have to bring to account that it happens at angle, it's not  
20 straight forward.

21 Q It's the same then?

22 A Otherwise the same.

23 MR. GREENWALD: Your Honor, again, all day he is  
24 mischaracterizing. Takes the answer and mischaracterizes  
25 it.

1 THE COURT: Please.

Enough with this frontal versus off frontal.

3 Q Doctor, in air bag deployment, are you aware that an  
4 air bag doesn't deploy on a side collision, a frontal air bag?

5 MR. GREENWALD: Is counsel testifying, your Honor?

6 THE COURT: Does an air bag deploy on a side  
7 collision?

8 Q A front air bag deploy, yes or no.

9 A It is possible, depends on the angle.

10 Q Is that a usual --

11 A Typically, no.

12 Q Would you agree with me that a corner, meaning the side  
13 of a vehicle in the front of the vehicle, the corner would  
14 affect how an air bag would deploy and not deploy?

15 A There are sensors for frontal air bags in that corner  
16 that you are talking about.

17 Q So what I want you to do now is take out the 2002 Ford  
18 Windstar evidencing the sensor in the left-front corner, show us  
19 the data.

20 A It says it has frontal air bags --

21 Q Show us the data.

22 A -- there are six sensors at six points and one is in  
23 that corner.

24 Q Show us the data for that, show us the authority for  
25 that.

1       A     It's a common knowledge when you know air bag system,  
2 when you know front bumpers, you know that it is common  
3 knowledge, it doesn't come from one piece of paper.

4       Q     Then it should be easy. So therefore there had to have  
5 been testing done for left corner frontal air bag deployments,  
6 take out the study.

7       A     There is no study for left corner front air bag --

8       Q     But there are studies for frontal collision, correct?

9       A     Yes, because they are in the same category.

10      Q     There are studies for frontal collisions and air bag  
11 deployment, correct?

12      A     Yes.

13      Q     In fact, Doctor, would you agree with me that every  
14 study that you have in that book is titled front collision and  
15 air bag deployment?

16      A     Yes, because off frontal is frontal.

17      Q     It doesn't say off frontal, does it?

18            THE COURT: Again Counsel, you made the  
19 distinction 100 times. Enough with respect to the  
20 difference.

21      Q     Doctor, the speed of the host vehicle, does it matter  
22 what the speed of the host vehicle was going for air bag  
23 deployment, yes or no?

24      A     It's not yes or no, I can't, because you are going to  
25 cut my answer, so I'm not going to answer yes or no.

1       Q     Doctor, for a frontal collision, take out the data for  
2 frontal collisions and air bag deployment, that you have. Take  
3 it out. Take it out.

4       A     Take out what?

5       Q     Take out the data that you have for speeds of 2002  
6 Windstars for frontal air bag deployment?

7       A     It's not for Windstar, it's general, it's not  
8 specifically one vehicle.

9       Q     Take it out. Show us what you have.

10      A     One of those textbooks that I don't have with me,  
11 your Honor, but it's available, probably you can find it.

12      Q     I just wanted to know what you have.

13      A     I don't have it with me.

14      Q     You make citations in your report for air bag  
15 deployment?

16      A     Yes.

17      Q     Take those out, let's take a look at them.

18      A     I mentioned at the beginning I don't have two textbooks  
19 with me, it's one of them.

20      Q     Which textbook is that?

21      A     It's the manual. I have to read.

22      Q     Sure, go ahead.

23      A     It's my reference number 11, it's an SAE book by  
24 R.W. Rivers, Seat Belt and Air Bag System Manual for Traffic  
25 Crashing Investigation and Reconstruction, published in 2002.

1       Q     Doctor, when you were measuring the photos --

2       A     Yes.

3       Q     What I'd like you to do is tell us -- I had mentioned  
4     earlier about -- and I pronounced it wrong, it's photogrammetry,  
5     are you familiar with that?

6       A     Yes.

7       Q     Can you point to the data that you used when you  
8     performed that method. Show us.

9       A     The data is the photo.

10      Q     Well, Doctor, you would agree with me there is  
11     authority that says that this is a whole system in which to use  
12     photogrammetry, correct?

13      A     For advanced crashes there are, you are right,  
14     different type of camera.

15      Q     You agree with me that you didn't follow the protocol  
16     for what we call photogrammetry?

17      A     For advanced photogrammetry, no, because I didn't need  
18     to, it's just a simple accident, damage limited to the bumper.

19      Q     Show us where it says you don't have to do for  
20     determining crush?

21      A     It's a matter of common sense. I have nothing to show  
22     that says that you can't use that, it's based on the experience  
23     of --

24      Q     You told us before that you measured it.

25      A     Yes, I did.

1                   THE COURT: One at a time, please.

2           Q    Doctor, tell us how you measured it.

3           A    I said that I uploaded the photos to the Excel and I  
4 used that, there are some measuring tools and some calculations  
5 that you can put on the spreadsheet and that's what I did.

6           Q    Show me the authority that says that that's the way to  
7 do photogrammetry.

8           A    It doesn't come from the authority.

9           Q    Just so I'm clear --

10               THE COURT: Doctor, I'm trying to follow this.

11               Somewhere you got to get a measurement, correct?

12               THE WITNESS: That's correct.

13               THE COURT: The measurement of the front bumper?

14               THE WITNESS: Yes.

15               THE COURT: Did you go about doing that for this  
16 car?

17               THE WITNESS: Yes.

18               THE COURT: Do you remember what sort of  
19 measurement you obtained to compare it to the measurement  
20 of the area where the car was dented?

21               THE WITNESS: Comparing this car with the intact  
22 car not been in an accident, you can find easily in public  
23 domain from those websites that we talked about, the  
24 Windstar that is intact, like those commercial --

25               THE COURT: In other words, at some point you

1                   obtained a measurement?

2                   THE WITNESS: Yes.

3                   THE COURT: Do you remember what portion of the  
4                   vehicle you obtained a measurement for to compare it with  
5                   the area that was impacted?

6                   THE WITNESS: I believe in this case it was the  
7                   front bumper of the Windstar and specifically the license  
8                   plate, on top of that, which is always six by 12 inches in  
9                   North America anyway.

10                  Q     Can you tell us the width of the front bumper, what was  
11                  the width of it, the total width?

12                  A     I can look at the numbers, I didn't memorize it.

13                  Q     Go ahead, go look at it.

14                  A     Sure. And the whole length of the bumper was not  
15                  necessary. I think in this case what the focus was was the  
16                  license plate, because the whole bumper was not involved.

17                  Q     Doctor, just tell us what the full length of the bumper  
18                  was.

19                  A     If I can find my reference. The overall width part of  
20                  the exterior measurements of the car is 76.6 inches.

21                  Q     I didn't ask that. I asked the front bumper.

22                  A     The front bumper couldn't be --

23                  Q     Doctor, you said you measured the front bumper, what is  
24                  the length of the front bumper?

25                  THE COURT: You don't have the front bumper in

1                   your materials, Doctor?

2                   THE WITNESS: No, I don't have it with me,  
3                   your Honor, no, but I have general numbers with me.

4                   Q     So you put this general number into the Excel program,  
5                   is that what you are telling the judge?

6                   A     No.

7                   Q     Did you put a specific number in?

8                   A     I did.

9                   Q     But you just don't happen to have that number with you,  
10                   correct?

11                  A     That's correct, I don't have it, it's on the  
12                  spreadsheet.

13                  Q     Doctor, tell us if you could, please, just take out the  
14                  article or any reference in that binder that conclusively  
15                  correlates the delta-V force with bodily injuries in motor  
16                  vehicle accidents, can you take that out?

17                  A     There is not one uniform article that you are looking  
18                  for to connect everything.

19                  Q     Take out any one.

20                  A     I have articles that explain the severity of the  
21                  accident in frontal, four people, while seat belted, I have  
22                  three or four of them. And then I have articles to explain how  
23                  much force required for disk herniation to be caused. As a  
24                  scientist you have to connect the dots. There is not one single  
25                  article to explain what's going to happen to driver or passenger

1 of Windstar.

2 THE COURT: I lost the question. Do you want him  
3 to produce the articles that he has that shows the amount  
4 of force that causes a herniated disk?

5 MR. ROSENBERG: Exactly.

6 THE COURT: Go ahead.

7 Q Could I look at those?

8 A Please.

9 Q Doctor, is it your opinion that these three articles  
10 that you took out --

11 A These three articles are talking about the accident  
12 parts, when we are talking about either frontal or side impacts,  
13 the motions and forces involved, this is --

14 Q Doctor --

15 A I explained that there is not going to be one article  
16 to have everything in one just for your sake of argument. This  
17 is not -- you are not going to find such an article.

18 THE COURT: Go ahead. What's the question?

19 Q Doctor, you would agree with me there is no study that  
20 says, that correlates the delta-V forces with motor vehicle  
21 accident injuries, you would agree?

22 A I just handed to you -- you picked the one in the  
23 middle, there are two more, why didn't you look at them? It's  
24 right here.

1 Status on Injury Outcome, it couldn't get any more specific than  
2 this, your Honor.

3 THE COURT: All right.

4 A Why didn't you take a look at this?

5 Q You said frontal collision, correct?

6 A Yes, which is off frontal.

7 THE COURT: Frontal and off frontal. Once you  
8 have calculations based on a frontal collision, can you  
9 extrapolate the forces that would be involved if there was  
10 an angle involved in the collision?

11 THE WITNESS: Yes.

12 THE COURT: So whenever you know the initial  
13 force, the frontal force, all you have to do is to  
14 calculate the force --

15 THE WITNESS: That vector that you mentioned, yes,  
16 vector that coming off that, yes.

17 THE COURT: So once you know the angle of the  
18 collision, that's enough information for you to now  
19 calculate an off-frontal collision?

20 THE WITNESS: That's the only thing that separate  
21 an off-frontal from a head-on frontal.

22 THE COURT: And the angle is what you would use to  
23 calculate the delta-v on an off-frontal collision?

24 THE WITNESS: That's correct.

25 THE COURT: All right.

1 MR. GREENWALD: Your Honor, he testified here that  
2 45 degrees he chose is the one with the most force applied  
3 to it, he has testified to that, your Honor.

4 THE COURT: Well, all right. Is that true?

5 THE WITNESS: It is, your Honor, because --

6 THE COURT: The most forward force?

10 THE COURT: Talking about the biggest angle that  
11 would propel somebody forward?

17 THE WITNESS: It could be 35 degrees.

18 THE COURT: But 45 --

19 THE WITNESS: Is the max.

20 THE COURT: It can't be more than that.

21 || THE WITNESS: Exactly.

22 Q Doctor, what was the speed of the Windstar before  
23 impact, right before impact?

24 A You asked me and I already told you that I don't know.

25 Q Doctor, what would be the speed of the Ford Windstar

1 after impact?

2 A It changed by less than ten miles an hour; whatever it  
3 was, it decreased by ten.

4 Q What was the miles per hour that the Windstar was  
5 traveling at immediately after impact?

6 A As I said, it changed by ten miles per hour, I don't  
7 know from what to what, but it dropped by ten miles per hour.

8 Q Doctor, wouldn't you agree with me that the coefficient  
9 of restitution -- you are familiar with that, right?

10 A Yes.

11 Q Would you agree with me, Doctor, that in order to  
12 calculate that, you need to know the speed before impact and  
13 after impact to properly analyze that?

14 A No, not necessarily. That's one way to do it, I agree.

15 Q Doctor, show me an authority, show me an article that  
16 says it's done any other way other than what I just said. Show  
17 me.

18 A It's not an article that you are looking for. This is  
19 established methodology to calculate the delta-v. Delta-v is  
20 the difference between the velocity before and after.

21 MR. GREENWALD: Can he finish his answer?

22 THE COURT: Let him finish.

23 MR. ROSENBERG: That's not what I'm asking.

24 MR. GREENWALD: Your Honor, can he finish his  
25 answer?

1                   THE COURT: Just so I understand your question,  
2                   Counsel, you want him to show you an article where delta-V  
3                   can be calculated absent information about the speed of the  
4                   vehicle?

5                   MR. ROSENBERG: No, the coefficient of restitution  
6                   is what I want.

7                   THE WITNESS: You were asking about speed, I'm  
8                   confused now.

9                   THE COURT: I'm confused also.

10                  Q     Doctor, do you know what the coefficient of restitution  
11                  is?

12                  A     Yes, I do.

13                  Q     Would you agree with me that in order to calculate  
14                  that, you need to know the speed before impact and immediately  
15                  after impact?

16                  MR. GREENWALD: And he already answered maybe it's  
17                  not necessary.

18                  THE COURT: Maybe that's true for whatever he is  
19                  talking about, I don't know if it's true or not. I don't  
20                  know if it matters.

21                  But go ahead, Doctor, you can answer.

22                  A     That's one way to calculate, I agree.

23                  Q     Okay. Show me an article or show me some authority  
24                  that says there is another way to calculate the coefficient of  
25                  restitution?

1                   THE COURT: What is the coefficient of  
2                   restitution?

3           Q     Doctor, tell the judge what the coefficient of  
4           restitution is.

5           A     It's a measure of elasticity of an accident. Meaning  
6           that when two cars make contact, if it happens at less than  
7           20 miles per hour, some of the structures in those vehicles  
8           absorb the energy and then they let it go and go back not  
9           completely to the normal size and shape they were, but part of  
10           that is going to be going back to normal. So part of the energy  
11           is going to be elastic, going back and bouncing forward. For  
12           that, if it happens at less than 20 miles an hour, you have to  
13           bring into account that not a hundred percent of energy is going  
14           to push the bumper, some of them is going to be dissipated.

15                   THE COURT: In the actual crush?

16                   THE WITNESS: Exactly. So you have to bring it  
17           into account, unless it happens at more than 20 miles per  
18           hour, that's established in the field, that everything is  
19           going to be absorbed by both vehicles, there is not going  
20           to be any elasticity, any coming back close to the original  
21           size or shape. That is like a percentage, the number is  
22           between zero and one. One is going to be completely  
23           hundred percent elastic, that technically doesn't happen  
24           unless that less than one point mile per hour, so it  
25           wouldn't be even considered a real impact. And zero would

1 be more than 20 miles per hour. So any other accident  
2 between zero and 20 miles per hour is going to have a  
3 number, the percentage less than one that correlates with  
4 that accident.

5 Q Show me the article that says there is a different way  
6 to calculate it?

7 A I have an article that specifically talk about  
8 calculation of the coefficient of restitution. Here you go.  
9 There are the formulas in this that explains that one way is  
10 what you said and then there is another way that is --

11 Q Read it to the judge, that part of it.

12 A I can't read the formula, but I will let you take a  
13 look at it, your Honor.

14 THE COURT: I don't want to look at it.

15 Q Read the part, just so we have a good record of this,  
16 read the part that says there is another way to calculate the  
17 coefficient of restitution.

18 A It doesn't say another way, it explains all the way you  
19 can do in one article.

20 Q Doctor, all those pages don't say how to calculate the  
21 coefficient of restitution?

22 A They do, this is all about calculating.

23 Q Doctor, you told us that one of the ways is determining  
24 the speed before and after. correct?

25 A That's correct.

1 Q The other way to calculate it is in that article?

2 A Yes, right here.

3 Q Read it.

4 A Closing velocity, come here and take a look at.

5 Q Doctor, read where it says there is another way to  
6 calculate the coefficient of restitution.

7 A It doesn't say another way, your Honor, it just talk  
8 about one method used to do it. It's not method number one,  
9 method number two.

10 THE COURT: I got you. Go ahead.

11 Q Doctor, you talked about federal government testing?

12 A Yes.

13 Q Point to one article in there that discusses the  
14 federal government testing that you referred to before. You  
15 know what, let me rephrase it.

16 What federal government testing did you refer to?

17 A The one that was performed on Windstar and the numbers.

18 Q Can you take it out.

19 A I have the results of that study, not the whole report.

20 Q Sure, why don't you take out the results of the study.

21 A The study was done on July 11th, 2005.

22 THE COURT: There is no questions, Doctor.

23 Q Can I see it?

24 A Here you go.

25 Q Could I see the front of it? You said this is a

1 government study?

2 A I said this is the results of that study. I said I  
3 don't have the report of the government study.

4 Q So you don't have the report?

5 A No.

6 Q So what am I looking at?

7 A The results of A and B coefficient of stiffness for  
8 that car based on the results of the Windstar test.

9 Q Doctor, this that you handed me is not a government  
10 study, correct?

11 A I said that I only have the results. I didn't say I  
12 have the government study on here.

13 Q Where does it say on here that these are the results of  
14 a government study?

15 A It doesn't say, but I know because I went to that  
16 website and downloaded and I even can give you the date and name  
17 for that.

18 THE COURT: It's your testimony that these were  
19 the results of the government study?

20 THE WITNESS: Yes, I can find the results,  
21 actually you can find the whole thing, it's available to  
22 everybody.

23 Q But you didn't bring that with you, right?

24 A No, I didn't need.

25 Q Doctor, you would agree with me that this particular

1 structure referred to front, not off frontal, correct?

2 A I'm not going to answer that.

3 Q Take a look at it. I want you to tell the Court.

4 THE COURT: They did it on front, I get it.

5 Q Okay. Doctor, can you tell us what records you  
6 reviewed for Plaintiff's physical condition that existed before  
7 this accident happened?

8 A I don't have anything.

9 Q Do you have any knowledge whatsoever as to what her  
10 physical well-being was before this accident happened?

11 A No. All I have is in my report from the medical  
12 doctors who have seen her.

13 Q I didn't ask that. I asked did you have an opinion in  
14 part of your review of this file as to what her physical  
15 well-being was before this accident?

16 A I don't know.

17 Q Well, Doctor, you would agree with me that, I think you  
18 talked about it on the direct, about studies being performed on  
19 participants, correct?

20 A On?

21 Q Participants.

22 A Yes.

23 Q And Doctor, can you show us, show the Court, what study  
24 you referred to regarding the physical well-being of people  
25 after accidents or involved in accidents?

1                   MR. GREENWALD: Your Honor --

2                   THE COURT: I don't get the question.

3                   MR. ROSENBERG: Sure, I'll rephrase.

4                   MR. GREENWALD: Can I object?

5                   THE COURT: He is withdrawing the question, there  
6                   is no reason to object.

7                   MR. GREENWALD: Your Honor, Lugo says that there  
8                   is no need for specific studies, it's general principles.

9                   It's right in the decision, your Honor.

10                  Q     Doctor, can you point to any authority that you have as  
11                  to any testing done of live people for off-frontal impacts?

12                  A     Again, they are covered frontal and I have them with  
13                  me, yes.

14                  Q     Can you pull that study out for frontal? Can you pick  
15                  the best one, Doctor?

16                  A     It's not a competition, they just --

17                  Q     Pick an article of a frontal collision study that was  
18                  done of live people.

19                  A     Sure, any of them.

20                  Q     Can you show me?

21                  A     30-Mile Per Hour Front-Rear Crash With Human Test  
22                  Persons.

23                  Q     Can I see it?

24                  A     Yes.

25                  Q     Doctor, is this a central front impact, yes or no?

1       A    Probably, yes.

2                    MR. GREENWALD: Your Honor, how many times can we  
3                    go over this?

4                    THE COURT: Is this study based on a frontal  
5                    impact? Yes? Correct?

6                    THE WITNESS: Yes.

7                    MR. ROSENBERG: Judge, central front.

8                    THE COURT: Central front. Is there a difference  
9                    between a central front and a front?

10                  THE WITNESS: Not essentially, no.

11                  Q    Not what?

12                  A    Not essentially, no, they are the same. There is a  
13                  degree involved that you have to bring into account, that's it.

14                  Q    Doctor, show me this study that you pulled out, show me  
15                  where this was a live passenger that was studied?

16                  A    Live passenger?

17                  Q    Sure, as opposed to a crash dummy.

18                  MR. GREENWALD: Objection, your Honor, based on  
19                  Lugo.

20                  THE COURT: Hold on a second. Is this a crash  
21                  dummy test here, Doctor?

22                  THE WITNESS: It's a crash test with human being,  
23                  your Honor.

24                  THE COURT: I'm sorry?

25                  THE WITNESS: With human being.

1                   THE COURT: With live human beings?

2                   THE WITNESS: Yes. Each of the three driver's  
3 test results, each of the three drivers underwent three  
4 sled tests and one vehicle-to-vehicle crash without  
5 suffering any health impairment or other adverse effects.

6                   Q     Doctor, I didn't ask that. Front passengers, not  
7 drivers.

8                   A     Passengers, no, they are drivers.

9                   Q     Okay. Can you point to one study of a frontal  
10 collision involving passengers of live people that were done?

11                  A     I'm not hundred percent sure I have it with me, I can  
12 take a look for sure.

13                  THE COURT: Just so I get this right, in the  
14 actual study they put a driver in the car, I assume they  
15 seat-belted the driver?

16                  THE WITNESS: Yes.

17                  THE COURT: And then they collided another vehicle  
18 into the rear of that car?

19                  THE WITNESS: At 30 miles per hour.

20                  THE COURT: At 30 miles per hour.

21                  THE WITNESS: Yes. Those things are not allowed  
22 anymore, they can't do it, I don't know why.

23                  Q     While we are discussing this, can we get the name of  
24 the study they just referred to and what year it was?

25                  A     It's by R. Wagner in 1979, it's pretty old.

1                   THE COURT: Where was it, in the United States?

2                   THE WITNESS: Yes.

3                   Q      1979?

4                   A      Yes. I'm not sure if I have any specific passengers,  
5 but it doesn't matter because --

6                   THE COURT: Three people?

7                   THE WITNESS: Yes. In that case there is more  
8 studies. In that 30 miles per hour, it was only three.

9                   Q      So just so I'm clear, there are no studies that you're  
10 aware of involving testing of passengers, correct?

11                  A      Again it might be, I have to go through them one by  
12 one. I don't have any recollection to have just for passengers.

13                  THE COURT: I'm just trying to figure this out.

14                  What do you extrapolate from that study? Three people, all  
15 right, so they didn't get a herniated disk as a result of  
16 the collision --

17                  THE WITNESS: Well, it wasn't just that,  
18 your Honor, mostly it was cited along others that you can  
19 see here, just to demonstrate that occupant kinematics that  
20 I will explain, for that we don't need more than three or  
21 five hundred, it's not like statistical analysis, it's not  
22 about just the disk herniation. I don't think I cited for  
23 disk herniation. These numbers for human tests, along with  
24 others like crash dummies and cadavers, just perform to  
25 show that the duration of movement that I'm talking about.

1                   THE COURT: You're not citing the study as proof  
2                   that a collision at 30 miles an hour would not result --

3                   THE WITNESS: Not necessarily, I have other  
4                   articles to show that.

5                   THE COURT: You got that, Counsel?

6                   MR. ROSENBERG: I'm sorry?

7                   THE COURT: He's not offering these studies to  
8                   show that you would not suffer a herniated disk if you were  
9                   involved in an accident such as the one study in the study?  
10                  He's offering them just to show the dynamics of the forces  
11                  on the body as a result of the collision.

12                  A        It's not the only purpose of the study, let's make it  
13                  clear.

14                  Q        Doctor, what damage was done to the host vehicle, the  
15                  Windstar, when the Windstar was backed up after that accident  
16                  happened?

17                  A        Backed up to --

18                  Q        The Windstar was backed up from under the truck, what  
19                  damage was sustained to the Windstar?

20                  MR. GREENWALD: Objection to "under the truck,"  
21                  your Honor.

22                  Q        As a result of the backing up?

23                  THE COURT: If you know the answer.

24                  A        I don't know the answer, your Honor.

25                  Q        Doctor, were you aware that there was testimony

1 regarding damage to the Windstar as a result of backing a  
2 vehicle up after the accident, yes or no?

3 A I don't recollect. No, I don't have a recollection.

4 Q Well, Doctor --

5 A I can take a look at the testimony if you want to.

6 Q Doctor, did you read the testimony of the driver of the  
7 Freightliner?

8 A I did.

9 Q Okay. I want you to take a look at the testimony of  
10 the driver of the Freightliner.

11 A Sure.

12 MR. GREENWALD: Trial testimony?

13 MR. ROSENBERG: Yes, let's take a look at the  
14 trial testimony.

15 MR. GREENWALD: I'm going to object to the trial  
16 testimony. He already elicited from him that he didn't  
17 rely on it.

18 MR. ROSENBERG: I didn't. You did.

19 THE COURT: Counsel, interject the fact that you  
20 want him to consider and ask if that would change his  
21 analysis in any way.

22 MR. ROSENBERG: Sure.

23 Q Would that change your analysis if you knew that damage  
24 took place from this vehicle as a result of the vehicle being  
25 separated from the truck?

1       A     I assume all the damage that I observed was as a result  
2 of the impact, the initial impact, the impact --

3       Q     That's not my question. My question is would it change  
4 your analysis if you knew the damage happened from the vehicle  
5 being separated from the truck?

6       A     Then I have to subtract it from there so it would be  
7 even less severe. It would be less severe. Yes, it would  
8 effect.

9       Q     Doctor, do you know what damage took place to this  
10 vehicle from the impact, initial impact?

11      A     I assumed all the damage that I observed was from the  
12 initial impact, that's another thing to maximize it. So if it  
13 happened because of two impacts, so half of the damage was going  
14 in, half of the damage was coming out, that means severity of  
15 the accident was half in each impact.

16      Q     Doctor, do you know anything about that?

17      A     No, I assumed that the whole observed damage was due to  
18 the initial impact.

19                    MR. GREENWALD: And your Honor, that is only to  
20 the benefit of the Plaintiff. Because if the damage is  
21 sustained backing up, that's not impact damage, so again  
22 it's maximum.

23                    THE COURT: That's what you have. I have some  
24 questions that I really want to get to.

25                    MR. ROSENBERG: Go ahead, Judge.

1                   THE COURT: I just want to summarize a couple of  
2 things, make sure I have --

3                   THE WITNESS: Sure.

4                   THE COURT: -- a clear understanding of what's  
5 going on.

6                   Let's talk just about the crush energy analysis.

7                   THE WITNESS: Okay.

8                   THE COURT: Now let's go to Page 9.

9                   THE WITNESS: Sure.

10                  THE COURT: Now in the very top of Page 9, there  
11 appear to be six variables, L --

12                  THE WITNESS: Theta.

13                  THE COURT: Theta C, A, B and G, correct?

14                  THE WITNESS: Correct.

15                  THE COURT: Are those the only six variables that  
16 you use in calculating delta-v based on the crush energy  
17 analysis?

18                  THE WITNESS: Plus the weight of the vehicle that  
19 eventually come to account under that square root.

20                  THE COURT: So the only other variable was the  
21 weight?

22                  THE WITNESS: The weight, yes, and that's it.

23                  THE COURT: All right. So let's go over this.

24                  THE WITNESS: There are only six parameters  
25 because G is just calculated based on A and B, it's not an

1 independent parameter.

2 THE COURT: G is a function of A and B?

3 THE WITNESS: It's a by-product of A and B, then  
4 we have the mass or weight.

5 THE COURT: Six variables?

6 THE WITNESS: Yes.

7 THE COURT: So we already went over how you  
8 obtained the length and how you effectively doubled it.  
9 Let's go to theta, the angle. Now, when you use 45 degrees  
10 again, just so I'm clear, that's the maximum amount that  
11 you could use to get the highest delta-V?

12 THE WITNESS: Exactly.

13 THE COURT: All right. Now we go to C, the depth  
14 of the damage to the car?

15 THE WITNESS: Yes.

16 THE COURT: And two inches, how did you get that?  
17 From a visual inspection of the photographs?

18 THE WITNESS: When you look at the third  
19 photograph, your Honor, you could see that the front bumper  
20 and the front bumper on the corner underneath the left  
21 headlight is dislodged.

22 THE COURT: Okay.

23 THE WITNESS: And it doesn't show any penetration  
24 to the other part, which is the fender. So it give me  
25 understanding that it couldn't be more than one or two

1                   inches, because of that and because that front headlight  
2                   did not break either, so it could not be more than two  
3                   again. Again I'm exaggerating by the two. As you can see,  
4                   there is no real dent on the bumper.

5                   MR. ROSENBERG: Judge, the front headlight did --

6                   THE COURT: Let me have my shot. I'm the one that  
7                   has to understand this.

8                   So let's go back to L where you put in 50 inches.

9                   THE WITNESS: Yes.

10                  THE COURT: Now you included the scratch that you  
11                  see along the side of the vehicle, the one that he pointed  
12                  out, Mr. Rosenberg, would that measurement change in any  
13                  way?

14                  THE WITNESS: Not this L, but I can use the same  
15                  formula on that length.

16                  THE COURT: Let's say you included the scratch.

17                  THE WITNESS: Yes.

18                  THE COURT: And you wanted to now change L, what  
19                  would you change it to if you included that?

20                  THE WITNESS: That's what I'm saying, we don't  
21                  actually change this L. We just can repeat the same  
22                  calculation for the other surface. So this one is for the  
23                  frontal part, that is on the front surface of the car.  
24                  Let's assume that it was a contact on the side, first of  
25                  all, the coefficient of stiffness for the side is

1 different, it's much lower. Second, we don't have that two  
2 inches of depth. The only thing that is significant is the  
3 60-inch length of that scratch that I don't have any  
4 evidence that it was part of the accident, but let's assume  
5 that.

6 THE COURT: Let's assume it was.

7 THE WITNESS: If we can do that based on that  
8 60-inch, I have to do the recalculation. But just knowing  
9 that the side of the car, and I have the numbers with me,  
10 much more rigid than the front of that, I know that the  
11 amount of energy would be at least one third or one fourth  
12 of what I have here for the frontal.

13 THE COURT: Let's go to A where you have 330, what  
14 do you call that, pound feet per inch?

15 THE WITNESS: Pound force per inch.

16 THE COURT: Where did you get that?

17 THE WITNESS: A and B are coming from the results  
18 that I just handed to counsel, coming from those studies  
19 done by federal agencies, I just had one page results. A  
20 and B are coming from, those they are recorded at the end  
21 of the study when they inspect the car after the crash.

22 THE COURT: All right. And this is specifically a  
23 Ford Windstar?

24 THE WITNESS: Ford Windstar.

25 THE COURT: All right. And those studies that you

1 relied upon, you deem them reliable?

2 THE WITNESS: Absolutely.

6 THE WITNESS: Yes, your Honor, on a daily basis.

10 THE WITNESS: Yes.

11 THE COURT: All right. So once you determined  
12 these variables, you do the math and you come up with the  
13 delta-v. correct?

17 THE COURT: So that takes care of --

18 THE WITNESS: Crush energy.

19 THE COURT: Now let's go to momentum analysis.

20 Tell me all the variables that you considered in coming up  
21 with your calculations based on momentum analysis.

22 THE WITNESS: Weights of both vehicles, closing  
23 velocity, which is the difference between the speed of them  
24 at the time of impact, not the exact speed, whatever is the  
25 difference between the two velocities at the point of

1 impact. And E, right underneath closing velocity, you can  
2 see a little E at .103, that's coefficient of restitution  
3 that I just explained showed elasticity of the accident and  
4 can be calculated using the closing velocity, which I did.

5 THE COURT: All right. And just very briefly go  
6 over again how you came up with the closing velocity.

7 THE WITNESS: Based on the fact that Ford Windstar  
8 has frontal air bags, I assumed and I think it's a  
9 reasonable assumption, that the air bag -- we know that the  
10 car has air bags, that's a fact, I assumed it was  
11 functioning and it did not deploy based on the testimony of  
12 the Plaintiff, she was in the car, no air bag deployed.

13 THE COURT: Are there any air bags that would not  
14 deploy at ten miles an hour and greater?

15 THE WITNESS: Again, there is a range, your Honor,  
16 nine to 15. There is a possibility that it deploys at 12  
17 or 13 or 15. But it has an algorithm that calculated over  
18 a fraction of second based on the impulse, which has  
19 something to do with the weight of the cars and the ratio,  
20 so it sends it --

21 THE COURT: What made you come up with the closing  
22 velocity that you used in this case?

23 THE WITNESS: Again, since the Windstar is way,  
24 way lighter than the truck, I should go with nine actually,  
25 with the lowest number.

1                   THE COURT: Now let's just assume for argument's  
2 sake that you went with 15, what is that, the maximum  
3 standard allowed?

4                   THE WITNESS: Yes.

5                   THE COURT: How would that affect your  
6 calculation?

7                   THE WITNESS: Instead of 9.6, perhaps we would  
8 have 11 or 12 miles per hour.

9                   THE COURT: And if it was 11 or 12 miles an hour,  
10 would your opinion change in any way?

11                  THE WITNESS: No because still in the range that  
12 doesn't deliver excessive amount of load or cause going  
13 beyond the physiological range of motion of the spine, so  
14 it wouldn't matter, no.

15                  THE COURT: Now, at the end of the day, correct me  
16 if I'm wrong, what you did is you calculated the delta-V  
17 and then you rendered an opinion that given the delta-V and  
18 given the mechanics of what happened to the Plaintiff  
19 inside the car at the time of the collision, that her  
20 various injuries could not have been sustained, correct?

21                  THE WITNESS: That's correct.

22                  THE COURT: All right. Now what if she had  
23 preexisting conditions, would that affect your analysis in  
24 any way? Let's assume, for example, that she had  
25 degenerative disk disease and she was not healthy and her

1                   spine wasn't as healthy as someone who would fall within  
2                   the normal range.

3                   THE WITNESS: Actually I believe that was the  
4                   case, that she was not healthy based on the doctors who  
5                   read the MRIs, I didn't see the patient. So I believe that  
6                   she had those preexisting conditions. But when I came up  
7                   with the numbers, the numbers are following the range of  
8                   activities of daily living. For example, the amount of  
9                   force on her lumbar spine, which is the lower back, was  
10                   comparable to amount of force that if she would bend over  
11                   to grab two gallon of milk at the same time. If she can  
12                   get disk herniation from grabbing two gallons of milk at  
13                   the same time in a grocery store, then she has more serious  
14                   problems than this accident. So the numbers are falling or  
15                   very close to the numbers comparable to activities of daily  
16                   living and those activities of daily living, in general,  
17                   for people even with degenerative disk disease do not  
18                   create disk herniation in multiple levels.

19                   THE COURT: Now you just told us an opinion about  
20                   that, is that based on any study that you know of?

21                   THE WITNESS: I have the studies, your Honor.

22                   THE COURT: You have studies with people with  
23                   degenerative conditions or just studies as to normal  
24                   population?

25                   THE WITNESS: I do have studies showing the effect

1 of degeneration of disk on the mechanics and mechanism of  
2 the disk, explaining that what happens to degenerative  
3 disk, it's less flexible because it is using some water  
4 basically and it becomes less elastic, less flexible.

5 MR. ROSENBERG: I didn't hear what study he was  
6 referring to.

7 THE COURT: You're going to get another shot.

8 MR. ROSENBERG: Okay.

9 THE WITNESS: Based on the studies that I have,  
10 the mechanism to create disk herniation as a result of one  
11 single load is when we have damage to structures near to  
12 disk, such as bone and other articulated joints. And there  
13 was nothing recorded by the doctor, again I'm relying on  
14 what they have found.

15 THE COURT: And just a couple last questions. The  
16 calculations that you used in your crush energy analysis,  
17 are principles that are commonly accepted in the field of  
18 biomechanical engineering?

19 THE WITNESS: Absolutely.

20 THE COURT: And same question with respect to your  
21 analysis concerning momentum analysis.

22 THE WITNESS: They both coming directly from the  
23 textbooks, your Honor.

24 THE COURT: Go ahead, Mr. Rosenberg.

25 CROSS-EXAMINATION

1 BY MR. ROSENBERG: (Continued)

2 Q Doctor, the air bag deployment in the Windstar --

3 A Yes.

4 Q -- was the Windstar, that Windstar that was involved in  
5 this accident, did it have an air bag in it?

6 A It did.

7 Q How do you know?

8 A I believe we have the VIN number of the car.

9 Q Do you know, Doctor? Just because the VIN number says  
10 it came equipped with that, do you know if it was still in  
11 there?

12 A There is no reason for me to believe otherwise.

13 Q I didn't ask that, I just asked very simply do you know  
14 if it was in the car --

15 A I believe it was.

16 THE COURT: Just so we don't waste a lot of time  
17 on this, is your belief that there was an air bag in the  
18 vehicle based on your knowledge as to what those vehicles  
19 were equipped with?

20 THE WITNESS: Yes, your Honor. Manufacturers told  
21 us that they put air bag in the car and I believe that it  
22 was still there. I didn't have any reason to believe  
23 otherwise.

24 THE COURT: So unless it was removed before the  
25 accident, you believe it was there --

1 THE WITNESS: Yes. Somebody disabled that maybe,  
2 I don't know.

4 A No, I don't.

5 Q Do you know whether or not if it was in the vehicle,  
6 whether it was working properly or not?

7 A Again I don't know that.

8 Q Okay. Doctor, the 60-inch long, that you used, that  
9 word, 60 inches long damage to the driver's side of the vehicle,  
10 you would agree with me that an impact with the truck, based on  
11 what you know, that would have been -- both vehicles would have  
12 been perpendicular to each other at that point to cause that  
13 damage, correct?

14 THE COURT: Parallel.

15 MR. ROSENBERG: I'm sorry, parallel.

16 A Exactly parallel, I agree with that.

17 Q Yes.

18           A     If it happens with any other vehicle, it has to be  
19 parallel, yes.

20 Q Doctor, I want you to assume that the damage came from  
21 this accident on the driver's side.

22 A Let's assume that.

23 Q You would agree with me that that would change the  
24 component for angle on Page 9 in your calculation, correct?

25 || A I don't agree with that. If you assume that those

1 damages that we see on the side are as a result of the impact,  
2 it's going to be on top of the damage, front.

3 Q I didn't ask that. I asked very simply the angle of  
4 the Windstar and the angle of the truck --

5 A I was answering your question.

6 Q -- would have been parallel?

7 A It would change, no, it would change, that's what I'm  
8 saying. They made contact and after that the angle would change  
9 afterward, they became parallel.

10 Q Well, Doctor, how do you know that the impact to the  
11 front of the vehicle occurred first before the impact to the  
12 side of the vehicle? Do you know that?

13 A First of all, I don't believe that the side was damaged  
14 as a result of this impact.

15 MR. ROSENBERG: Judge --

16 A You asked me hypothetically.

17 THE COURT: Just for purposes of the question,  
18 assume that it was.

19 THE WITNESS: If I assume that, it's going to be  
20 actually sideswipe collision based on the length and lack  
21 of any depth and it's very uniform, 50, 60, 70 inches,  
22 whatever it is, it's very uniform, completely parallel.

23 THE COURT: Like you said, if the 45-degree impact  
24 occurred first, then --

25 THE WITNESS: Eventually they become parallel. If

1                   we assume, so you would add it to the front. It wouldn't  
2                   actually remove the frontal part anyway.

3                   MR. GREENWALD: And the 45-degree again is the  
4                   maximum force. Why is he going around and around on this  
5                   for?

6                   Q     Doctor, yes or no, do you know whether or not the  
7                   impact to the left corner of the vehicle happened first or  
8                   second with respect to the impact, the damage to the driver's  
9                   side, that 60-inch long --

10                  MR. GREENWALD: Objection. No factual foundation  
11                  whatsoever.

12                  THE COURT: Tell us. You don't know, you don't  
13                  have personal knowledge one way or the other?

14                  THE WITNESS: I believe that only one impact  
15                  happened to the car, that was the left front of it.

16                  Q     Doctor, point to one study anywhere in there that says  
17                  that by changing the angle, okay, doesn't change the delta-V?

18                  THE COURT: He didn't say that, Counsel.

19                  Q     Well, Doctor, you would agree with me that the angle  
20                  that you use, that 45-degree angle --

21                  A     Yes.

22                  Q     -- that was an estimation, correct?

23                  A     I agree.

24                  Q     Okay. Doctor, if it was more than that angle --

25                  MR. GREENWALD: It's really idiocy, your Honor.

1 THE COURT: Please, please.

2 Q Doctor, if it was more than that, the angle, that would  
3 change the delta-v, correct?

4 A It couldn't be more than 45.

5 Q I didn't ask you that. I asked you if it were more.

6 A It couldn't be more, you are asking the impossible.

7 Q Would it change if it was more, yes or no?

8 A It wouldn't be more than 45 degrees.

9 MR. ROSENBERG: Judge, that's not my question.

Q If the angle was more than 45 --

13 THE WITNESS: I agree with that.

14 MR. ROSENBERG: So why is he not answering that?

15 THE COURT: He's answering that.

16 MR. ROSENBERG: No, he's not.

17 THE COURT: All right. Go ahead.

18 Q Doctor, tell us what damage happened underneath the  
19 bumper to this particular vehicle?

20 A Do we have photographs of underneath? It's not part of  
21 the crush energy analysis anyway, your Honor. I'm going to take  
22 a look at the photos.

23 Q Doctor, do you know whether or not there was a  
24 four-inch crush underneath the bumper to any parts of the  
25 vehicle behind the bumper?

1 MR. GREENWALD: Objection. No factual foundation  
2 yet again.

3 THE COURT: What is the question again, Counsel?

4 Q What damage was sustained behind the bumper to the  
5 vehicle?

6 A For the crush energy analysis, your Honor, you use  
7 observed damage, what you can see; it has nothing to do with  
8 underneath and I don't know what's underneath because I didn't  
9 need to know and I don't.

10 MR. ROSENBERG: What's the answer, Judge?

11 THE COURT: He doesn't know.

12 MR. ROSENBERG: That's what I thought.

13 Q Doctor, can you point to one study that you have  
14 regarding people with degenerative disease that was conducted,  
15 that were involved in accidents, and their outcomes, motor  
16 vehicle accidents?

17 A I have studies showing effect of degeneration on the  
18 function of the disk, not necessarily in a car accident.

19 Q I 'm sorry?

20 A Not necessarily in car accident, but changes. There is  
21 not, Counsel, I explained to you, there is not one article to  
22 explain everything at once.

23 MR. ROSENBERG: Judge, can he just answer the  
24 question. Either there is or there isn't.

25 THE COURT: A specific study that dealt with

1                   delta-V and its effect on people with degenerative disk  
2                   disease?

3                   MR. ROSENBERG: Yes.

4                   THE WITNESS: There is not such a thing,  
5                   your Honor.

6                   THE COURT: He knows of no such study.

7                   THE WITNESS: No.

8                   Q     Doctor, would you agree with me that someone in a  
9                   grocery store with degenerative disk disease that's performing  
10                   daily activities of living can lift a package up and sustain a  
11                   herniated disk?

12                  A     It is possible.

13                  Q     Okay. So, is it your testimony that someone that  
14                   twists wrong in a vehicle could not sustain a herniated disk?

15                  A     See --

16                  Q     Yes or no?

17                  MR. GREENWALD: Objection. There is no factual  
18                   foundation.

19                  THE COURT: I understand where he is going with  
20                   this. Let him go. Go ahead.

21                  Q     Yes or no?

22                  A     It's not yes or no answer. It may happen but it  
23                   requires more than just that, not any accident.

24                  THE COURT: It may happen, there is your answer.

25                  Q     Doctor, do you know whether or not that happened in

1 this case, when she twisted and hit the door of the vehicle and  
2 the dashboard?

3 MR. GREENWALD: No such testimony, your Honor.

4 MR. ROSENBERG: There is testimony.

5 MR. GREENWALD: There is no such testimony.

6 THE COURT: All right, look, look, look. Assuming  
7 that she twisted inside the vehicle and hit the dashboard  
8 and then the door, so assume that to be true, what's your  
9 question?

10 Q Could she have sustained a herniated disk from that?

11 A No.

12 Q Okay. Show me the study that says that someone can  
13 sustain a herniated disk from twisting in a car after hitting  
14 this door and the dashboard.

15 MR. GREENWALD: While she is wearing a seat belt?

16 MR. ROSENBERG: Yes.

17 Q Show me a study.

18 A You have to show me a study that shows by twisting with  
19 a seat belt can cause it --

20 Q Doctor, you would agree with me that there was  
21 testimony that you read that the Plaintiff hit the dashboard,  
22 correct?

23 A That's her testimony.

24 Q And you would agree with me that there was testimony  
25 that you read that the Plaintiff came into contact with the

1 door, her passenger's side door, correct?

2 A Yes.

3 Q Would you agree with me that -- strike that.

4 I want you to assume she hit the dashboard and the  
5 door, I want you to assume that, is it your testimony that those  
6 impacts with the door and the dashboard would not in any way  
7 cause a herniated disk?

8 MR. GREENWALD: While she was wearing a seat belt?

9 MR. ROSENBERG: No, that's not my question.

10 MR. GREENWALD: That's her testimony, which you  
11 don't want to include in there.

12 THE COURT: Let him answer the question.

13 Go ahead, Doctor.

14 A I believe even if we assume that, which I can't assume  
15 because it's not consistent with the physical facts and  
16 evidence, it wouldn't cause, because the doctor that you  
17 referred to couldn't find any traumatic findings in the MRIs,  
18 it's as simple as that.

19 Q I didn't ask that.

20 A You asked me if I believe --

21 Q That's not close to what I asked.

22 MR. GREENWALD: That was perfectly responsive,  
23 your Honor.

24 THE COURT: You got a new theory.

25 MR. ROSENBERG: It's not a new theory.

1 THE COURT: Not a new theory, but a failsafe.

2 Q Doctor, for the coefficient of restitution --

3 A Yes.

4 Q -- what baseline number did you use for that in your  
5 calculation? Take it out, look at your report, what number did  
6 you use that went into your calculation?

7 || A .103.

8 Q Doctor, is there a reason why you used a different  
9 number on Page 9 when you were figuring that out?

10 A It has to be a typo because restitution is going to be  
11 the same for both.

12 Q Doctor, you would agree with me that that typo affects  
13 your calculation, correct?

14           A     I don't know, I have to check. But look at this, look  
15 at this.

16 Q Doctor, yes or no?

17 A It could, I agree, it could.

18 Q Would it affect your calculation?

19 A It could, but look at this. I'm using a bigger number,  
20 so the numbers are exaggerated by mistake.

21 THE COURT: Would it affect this calculation?

22 THE WITNESS: Yes, it would change.

23 MR. ROSENBERG: Judge, he is using different  
24 numbers for the coefficient of restitution, it's not even  
25 the same.

1                   MR. GREENWALD: Bigger numbers, your Honor.

2                   THE WITNESS: I used bigger numbers if it's just  
3                   typo when transferring from the Microsoft Excel.

4                   THE COURT: Off the record.

5                   (Whereupon, an off-the-record discussion was  
6                   held.)

7                   THE WITNESS: On Page 9 I used a higher number so  
8                   if I put back 103, it would be even less than 9.8 miles per  
9                   hour, maybe by decimal, maybe it would be 9.7. But yes, it  
10                  would change, the short answer to your question, yes, it  
11                  would change.

12                  THE COURT: Doctor, we want to get out of here, I  
13                  recommend the short answers.

14                  THE WITNESS: I will do my best.

15                  THE COURT: The best answer of all is a yes or no  
16                  at this time.

17                  MR. ROSENBERG: I'm almost done, I'm at the end.

18                  Q      Doctor, I know that your attorney asked on direct  
19                  whether or not this Court --

20                  A      He is not my attorney, by the way, I'm sorry.

21                  MR. GREENWALD: Thank you for that, Doctor, thank  
22                  you.

23                  Q      The lawyer that prepared you for your testimony  
24                  today --

25                  THE COURT: All right, whatever.

1 MR. GREENWALD: I resent that, cheap shot.

2 A Mr. Greenwald, he has a name, let's go with that.

3 THE COURT: Mr. Greenwald.

4 Q Doctor, Mr. Greenwald asked you whether or not this  
5 Court, you have testified here before, before this judge?

6 A Yes.

7 Q How many times were you precluded from testifying  
8 before this judge?

9 A Before Judge Sweeney, never.

10 Q That's not true. If I told you that the man that was  
11 sitting here before in this courtroom earlier, on his case you  
12 were precluded from testifying, did you know that?

17 MR. GREENWALD: Let the record reflect that's yet  
18 another cheap shot.

19 MR. ROSENBERG: We are going to get to this.

20 MR. GREENWALD: More cheap shots.

21 Q How many other cases have you been precluded from  
22 testifying?

23 A I believe I have been precluded three times and one of  
24 them was reversed by the appellate court.

25 Q Do you remember the names of those three cases that you

1 were precluded from?

2 A I definitely remember the one that was reversed, it was  
3 Gonzalez.

4 Q What was the name of that case?

5 A The name of the Plaintiff was Gonzalez and I'm trying  
6 to think of two others.

7 Q That case that was reversed, was that in New York?

8 A Yes.

9 THE COURT: Off the record.

10 (Whereupon, an off-the-record discussion was  
11 held.)

12 THE WITNESS: I was precluded with Judge Silber  
13 once and the case was Singh, I believe. I can't remember  
14 the first one, it was in Staten Island.

15 THE COURT: So in your entire history as an expert  
16 in biomechanical engineering, you were precluded on three  
17 occasions?

18 THE WITNESS: Yes, your Honor, unless it was  
19 something like what you mentioned that I didn't even know  
20 about that being precluded because like the photos were not  
21 exchanged. When I went through the Frye hearing, I only  
22 precluded three times.

23 Q Just one other question, Doctor. The truck that was  
24 involved -- actually a couple of questions. The truck that was  
25 involved in this accident, do you know what damage was sustained

1 to the truck?

2 A You asked me before, I said I don't know.

3 Q Okay. And Doctor, do you know the extent of the damage  
4 that was sustained?

5 A I said I don't know.

6 MR. GREENWALD: Your Honor, that's the same  
7 question.

8 Q Do you know the length of the damage that was  
9 sustained?

10 MR. GREENWALD: Again it's the same question.

11 A I don't know.

12 THE COURT: You don't know anything about the  
13 damage, correct?

14 THE WITNESS: That's correct.

15 Q Doctor, the weight of the truck that was involved in  
16 this accident, what was the weight of that truck at the time  
17 that this accident happened?

18 THE COURT: Well, we went through the weight,  
19 Counsel.

20 MR. ROSENBERG: No, no, no, I didn't ask him that  
21 specific question.

22 THE COURT: I understand. He doesn't know the  
23 exact weight. He used the maximum weight that's allowed on  
24 the road for this truck, right?

25 THE WITNESS: Correct.

1       Q     The maximum weight that you used, that would be the  
2 gross vehicle weight, correct?

3       A     Correct.

4       Q     Do you know what this vehicle -- when you say the gross  
5 vehicle weight, is that something that you observed from a  
6 website of the Freightliner? Where did you get that number  
7 from?

8       A     From somewhere, I don't remember where it was coming  
9 exactly. You have like my citation. It was a website that had  
10 information about the Freightliner, that make and model.

11      Q     Do you know whether or not that vehicle -- what the  
12 weight -- Can you give us any idea what the weight of that  
13 vehicle was at the time that this accident happened?

14            MR. GREENWALD: Your Honor, that is right in his  
15 report and I brought it out on direct.

16            THE COURT: Again, Counsel, he doesn't know the  
17 weight. He used a figure.

18            MR. ROSENBERG: Judge, I know he used a figure.

19            MR. GREENWALD: Fully loaded with gas, full  
20 occupancy, full, I mean, it's the maximum. Again, you  
21 know, he doesn't know so he used the maximum.

22      Q     Doctor, do you know what the vehicle was carrying?

23      A     What?

24      Q     Do you know what was in the vehicle, what was in it?

25      A     I don't know.

1       Q     Do you know what the weight of the driver of the  
2 vehicle was?

3       A     I don't know.

4       Q     Do you know how many people were in the truck?

5       A     I don't know.

6       Q     Do you know in the minivan, the Windstar, do you know  
7 if there was a load in the back of that Voyager -- that  
8 Windstar?

9       A     It would just make it heavier.

10      Q     I didn't ask that. I just asked you do you know --

11      A     I assumed there was nothing in the car except two  
12 occupants.

13      Q     I'm sorry?

14      A     I assumed there was nothing, no equipment, no extra  
15 weight, just because it would make it heavier and less prone to  
16 the damage and to energy so I assumed the lightest weight  
17 possible.

18      Q     I didn't ask you that. Thank you for volunteering.  
19 Let's talk a little bit about that.

20            MR. GREENWALD: Again, your Honor, he gave every  
21 benefit of the doubt to the Plaintiff.

22            THE COURT: Let's get this over with, it's getting  
23 late.

24      Q     Doctor, is it your testimony that the weights of the  
25 vehicles are not used in components of your calculations?

1 A I use the reasonable estimate of the weight.

2           Q     So the answer is yes, you did use the weights in part  
3 of your calculation, correct?

4                   A           I sure did.

5 Q Okay. So if the weights were wrong in what you used in  
6 your calculation, that would of course affect the result,  
7 correct?

8 A That's correct.

11 MR. GREENWALD: Off the record.

12 (Whereupon, an off-the-record discussion was  
13 held.)

14 || REDIRECT EXAMINATION

15 BY MR. GREENWALD:

16 Q You did an injury analysis, Doctor?

17 A Yes.

18 Q And could you tell us from a biomechanical viewpoint,  
19 tell me the components of your injury analysis?

20 A Sure. Two major components of injury analysis to  
21 understand the magnitude of force and the mechanism involved in  
22 the accident, for those specific parts. Force comes from the  
23 accident analysis or accident reconstruction and delta-V. And  
24 mechanism comes from the movement of person inside, being  
25 belted, moving at angle, going far. I believe she didn't make

1 contact with the dashboard, even her testimony if she did, going  
2 back, making contact with the seat back and the door, the  
3 passenger's door, it didn't create significant amount of motion  
4 for her body and for her spine to go beyond what she could  
5 tolerate on a daily basis in activities of daily living. As  
6 such, we didn't have enough force, we didn't have the right  
7 mechanism to create disk herniation, multiple disk herniations  
8 in the absence of damage to adjacent bony structures as recorded  
9 by board certified radiologists. Without any acute traumatic  
10 findings in those MRIs, it's physically impossible to have  
11 injury as a result of this impact.

12 MR. ROSENBERG: Judge, I move to strike the entire  
13 part of his answer, it's not responsive to even the  
14 question.

15 THE COURT: Next question. I'll accept it and you  
16 can ask a follow-up if you need to.

17 Q And Doctor, are you telling us that were the  
18 herniations or bulging to result from this accident, that there  
19 would also have been damage shown on diagnostic radiological  
20 testing to surrounding structures?

21 A Yes.

22 MR. ROSENBERG: Objection.

23 Q Was there any damage --

24 THE COURT: Overruled.

25 You can answer, go ahead.

1 Q Doctor, based on your review of the reports, the MRI  
2 reports performed of MRI testing of the Plaintiff's spine, did  
3 they reveal any damage in those diagnostic tests which would  
4 have revealed to you that there was sufficient force supplied to  
5 the spine to have herniated the disk or caused the bulge?

6 A They didn't have any, zero findings.

7                   Q        What is edema, Doctor?

8       A     Edema is an accumulation of interstitial water or fluid  
9    as a result of inflammation, trauma or radiation or any change  
10   in function in a structure, any injury.

11 Q If an MRI examination was taken approximately one month  
12 following a traumatic event and there is a claim that the  
13 intervertebral disks were herniated in that accident, there was  
14 sufficient force supplied to the spine to herniate those disks,  
15 would you expect to see edema in the spine and the surrounding  
16 area?

## 17 A Absolutely.

18 Q Was there any edema observed on any MRI film?

19 MR. ROSENBERG: Your Honor --

20                   THE COURT: Why don't you keep him to his  
21                   biomechanical expertise. Are you going to use him as a  
22                   doctor now? You are going to have your doctors. You could  
23                   go into this with your doctors.

24 MR. GREENWALD: Your Honor, this is why I didn't  
25 understand what you said to me before.

1                   THE COURT: No, no, no. You didn't get to the  
2 studies equating delta-V and injuries, that's all I was  
3 talking about, not the whole medical analysis. And by the  
4 way, you are not going to call him for that?

5                   MR. GREENWALD: No, of course not.

6                   THE COURT: All right, fine.

7                   MR. GREENWALD: I'm just trying to comply with  
8 what your Honor felt he was missing from his testimony.

9                   THE COURT: Look, when you finished questioning,  
10 you didn't get into whether or not there was any studies  
11 dealing with delta-V and injury, all right. Now we are  
12 beyond that. His testimony, as I understand it, is the  
13 delta-V that you were subject to was such that it's the  
14 same as everyday forces that you would be subject to in  
15 normal life, all right. So let's leave it at that.

16                   MR. GREENWALD: I'm fine with that, your Honor,  
17 absolutely fine with that.

18                   Q     Doctor, I'm going to ask you one question here and then  
19 I'm going to sit down. When you didn't have specific  
20 information with reference to speed or the weight of the  
21 vehicles, Doctor, the estimates and the angulation of the  
22 impact, the figures that you used, why did you use those  
23 figures, the estimates that you used?

24                   A     First of all, I stick with the numbers that are still  
25 reasonable. If there is an assumption made, it should be

1 reasonable. If there is some sort of estimate, it has to be  
2 reasonable. At the same time, for the numbers that I didn't  
3 know and I was making estimations and assumptions and  
4 presumptions, I aimed for the worst-case scenario in the favor  
5 of Plaintiff just to make sure that I'm not missing any facts  
6 from this case that's going to mislead me to a wrong conclusion.  
7 So all numbers are exaggerated to the point and in favor of  
8 Plaintiff to aim for worst-case scenario and that's basically  
9 what I have done.

10 MR. GREENWALD: Thank you, Doctor.

11 THE COURT: You're welcome.

12 RECROSS-EXAMINATION

13 BY MR. ROSENBERG:

14 Q Doctor, I know we talked earlier about RSD and you said  
15 that your analysis did not include that injury, correct?

16 A Correct.

17 Q Did your analysis include an injury called brachial  
18 plexus injury?

19 A No.

20 Q Do you know what that is?

21 A Yes.

22 Q And were you asked not to comment on that, yes or no?

23 A I was asked to perform a biomechanical analysis, which  
24 I did. Biomechanical analysis requires some information that I  
25 can compare my finding with them. I did not have any finding

1 coming from biomechanical literature in relation of -- in terms  
2 of relation between a car accident and those medical terms that  
3 you mentioned. So I have nothing to compare this accident with  
4 and that's what I stayed away from.

5 Q Doctor, the brachial plexus injury, where did you see  
6 that in your review?

7 A I don't even remember seeing it or maybe I have, but it  
8 wasn't part of my analysis, that's what I'm saying.

9 Q You heard it before today, correct?

10 A Oh, yeah, absolutely. I'm talking about the medicals  
11 here, I'm not sure that I have even seen them in the medical  
12 records.

13 Q And the RSD, you heard that before today in this case  
14 as well, correct?

15 A Again, I'm not sure because it wasn't a goal or an  
16 objective for me, I may have.

17 Q Doctor, did you read Dr. Klein's report that you said  
18 you reviewed?

19 A Yes.

20 Q Okay. Was there a mention of RSD in there?

21 A I didn't see it in my summary. I can take a look again  
22 and make sure that I'm not making a mistake.

23 MR. GREENWALD: Your Honor, let me make this easy.

24 In Dr. Klein's report, Dr. Klein says that she, Ms. Myers,  
25 should go back to her doctor and get checked out to rule

1 out RSD. And on that basis, they served a Supplemental  
2 Bill of Particulars claiming that there was a diagnosis.  
3 And I move to preclude this on that basis.

4 THE COURT: I remember this.

5 MR. GREENWALD: That's not a diagnosis.

6 THE COURT: Any more questions, Mr. Rosenberg?

7 MR. ROSENBERG: I just want an answer.

8 THE COURT: He said no, he didn't consider the  
9 RSD.

10 THE WITNESS: I still can't see here, here in my  
11 summary.

12 THE COURT: So it's not part of your analysis?

13 THE WITNESS: No.

14 Q Doctor, is it your testimony that someone cannot  
15 sustain a herniated disk, even with minimal forces, if they  
16 twist the wrong way, is that your testimony?

17 A Again you are asking very general question. I answered  
18 those questions with regard to this case. I'm not going to --

19 Q Doctor, this is a very simple question.

20 A It's actually a very loaded question.

21 Q Let me try and rephrase it then.

22 A Please do so.

23 Q Can someone sustain a herniated disk from a minimal  
24 type force?

25 A It is possible.

1 MR. ROSENBERG: Thank you.

2 THE COURT: All right. Thank you, everybody.

3 By the way, you are resting?

4 MR. ROSENBERG: I'm sorry?

7 MR. ROSENBERG: This is purely him.

10 MR. GREENWALD: That's it, your Honor.

11 THE COURT: Thank you. You'll hear from me.

13      \*      \*      \*      \*      \*      \*      \*      \*      \*

CERTIFIED TO BE A TRUE AND ACCURATE TRANSCRIPT OF THE  
ORIGINAL MINUTES TAKEN OF THIS PROCEEDING.

*Susie Sanchez-Smith*  
SUSIE SANCHEZ-SMITH  
Senior Court Reporter