

1 SUPREME COURT OF THE STATE OF NEW YORK  
2 COUNTY OF KINGS: CIVIL TERM : PART 91

-----X

3 OSCAR TORRES,

4 Plaintiff, INDEX NO.  
505461/2016E

5 - against -

6 ZHILA RASTEGARMEHR and KEIVAN  
SHIFTEH,

7 Defendants. TRIAL EXCERPT

-----X

8 360 Adams Street  
9 Brooklyn, New York  
10 November 5, 2018

11 B E F O R E : HONORABLE DEVIN COHEN,  
12 Justice (and a jury)

13  
14 A P P E A R A N C E S:

15 WILLIAM SCHWITZER & ASSOCIATES  
16 Attorneys for the Plaintiff  
820 2nd Avenue  
17 New York, New York 10017

18 BY: GEORGE PFLUGER, ESQ., of Counsel

19 PICCIANO SCAHILL, P.C.  
20 Attorneys for the Defendant  
1065 Stewart Avenue  
21 Bethpage, New York 11714

22 BY: ROBERT B. BROWN, ESQ.

23

24

25 Dell Ashby  
Official Court Reporter

1 THE COURT OFFICER: Ready for the jury?

2 THE COURT: Yes.

3 THE COURT OFFICER: Jury entering.

4 (Whereupon, the jury enters the courtroom and the  
5 following occurred:)

6 THE COURT: All right, thanks. You can all be  
7 seated. Welcome back.

8 Just as a program note, it's a little reminder, we  
9 are going to hear from a medical witness today. You  
10 will be off tomorrow. Because of Election Day, the  
11 courts are closed except for criminal arraignments and  
12 one emergency part somewhere, the election part.

13 So, we'll be off tomorrow and then we'll pick back  
14 up on Wednesday when we expect to hear from two doctors.

15 Yes?

16 MR. BROWN: Yes, sir.

17 THE COURT: And then we'll see about what Thursday  
18 is going to look like; okay?

19 All right. Ready to call your next witness, sir?

20 MR. PFLUGER: Yes, sir.

21 THE COURT: Please.

22 MR. PFLUGER: Good morning.

23 THE COURT: Good morning.

24 MR. PFLUGER: Dr. Lerman.

25 THE COURT CLERK: Raise your right hand, please.

1           Do you swear or affirm the testimony you are about  
2           to give will be the truth, the whole truth and nothing  
3           but the truth?

4           THE WITNESS: I do.

5           THE COURT CLERK: In a loud, clear voice, please  
6           state your name and address for the record.

7           THE WITNESS: Vadim Lerman. V-A-D-I-M, Lerman,  
8           L-E-R-M-A-N. And address is 7089 Sheepshead Bay Road,  
9           Brooklyn, New York, 11235.

10          I'm sorry, I'm a little bit under the weather.

11          THE COURT: Everybody here is. And are you a D.O.  
12          or M.D.?

13          THE WITNESS: D.O.

14          THE COURT: Thank you.

15          D R. V A G I M L E R M A N, called as a witness

16          by the Plaintiff, having been first duly sworn by the  
17          Court Clerk, was examined and testified as follows:

18          DIRECT EXAMINATION

19          BY MR. PFLUGER:

20          Q     Good morning.

21          A     Good morning.

22          Q     What is your educational background, please, sir.

23          A     I'm graduated from Binghamton University in 2001  
24          with a biochemistry degree, B.S. in biochemistry. From where  
25          I went on to New York College of Osteopathic Medicine

1 receiving my Doctor of Osteopathy degree.

2 Then, I went into Peninsula Hospital internship  
3 where I was a chief intern, I was nominated to be a chief  
4 intern.

5 From where, another four years of orthopedic  
6 residency as part of Peninsula Hospital which is part of the  
7 North Shore University system which is now the Northwell  
8 system, it's a big conglomerate.

9 And after completing orthopedic surgery residency,  
10 I went on to Beth Israel Spine Institute completing one year  
11 of fellowship in spine surgery.

12 Q What is it when you say "fellowship"? What does  
13 that mean?

14 A Orthopedics is a broad knowledge of all the bones,  
15 ligaments in our body, whether it's pediatric or adult.  
16 Spinal surgery is concentrated only on the regions of the  
17 neck, middle back and lower back.

18 That's all you do for a year. You are seeing  
19 patients with the problems with the neck and the back, the  
20 lower back, whether it's a trauma, degenerative disease or  
21 deformity like something that kids are born with.

22 Since the fellowship, that's the only thing I have  
23 been doing is just spine surgery.

24 THE COURT: Sorry. Where did you say you did your  
25 spine --

1 THE WITNESS: Beth Israel Spine Institute.

2 THE COURT: Beth Israel South, 14th Street?

3 THE WITNESS: Yes. Not anymore, unfortunately.

4 Q So, you did your fellowship in spine surgery?

5 A Yes.

6 Q Did you have to take an exam -- series of  
7 examinations to become -- to do the fellowship training in  
8 spine surgery?

9 A It's a board certification in orthopedics for which  
10 you have to take after completing five years of residency,  
11 you complete a written exam. Once you pass the written exam,  
12 you go on to taking the oral exam where you apply to the  
13 state where it's taken. It's usually either in Chicago or  
14 New Orleans.

15 You have twenty patients, two physicians per  
16 station, that gives you a problem and you have to on the spot  
17 solve the problem, give the answer and treatment plan.

18 Once completing that, you go on to two years of  
19 completing cases which you then submit to the board of  
20 orthopedic surgeons.

21 They review two hundred cases out of which they  
22 pick twenty, for which they come into your office, they  
23 review every single chart on your education, why you did the  
24 surgery, your outcome. And then they want you to do two  
25 cases in the operating room, so two examiners come to your

1 office.

2 Q Are you licensed in New York?

3 A I am licensed in New York?

4 Q Any other states?

5 A New Jersey.

6 Q Okay. Where is your practice, sir?

7 A Our practice is located in multiple boroughs. It's  
8 in Brooklyn, Bronx, Long Island and Manhattan.

9 Q Okay. Do you have admitting privileges to any  
10 hospitals?

11 A Yes. I am an assistant clinical professor at Mount  
12 Sinai School of Medicine. I am the associate director of  
13 spine surgery at Nassau County Hospital or Nassau University  
14 Medical Center, NUMC.

15 And we're actually lucky enough to start a  
16 residency program in the hospital about three years ago where  
17 we teach residents.

18 Q Okay. What kind of practice do you have?

19 A I would say about fifty percent of it is private  
20 practice and fifty percent is because we have a contract with  
21 the hospital, Nassau University Medical Center, so it's  
22 educational.

23 Q So, what kind of patients do you see there?

24 A We see -- fifty percent of our practice is trauma  
25 patients because Nassau University Medical Center is probably

1 one of the biggest Level 1 trauma centers in Nassau county.

2           What it means by Level 1 trauma is the most serious  
3 trauma in Nassau county could be flown into the area by  
4 helicopter. And it's located between four highways, major  
5 highways, so you get to see everything from minor, you know,  
6 fender-bender accidents to plane crashes, actually.

7           Q     Okay. And the types of patients when you speak  
8 about trauma, can you give an example of what the trauma  
9 patients sustain, what kind of injuries?

10          A     In my case, we only deal with spine trauma. We  
11 even had a plane crash, three people survived with burst  
12 fractures of the spine so you have to go in and fix it.

13          Q     Okay. So, at some point you met Oscar Torres;  
14 right?

15          A     Yes.

16          Q     And he came to you as a patient; is that fair to  
17 say?

18          A     Correct.

19          Q     Before I forget, how many times have you testified  
20 in a court like this?

21          A     In my career, about seven times.

22          Q     Have you and I ever met before?

23          A     No. Only, you know, not until we went over the  
24 case.

25          Q     Involving Oscar Torres?

1 A Yes.

2 Q And do you have to take time from your practice;  
3 correct?

4 A Yes. I am not seeing patients today which is my  
5 office hours.

6 Q What is your compensation?

7 A About five hundred dollars an hour.

8 Q Okay. When you talk about you're a spine surgeon

9 --

10 A Yes.

11 Q -- so the type of surgery that you perform on  
12 patients include what?

13 A I mean, just spinal injury, whether it's something  
14 that, again I mentioned, disc problems, or bony fractures, or  
15 degenerative scoliosis, or something like acquired scoliosis,  
16 like kids are born with scoliosis.

17 Q Congenital?

18 A Congenital, correct.

19 Q What kind of surgery, if any, did you perform on  
20 Oscar Torres?

21 A It was performed anterior cervical discectomy and  
22 fusion at C5, C6.

23 Q How many of those fusion surgeries have you done in  
24 your career?

25 A Over a thousand.



1 Q Okay. And with reference to trauma, what types of  
2 events could result in a herniated disc at C5, C6?

3 A Actually, some people come in with weakness in  
4 their arm because they sneeze really hard leading to a  
5 herniated disk. I actually had one of my employees in the  
6 office had that.

7 Some people can strain really hard on the bathroom  
8 toilet seat that can cause a herniated disk.

9 It can be a whiplash injury; it can be a trip and  
10 fall injury; it can be a car accident. It can be a wide  
11 variety of things that can cause a herniated disk.

12 Q I want you to assume that Oscar Torres told us that  
13 on the day of the accident, February 25, 2015, he was driving  
14 his car and felt a heavy impact. His body twisted to the  
15 right and then went forward and back.

16 Now, what significance, if anything, does that have  
17 in assisting you in formulating an opinion as to what caused  
18 a herniation C5, C6?

19 A An unexpected movement that jerks the patient  
20 around, that's usually the thing that causes the problem.  
21 Because patient is not focused, patient's muscles are  
22 relaxed, and any kind of movement as a sneeze comes also can  
23 give you that almost like a whiplash type of mechanism.

24 Q Tell us what comprises a disk at C5, C6.

25 A I mean, any disk in our body comprises of annulus

1 which is like a wraparound, like almost like Saran Wrap. And  
2 then you have nucleus inside that looks more like crabmeat.

3 So, once there is an injury to that Saran  
4 wraparound, that crabmeat -- that crabmeat starts to leak  
5 out.

6 Q Did you say "crabmeat"?

7 A Yes. It looks like crabmeat, correct. That's the  
8 best way explanation.

9 Q When is the first time you saw him?

10 A Do you mind if I refer to my notes?

11 MR. PFLUGER: Before we do that, your Honor, can we  
12 mark this for identification?

13 THE COURT: Sure. You do not have a copy marked  
14 for I.D.; right?

15 MR. BROWN: No.

16 THE COURT: You can mark it for I.D. but you have  
17 to show it to counsel first.

18 THE COURT OFFICER: Plaintiff's 15 for  
19 identification.

20 MR. PFLUGER: Thank you, sir.

21 (Whereupon, Dr. Lerman's chart of Oscar Torres  
22 was marked for identification as Plaintiff's Exhibit  
23 15, as of this date.)

24 THE COURT: Let the record reflect that the witness  
25 is being shown, which is his own exhibit, but it's

1 Plaintiff's 15 for I.D.

2 Q Do you also have a film?

3 A Yes.

4 Q And that's part of your chart?

5 A Yes.

6 Q And what's the date of your film?

7 A 8/28/2013.

8 Q Sir, are these records kept in the ordinary course  
9 of your business?

10 A Yes.

11 Q And is it the business of your office to create the  
12 notes contained in the chart in or around the time you see  
13 the patient, in this case, Oscar Torres?

14 A Yes.

15 Q And is it also the practice that you keep and  
16 maintain those records?

17 A Yes. It's all electronic.

18 MR. PFLUGER: We offer that in evidence.

19 MR. BROWN: No objection, your Honor.

20 THE COURT: Without objection it's accepted in  
21 evidence.

22 (Whereupon, Dr. Lerman's chart of Oscar Torres was  
23 received into evidence as Plaintiff's Exhibit 15, as of  
24 this date.)

25 Q Sir, when a traumatic event happens and there is a

1 herniation, tell the jury what the process is when a disc is  
2 herniated.

3       A     I mean, there is different processes that is going  
4 on. So, as I described to you, we have the Saran Wrap and  
5 crabmeat around there. So, once the Saran wrap tears, it --  
6 depending on the impact, the disc can immediately or the  
7 crabmeat can immediately descend back and push either on the  
8 spinal cord or the nerve ending and you can see immediate  
9 traumatic event. Some people can even be paralyzed if the  
10 trauma of the disc is significant on the spinal cord.

11            It takes usually about two centimeters of height  
12 and two grams of weight for you to paralyzed, that's how much  
13 an impact it takes. If anything hits your spinal cord from  
14 two centimeters up and two grams of weight, you will be  
15 paralyzed. So, if that disk with the impact extrudes or it  
16 comes out immediately and hits the spinal cord, you can be  
17 pretty much paralyzed immediately.

18            If it's just a tear and some disc comes out, people  
19 can immediately have this pain down the arms.

20            And if there is a tear and then the crabmeat starts  
21 to come out, as you move around with time, that can set off  
22 your symptoms by a week or two, a month or two.

23            So, we don't know exactly when it can set off that  
24 kind of symptoms. So, again, it's all patient based so you  
25 can't just say this herniated, this causes the problem right

1 now. It can herniate but cause a problem in two days, yes,  
2 three days, yes, a month, yes.

3 Q I want you to assume that Mr. Torres told us that  
4 on the day of the accident he did not experience any symptoms  
5 that you were just talking about and that over the course of  
6 days he did develop radiating and numbness.

7 What significance, if any, does that testimony  
8 assist you in formulating an opinion about the cause of his  
9 injuries?

10 A Sure. All these symptoms if he never had any  
11 prior -- if he never had any prior symptoms like this before,  
12 then it came after the accident. If that tear occurred and  
13 that disc started slowly moving out as the patient is moving  
14 the neck -- because our disks, the way you describe it is an  
15 air mass bubble that gives it the cushion. So, once you have  
16 the tear in that air mass bubble, the more you're going to  
17 wear off the cushion, the more you are going to have less of  
18 that air bubble and it's actually going to collapse. The  
19 same thing with the discs, it's the air mass bubble.

20 And you can see that we have different regions in  
21 our spine. We have a cervical region in our neck which  
22 consists of the seven vertebrae or eight disks, actually.

23 Then, we have a thoracic which is the middle spine  
24 which consists of the twelve vertebrae.

25 Then, we have the lower back or lumbar spine, it's

1 five vertebrae. And we have discs -- the way you name the  
2 discs is the disc that is located between -- if the disc is  
3 called L1-L2, that's the disc located between L1 and L2. It  
4 makes it nice and simple for us orthopedists.

5           Then, we have our sacrum and then we have our  
6 coccyx which is the tailbone. So, if you have any tears in  
7 your discs and the disc starts to push back, you have your  
8 nerves that exit on each side from a little window that's  
9 called the foramen. And then the disc can actually herniate  
10 all the way back and that's where you have the actual spinal  
11 cord.

12           There are different types of herniation. You have  
13 a central herniation where the disc just pushes on the spinal  
14 cord or you have actually the most settled herniation, it's  
15 the most commonly missed on M.R.I. is the disc herniation  
16 that exits into those little foramen and they actually start  
17 irritating that nerve that gives you innervation to your  
18 upper extremities.

19           The way we describe our nerves, we have our  
20 electrical wiring in your -- here (Indicating), and every  
21 little nerve is that electrical wiring that connects to our  
22 muscles or skin, that either takes the pain and sends it back  
23 to your brain or vice versa, it takes it from here and sends  
24 it back to your arm. It's called the radiating pain.

25           And that's why when people talk to me about neck

1 pain, I'm not very concerned about the neck pain. I'm more  
2 concerned about the symptoms that they describe as  
3 neurological, like pain shooting down my arm. I have  
4 numbness, tingling.

5 Because, unfortunately, you never do surgery for  
6 the neck pain because you're gonna be a very unsuccessful  
7 surgeon. If you do procedure for something -- because the  
8 most important thing in my eyes is prevent any neurological  
9 deficit like permanent.

10 Because the nerve cells in our body are the only  
11 cells that do not regenerate. So, if you miss that threshold  
12 and patient comes in already with weakness, you might help  
13 them not get weaker but you might never be able to restore  
14 that strength back. Because if the nerve cells die out,  
15 that's it, you're done.

16 Q In Mr. Torres' case, how many patients have you  
17 treated where they had a similar -- they didn't have signs  
18 and symptoms immediately, but over the course of days and  
19 weeks they did?

20 A I can't count on my fingers. You see a lot because  
21 people come into the emergency room, they are evaluated there  
22 and then the emergency room sends them to follow up with us  
23 at spine surgery. So, initially they had no complaints at  
24 the time of the injury and then once they get to the office,  
25 you already see the problems.

1 Q It's a progression of pain, and numbness, and  
2 tingling?

3 A Yes, absolutely. As I described.

4 Q You have the 2013 M.R.I. --

5 A Yes.

6 Q -- as part of your records?

7 A Yes.

8 Q And we have it in evidence. You also have the  
9 2015?

10 A Yes. It's on-line, actually.

11 MR. PFLUGER: May I have both, please, sir.

12 MR. BROWN: Did he say on-line?

13 THE COURT: He said we have it on-line.

14 Q Sir, we've marked an exhibit in evidence as  
15 Plaintiff's 14.

16 What are we looking at right there?

17 A This is the 2015 M.R.I.

18 Q Yes. And that's Plaintiff's 14.

19 A Can I stand up?

20 THE COURT: Yes, sir, you can step down.

21 THE WITNESS: May I see the model for a second so I  
22 can explain it a little bit more?

23 (Model handed)

24 A (Continuing) So, we have two sets of M.R.I.'s.

25 M.R.I. is magnetic imaging. When you come in we have



1 portions that spin around and they take the image of the soft  
2 tissue, so it's not really so much for the bony images. Bony  
3 images is x-rays and CAT scans; M.R.I. is meant for the soft  
4 tissue. And a lot of people have misconception you can get  
5 radiation from M.R.I. There is absolutely none from M.R.I.

6           There are two views. This is the axial view and  
7 then we have a sagittal view.

8           This is the view looking from the side like this  
9 (Indicating) and the light comes from left to right.

10           And then we have our view that we're looking into  
11 the canal. So, this is the view that we're looking at like  
12 this and we're looking at it inside the canal. (Indicating)

13           Q     Top to bottom?

14           A     Yes. And basically, if you take a salami and you  
15 take slices of it, this is exactly what we're looking at.

16           So, looking on the side, this is your brain.  
17 (Indicating) From the brain stem you have a spinal cord that  
18 runs down.

19           Looking at this imaging, you can see the spinal  
20 cord is not touched by anything in the front or in the back,  
21 you have a nice straight line. This is the exact space you  
22 need for your spinal cord.

23           But, as I mentioned to you before, there are  
24 different types of disc herniation. There is disc  
25 herniations that are central that you can see pushing back

1 out into the canal and pushing on the spinal cord. Or, we  
2 have the view that we're looking inside and we actually can  
3 see these little nerve endings exiting on each side.

4 (Indicating)

5 So, this is the window that the nerve exits. But,  
6 you can see that there is no -- this is the spinal cord. I  
7 call it the sunny-side-up egg. You have a yolk in the middle  
8 and the egg white around it. And then we have the disc which  
9 should be nice and round.

10 And in this case, we can see these sharp edges on  
11 both sides where the nerve root exits. This is your left  
12 side, this is your right side. (Indicating)

13 In your case, you can see the left side is a little  
14 more open, I can put the pen through here. (Indicating)

15 On the right side, you can see there is a sharp  
16 edge right here and there is a sharp edge right here.

17 (Indicating) If I put my pen here (Indicating), it would not  
18 be able go through.

19 And this is the exact disc herniation we are  
20 talking about, a foraminal disc herniation more to the right  
21 side that's pushing on the nerve or electrical wiring that  
22 shoots down into your muscles, your biceps, which comes out  
23 and innervates your biceps and the brachial radialis. So, it  
24 gives us the extension of our wrist and the flexion of our  
25 arm and forearm.

1           So, it's directly involved with the symptoms the  
2 patient was describing. So, it's not only just seeing the  
3 M.R.I. itself, it's also doing the physical exam as well and  
4 talking to the patient as to where the symptoms are coming  
5 from.

6           Q     So, what level is that?

7           A     We're looking at C5-C6 level.

8           So, if you take a look, there is C2, C3, C4 and C5.  
9 And I mentioned to you guys that the discs are named at which  
10 level they are located between. So, in this case it's C5, C6  
11 level and the disc is called C5-C6.

12          Q     Now, what is clinical correlation?

13          A     In our case, clinical correlation is the physical  
14 exam and the X-rays or the pictures.

15          Q     And the first time you saw him was what?

16          A     It was April 4, 2016.

17          Q     And what complaints, if any, did he make to you?

18          A     He complains of neck pain with bilateral upper  
19 extremity pain, and back pain, bilateral lower extremity  
20 pain, and numbness and tingling sensation.

21          Q     Once again, what significance, if any --

22          A     (Continuing) Sorry. And sharp pain, sharp pain in  
23 my description.

24          Q     What significance, if any, does numbness and  
25 tingling have?

1           A     The disc damaged that the electrical wiring. There  
2     is interference with the signal that is sent from your brain  
3     to your muscle.

4           Q     And you had your -- the first office visit, April  
5     4th?

6           A     Yes.

7           Q     Tell us what the chief complaint was.

8           A     Patient presents today with neck and back pain.

9           Q     And what's S1, the paragraph that says Oscar  
10    Torres.

11          A     It's history of present illness. Patient describes  
12    what happened to him.

13          Q     And what about prior treatment? Before he got to  
14    you on April 4, 2016, what, if any, treatment did he get?

15          A     Yes. Actually, patient had extensive physical  
16    therapy for about one year and four months and he already had  
17    a cervical epidural injection which did not help him.

18          Q     And you did write denies history of trauma?

19          A     Correct.

20          Q     I want you to assume that Mr. Torres told us that  
21    he did have a prior accident in 2013.

22          A     Correct. We are aware of that.

23          Q     And I want you to assume that he went to Third  
24    Avenue Medical on November 5, 2013. He was discharged from  
25    that facility when he came in with no complaints of pain, no

1 numbness or tingling, full range of motion.

2 A Right.

3 Q What significance does that have for you, if any,  
4 in determining whether the 2015 accident caused those  
5 injuries that you just performed an operation on?

6 A It's not contributory at all.

7 Q Tell us what -- if you noted the patient's neck  
8 pain. What was the level?

9 A Neck pain was nine on a scale from one to ten; one  
10 being no pain, ten be the worse pain of your life. And eight  
11 is severe so nine is beyond severe.

12 Q And what about back pain?

13 A The back pain was eight so it was severe.

14 Q And what did he state -- you wrote "patient states  
15 pain is the same since the accident."

16 A Correct.

17 Q "Symptoms are aggravated by prolonged sitting,  
18 standing, walking and laying."

19 A So, basically he is describing the pain is  
20 constant.

21 Q And you noted one cervical epidural injection with  
22 no relief. What does that entail? What is a cervical  
23 injection?

24 A Basically, the pain management doctor who does the  
25 injections, we have to allocate the level which is usually

1 between C7 and C1, which is the most prominent spinus process  
2 and the most easiest to locate, and has the most amount of  
3 space for the needle to get in.

4 And usually, the injection is given with the  
5 steroid around this level right here, C7 and C1. (Indicating)  
6 And the steroid enters into the spine to our nerve. So, it  
7 basically doesn't take care of the actual disc herniation,  
8 just the inflammation around the neck.

9 Q Okay. So, you looked at the 2018 M.R.I. -- 2013.

10 A Yes.

11 Q Can you just step down and show us the cervical  
12 regions in the M.R.I. from 2013 that's in evidence.

13 A Yes. It's right there on the shadow box.

14 So, the same exact picture -- can everyone see?

15 (Jurors nod heads)

16 A (Continuing) We're looking at exactly the same  
17 picture that we see here. This is done in 2013. And we can  
18 see how nice and clean that white line in the front and white  
19 line in the back, it demonstrates there is nothing pushing on  
20 the spinal cord.

21 So, again, but we looking for that foraminal disc.  
22 And now looking at the other view, the foraminal view, so we  
23 get to the level which is right about here. (Indicating) And  
24 you can see a big difference how nice and round this area is  
25 and then you can see how the nerve on each side exits.

1 (Indicating)

2 But, the most important is that round disc, we  
3 don't see these sharp edges on both ends.

4 Q Which film is that?

5 A This is, uhmm -- it's the second one. One, two.  
6 It's the second one from the third row.

7 Q Now, do you have sagittal view?

8 A Yes. I showed the sagittal view before. This is  
9 the axial. This is the main view that we need to look at.

10 Q And how would you describe Oscar Torres' spine?  
11 And what's the date of that?

12 A This is 8/28/2013.

13 Q When you look at his spine, sagittal and axial,  
14 tell us what you found globally?

15 A Globally, I didn't see any disc herniations. I  
16 didn't see any degenerative changes, meaning, arthritis. He  
17 is a young man, at that time, thirty year old. You wouldn't  
18 have arthritis.

19 And on top of that, you don't see any disc  
20 herniations which is the most important thing.

21 Q Okay. I want you to assume that the defendant  
22 called a radiologist Arthur Trout. Agree or disagree that  
23 there is -- with Dr. Trout that there was no herniation at  
24 C5-C6 in the 2013 film?

25 A Correct.

1 Q Now, in the 2013 M.R.I., do you see any  
2 degenerative changes going on in the spine?

3 A Absolutely not, no.

4 Q Thank you, sir.

5 So, you have a past medical history on that April  
6 4, 2016 office visit.

7 A Mm-hmm.

8 Q And you wrote "Has never been diagnosed with  
9 significant problems."

10 What does that mean?

11 A There is no preceding problems to what we're  
12 dealing with right at that particular moment or any issues  
13 that he takes medications for or had surgery for.

14 Q And sir, I want you to assume that Mr. Torres told  
15 us that after the 2013 accident he was out -- he stayed of  
16 work about four days and went back full duty after that, up  
17 until February 25, 2015, the day of the accident that we're  
18 involved with today.

19 A Okay.

20 Q So, when a patient comes to your office, you took  
21 the history and what is the next thing you did?

22 A Physical exam.

23 Q And why do you do that?

24 A Because again, I'm correlating the findings on the  
25 film with what I can find in the human being, physical exam.



1 If there is any emergency weaknesses, or severe numbness, or  
2 decreased reflexes, it can turn from just a regular visit to  
3 an emergency visit.

4 Q And what was your finding with reference to  
5 correlating the films to your examination?

6 A Patient had decreased range of motion in his neck.  
7 Patient had tenderness in his neck. Luckily, he still normal  
8 strength and normal sensation.

9 And let me just remind myself.

10 (Witness perusing documents)

11 A (Continuing) And that's pretty much it.

12 Q Tell us, you did a range of motion; right?

13 A Yes.

14 Q So, tell us what the range of motion was, what your  
15 findings were in your range of motion exam?

16 A On flexion he had more or less decent range of  
17 motion. It's usually sixty and he had fifty degrees, so just  
18 about ten degrees loss of flexion, forward flexion.

19 Extension, he lost about fifty percent of his range  
20 of motion which is normally sixty degrees and he had only  
21 thirty.

22 Right lateral flexion or side bending, usually  
23 about fifty degrees and he had about twenty-five degrees, so  
24 he lost about fifty percent of that range of motion.

25 And also lateral flexion, he lost about forty

1 percent range of motion because normal is fifty and he had  
2 about thirty-five.

3 Q Did you do a lumbar examination?

4 A Yes. I performed lumbar examination, as well.

5 Q Did you look at the M.R.I. films for 2015 with  
6 reference to L5-S1?

7 A Correct.

8 Q And what was your findings?

9 A Our findings was (A), we found the patient has  
10 multiple disc herniations now in his neck. I review every  
11 single M.R.I. film by myself; I don't rely on the report.

12 In my eyes, C5-C6 was much more significant disc  
13 herniation. And as I demonstrated here on the right side, I  
14 mean, it's pretty severe for spinal stenosis which is  
15 agreeable with the radiologist who read the report.

16 Then, we reviewed the M.R.I. of the lumbar spine  
17 which is the lower back as I pointed out to you earlier. And  
18 the patient had L5-S1 disc herniation there, as well.

19 MR. PFLUGER: Do we have in evidence Dr. Winter, a  
20 radiologist? It's an affirmation.

21 THE COURT OFFICER: Is it in evidence, Counsel?

22 MR. PFLUGER: Yes.

23 (Exhibit handed)

24 Q Now, Dr. Winter was the radiologist that did the  
25 M.R.I., March 26, 2015, of the cervical spine.

1           Agree or disagree with what he found at C5-C6:

2       "There is right larger than left lateral sub-ligamentous disc  
3       herniation encroaching" into the narrowing -- "and narrowing  
4       the right more than left neural foramen"?

5           A     Correct.

6           Q     Agree or disagree?

7           A     Agree, of course.

8           Q     So, you used the word interpretation, a  
9       radiologist's interpretation.

10           What does that mean?

11           A     A radiologist reads the report, looks at the  
12       imaging, and then describes what he sees in the imaging  
13       without seeing the patient.

14           Q     But, you kind of mentioned that I don't rely on the  
15       reports, I look at it myself.

16           Why is that?

17           A     Because you're going to put ten radiologists in  
18       your room and everyone is going to give you a different  
19       report.

20           Q     If I ask you what's the best way to diagnose a  
21       herniation at C5-C6?

22           A     The best way is to speak to the patient, see the  
23       imaging and do a physical exam. That's the only way.

24           Q     So, did you operate on Mr. Torres?

25           A     I did.

1 Q And when was that?

2 A The surgery was performed -- the exact date was  
3 May 17, 2016.

4 Q And tell us the process of what you did.

5 A So, once we discuss with patient all risks and  
6 benefits of the procedure in the office, the date was  
7 scheduled.

8 And then patient comes into the hospital. Once  
9 again we go over the procedure. We go over risks and  
10 benefits. We examine the patient on the day of the  
11 procedure, as well as two surgeons must review the imaging  
12 before the patient -- that's part of actual timeout; you  
13 cannot proceed with surgery unless two --

14 THE COURT: That's part of what?

15 THE WITNESS: Timeout.

16 THE COURT: Timeout.

17 THE WITNESS: Yes, timeout.

18 THE COURT: Got it.

19 A (Continuing) So, basically timeout is when you do a  
20 surgery you have to say patient's name, the problem, what  
21 you're doing. And part of it became two surgeons had to  
22 review the imaging and agree on the procedure. In this case,  
23 it was me and Dr. Avanesov, one of my partners who was my  
24 co-surgeon on the case.

25 Once patient has signed consent and goes into the

1 operating room, patient is being intubated because it's all  
2 under general anesthesia. We prep and drape the patient,  
3 extend his neck, take appropriate action to locate the area.  
4 We do minimally-invasive procedure so it's a small scar that  
5 we do on the right side because we're right-handed surgeons.

6           Once you go through the skin, we go in between the  
7 muscles to the area or to the --

8           Q     Finish your sentence. I would just like to mark  
9 this for identification.

10          A     (Continuing) We go into the vertebra. And once you  
11 are at the vertebrae, you once again identify the correct  
12 level, because the most common problem with these type of  
13 surgeries is operating on the wrong level.

14          MR. PFLUGER: Give me one second.

15               (Document handed)

16          THE COURT: All right. It's in evidence or for  
17 I.D. now?

18          MR. BROWN: Just for I.D.

19          THE COURT: So, what is being shown, Plaintiff's  
20 16, is a three-page document for I.D.

21          THE WITNESS: Can I stand up?

22          THE COURT: You can't show it to the jury yet; it's  
23 not in evidence.

24          MR. PFLUGER: Well, I would like to use it as a  
25 demonstrative.

1 THE COURT: I know. You can't show it to the jury  
2 yet; it's not in evidence. You have to authenticate it  
3 in some way first.

4 MR. PFLUGER: Okay.

5 BY MR. PFLUGER:

6 Q So, can you take a look at what is depicted in  
7 those three pages.

8 A The procedure that we performed.

9 THE COURT: It's not just demonstrative. It  
10 includes copies of the images, of the actual images.

11 MR. PFLUGER: Yes.

12 THE COURT: So, it can't be just demonstrative.

13 Q So, included in those three pages are X-rays?

14 A Of the patient, yes.

15 Q Are they intraoperative or postoperative?

16 A It's postoperative.

17 Q And that was done where, sir?

18 A One of the X-rays was done in my office and the  
19 second one is also done in my office.

20 Q And that would be part of your chart?

21 A Yes, of course. It's part of my record.

22 Q And the illustrations, are they accurate?

23 A Yes. They're pretty accurate, yes.

24 Q So, the anatomy and the fusion that you performed  
25 on Oscar Torres?

1 A Correct.

2 Q Did you approve those?

3 A Yes.

4 THE COURT: Approve them?

5 MR. PFLUGER: Yes.

6 Q Is it something that you use, sir?

7 A Huh?

8 Q These illustrations, do you --

9 A Yes.

10 MR. PFLUGER: I would just like to use it as an  
11 illustrative --

12 THE COURT: You can't use them as just -- you have  
13 to be able to get them admitted on their own because  
14 they include actual massages or alleged to include  
15 actual images of the plaintiff, so they're evidence.

16 You can use them for demonstrative purposes once  
17 you get the rest of the document in evidence. But, the  
18 images themselves have to be admissible first.

19 I don't mean cartoons, they're -- I don't want to  
20 call them cartoons, the illustrations. But, because you  
21 included actual X-ray images on the documents, you have  
22 to be able to get those X-ray images in evidence in  
23 order to get the rest of the document in evidence.

24 Q Sir, are those X-rays made in the ordinary course  
25 of business?

1 A Yes.

2 Q Were the X-rays done of Mr. Oscar Torres contained  
3 in that exhibit?

4 A Yes.

5 Q Is it your business to keep and maintain those  
6 forms?

7 A Correct.

8 MR. PFLUGER: I just offer it in evidence.

9 MR. BROWN: Your Honor, the objection is that's not  
10 in the chart. The X-rays are not part of the chart that  
11 was in evidence.

12 THE COURT: They're not?

13 MR. BROWN: Not that I saw.

14 THE WITNESS: It's part of the electronic record  
15 that we have.

16 THE COURT: They're part of the M.R.I.?

17 THE WITNESS: Yes.

18 THE COURT: Was an authorization exchanged to the  
19 defendant for that EMR?

20 Did you get an authorization for this doctor's  
21 chart.

22 MR. PFLUGER: We gave it all to him.

23 THE COURT: No, I'm asking him.

24 Did you get an authorization for this doctor's  
25 chart?



1 MR. BROWN: We did get an authorization for his  
2 medical records. Whether they included a M.R.I. --

3 THE COURT: He just testified that it does, that  
4 that was included within the EMR.

5 Again speaking of what you personally received, but  
6 if you were given an authorization that that's he had  
7 you to get the entire EMR and he just testified under  
8 oath this was not the illustration, but that the X-rays  
9 were part of the EMR, then I'm not sure -- I mean, I  
10 understand why you're objecting. I don't understand why  
11 I wouldn't allow it.

12 MR. BROWN: I can speak to that.

13 THE COURT: Well, speak to that. If you were given  
14 an authorization and you didn't see them because you  
15 didn't order them, that's one thing. If you're saying  
16 the authorization wasn't exchanged, that's a different  
17 problem.

18 MR. BROWN: I was given it.

19 THE COURT: You were given what?

20 MR. BROWN: A copy of them.

21 THE COURT: How long ago?

22 MR. BROWN: I'm not sure when.

23 THE COURT: But, not today or yesterday?

24 MR. BROWN: Correct.

25 THE COURT: Some time ago?

1 MR. BROWN: Some time ago.

2 THE COURT: Overruled.

3 Anything else?

4 MR. PFLUGER: No, Judge. I would like --

5 THE COURT: It's admitted in evidence. The images  
6 themselves, meaning, the electronic reproductions of the  
7 X-rays the doctor described are admitted as  
8 evidence/evidence. And as to the medical illustrations,  
9 those are admitted for demonstrative purposes only.

10 MR. BROWN: Just note my objection.

11 THE COURT: Your exception is noted.

12 (Whereupon, the X-rays were received into evidence  
13 as Plaintiff's Exhibit 17 for identification, with the  
14 above-mentioned caveat, as of this date.)

15 THE COURT: Sir, could you just step down.

16 (Witness complies)

17 THE WITNESS: So, this is a quick illustration of  
18 the surgery that we performed. This is the illustration  
19 of the positioning of the patient. The patient is  
20 laying flat on their back. They're intubated. Their  
21 neck is extended.

22 We put in cranial tongs to detract the area where  
23 there is a problem, particularly the C5-C6, and we make  
24 a small incision, vertical incision. We try to do it in  
25 the crease, in the fold of the skin, so it would be more

1 cosmetically appealing. And three months down the line  
2 people don't even see that scar.

3 But, even though it looks very small, there is a  
4 lot of work that needs to be done. So, you get to the  
5 level. You have to identify the correct level. Once  
6 again, the most common problem is the wrong level, as I  
7 mentioned earlier.

8 Once the level is identified, you start doing a  
9 decompression. You start taking that disc out literally  
10 a step at a time, until -- this is the spinal cord,  
11 actually. (Indicating) And the disc that is coming out,  
12 luckily it's not pushing on the spinal cord but it's  
13 pushing more on that nerve.

14 So, once we get down, we can notify that Saran wrap  
15 rupture and the actual -- that little piece of  
16 crabmeat -- you see it does look like a piece of  
17 crabmeat -- that was sitting more in the right foramen.

18 You scoop all of this stuff out. Then, you use a  
19 burr to roughen up that bone to create a little bit of a  
20 bleeding bone, because once you put that implant with  
21 cadaver bone, that blood with some bone that exits, it  
22 gives you the pressure to ossify itself that causes the  
23 bone growth.

24 Because our purpose here is to create a solid  
25 fusion. Even though we put this implant here -- and

1 this is the new generation. Back in the day, it was a  
2 big plate with four screws. Now it's an implant that  
3 comes with a preset little holes and a small plate. And  
4 it enters and looks like this; this is a better picture  
5 of how this implant looks. (Indicating)

6 The inside part is actually consists of this  
7 special material that conducts the bone. So, our goal  
8 is to create a bony fusion. Now we have no pressure on  
9 the nerve and so the goal is to create a bony fusion to  
10 eliminate the pain as well as eliminate the neurological  
11 symptoms the patient described.

12 Because if you just leave the screws in without the  
13 bone formation, the screws, anything that is hammered  
14 will eventually break. And I never want to go back and  
15 redo the surgery. My goal is to do it once and for all.  
16 So, that's why the actual fusion needs to occur.

17 And these are the actual X-rays from 2017, the most  
18 recent visit the patient had. And you can see the  
19 location of the screws is perfect.

20 And this is the view from the front to back.  
21 (Indicating)

22 This is the view from the side. You can see here.  
23 (Indicating)

24 (Whereupon, the witness resumes the stand.)

25 MR. PFLUGER: Judge, I'm referring to Plaintiff's

1 Exhibit 13.

2 Q Referring to Plaintiff's Exhibit 13, Dr. Steven  
3 Winter, the radiologist that interpreted the M.R.I. of  
4 March 29, 2015 -- actually, March 26, 2015, agree or  
5 disagree?

6 "At L5-S1, there is a broad posterior disc  
7 herniation and radial annular tear that is impressing on the  
8 ventral thecal sac and has a right predominant bubonic  
9 component pressing on the right more than the left S1 nerve  
10 root exiting from the thecal sac, displacing the right S1  
11 nerve root posterior and laterally as it exits the thecal  
12 sac."

13 A Correct. The lumbar spine for the lower back, yes.

14 Q Sir, after the exam, what, if anything, was  
15 discussed with Mr. Torres of what kind of treatment he was  
16 going to get?

17 A You are talking about the first visit or the first  
18 post-op visit?

19 Q No, no. April 4, 2016.

20 A So, yes. At that particular moment, we discussed  
21 all the possible options with the patient regarding his  
22 condition, of one year of physical therapy and four months of  
23 not helping him. Epidural injection not helping him. And  
24 now we're at that point where we either wait until he's going  
25 to have a settling of his weakness and progression of his

1 numbness in his upper extremities, or you have to go in and  
2 do the procedure where you take the pressure off the nerve  
3 and prevent a young man from becoming someone who is going to  
4 become a permanent injury.

5 Q When you say progression of numbness, what  
6 significance is that?

7 A That's proprioception, when you put your hand in  
8 your pocket, you don't know what kind of change you have.  
9 It's important.

10 Sensation, you can burn yourself. A lot of times  
11 people come in and all their hands are burned. And you ask  
12 them what is going on and they say, oh, you know, when I --  
13 especially, older individuals -- when I'm boiling my tea, I  
14 don't even feel the temperature. So, every time I get burned  
15 by the tea. When they boil their eggs.

16 So, it's important. Sensation is an important part  
17 of our lives.

18 Q Progression, I mean --

19 A The fact that the patient still had strength, good  
20 strength, is very important in my eyes because I don't want  
21 him to start having weakness. As I mentioned earlier, there  
22 is no return once the nerve cells die out.

23 And if patient has already weakness, that's  
24 something that you have to tell the patient, that most likely  
25 you can take care of the problem getting worse but you might

1 never be able to recover the strength that he had before,  
2 especially a year and a half later.

3 Q So, what you're saying is it's not really -- it's  
4 not reversible once he gets the damage?

5 A It's getting worse, correct.

6 Q Sir, under "impression," can you just tell us what  
7 that means and what you did.

8 A Under "impression," we spoke, as I mentioned  
9 earlier, surgery to the ACDF or anterior cervical discectomy.  
10 You go from the front, take the disc out, and fusion, put the  
11 implant there and create a bony -- a bridge over time.  
12 That's ACDF.

13 At C5-C6 level has been recommended as the patient  
14 failed with conserve efforts. The surgical and nonsurgical  
15 options were discussed.

16 So, I spoke to the patient saying, listen, we can  
17 sit and wait until that weakness will start or we can act on  
18 it right now and prevent it from getting worse.

19 Is it preventative surgery? Yes. Is it  
20 prophylactic surgery? No.

21 Q So, what risks?

22 A I mean, you explain to patient that anything can  
23 happen, from death, to paralysis, to infection, to cardiac  
24 failure. There is also kind of an unusual nerve that runs in  
25 the back that no one knows exactly where it's going to exit.

1 So, you have to be very careful as you are making that  
2 approach because if you damage that, you're gonna have  
3 hoarseness for life or you lose your voice.

4 Blood clots. I mean, death from anesthesia. I  
5 mean, it's -- as little of an incision that it looks like or  
6 as minimally invasive as we do it, it has so much risk to it.

7 Q Okay, you said blood clots. DVT is what?

8 A DVT, unfortunately, is a blood clot.

9 Q Okay. And the surgery of May 17, 2016 that you  
10 performed, what complications, if any, occurred as a result  
11 of that fusion surgery?

12 A Unfortunately, patient developed a DVT. He came to  
13 my office complaining of the calf tenderness.

14 So, I never wait and assume he pulled his muscle.  
15 Even though his young age and it's a small procedure, we sent  
16 patient to the Doppler. And, fair enough, patient had DVT  
17 which now puts him to the risk now he did the surgery and you  
18 have to start blood thinners.

19 And you have fresh wound so (A), you have to be  
20 watched constantly not to have the swelling in your neck and  
21 die from the swelling in your neck. But, if you don't do  
22 anything about the clot in your leg, you can die from the  
23 clot dislodging and causing either a pulmonary embolism or a  
24 stroke.

25 Q And that's what happened to Mr. Torres?



1 A Luckily, just a blood clot.

2 Q But, he had a DVT?

3 A Yes, he had a DVT.

4 Q Now, when was the next time you saw him post-op?

5 You have in your record, I believe, it's May 23, 2016.

6 A Yes, exactly. We saw him about six days post-op  
7 and that was when patient was complaining of right  
8 superficial calf tenderness.

9 Q Adjacent segment degeneration, what does that mean?

10 A It's part of the complications of the surgery.

11 It's once you have -- as I mentioned to you guys earlier, you  
12 have seven vertebrae or eight discs in our cervical spine.

13 Once you -- and those are the vertebrae that give us that  
14 motion forward, sideways, side to side. (Indicating)

15 The tiny little joints are called facets. So, in  
16 our case, once you lock out that one level, C5-C6, the other  
17 levels -- the way I usually describe it to my patients, if  
18 we're five people carrying that heavy box, now one of them  
19 got sick and now four of us carrying the same distance, we're  
20 gonna get tired much quicker.

21 So, the same thing with joints. Once you lock out  
22 that one particular joint, C5-C6, the level above and the  
23 level below start overworking time because now they have to  
24 produce the same kind of motion but now there is less guys  
25 doing it.

1           So, adjacent level disease, it's part of the  
2 discussion prior to surgery that you have to discuss, and  
3 there is a potential of future surgeries once you perform  
4 that level.

5           Q     What, if anything, does a fusion at C5-C6 have with  
6 reference to flexibility?

7           A     It locks out -- it takes away about thirty to forty  
8 percent of your range of motion.

9           Q     And when you fuse the spine at C5-C6, is that a  
10 permanent limitation?

11          A     It's a permit limitation. You can't do anything  
12 with that.

13          Q     And if someone was -- he was born August 1983 and  
14 you saw him -- you did the surgery in 2016.

15                 What about the prognosis for future surgery in  
16 somebody so young?

17          A     Unfortunately, we even discussed with the patient  
18 that it's not a matter if patient is going to have the  
19 surgery, it's matter of when he is going to have the surgery.

20          Q     Why?

21          A     Because of that adjacent level degeneration  
22 disease. And now we set forth to actually have the  
23 degenerative process at the level above and below.

24          Q     Have you formulated an opinion whether that is a  
25 traumatic accelerated degeneration?

1           A     It's part of the procedure, yes.  It's part of the  
2 trauma, yes.  Correct.

3           Q     So, what complaints, if any, did he make?  You told  
4 us about the calf pain.

5           A     He actually denied any problems swallowing or any  
6 problems bleeding and that's pretty much it.  At that point,  
7 his main concern was the calf.

8                     But, he was doing good after the surgery.  He  
9 didn't have any more pain shooting down the arm, so I think  
10 it was a great success in that sense.

11          Q     At some point, did that change?

12          A     Yes.

13          Q     Can you give us the date that it did change?

14          A     Uhhh --

15          Q     Do you have your December 14, 2016 note?

16          A     Yes.

17          Q     You have "chief complaint"?

18          A     Patient says pain has now gone up to seven and  
19 patient is currently working.  Patient does not attend  
20 physical therapy anymore because of the financial situation.  
21 And no new injuries.

22                     So, he just -- the level of pain in his neck went  
23 up.

24          Q     You did a range of motion -- I'm just going to go  
25 back for a second to May 23, 2016.

1           You did a range of motion for his neck and back?

2           A     The May 23rd one?   Okay, 2016.

3           Q     Correct.   Can you tell us with the range of motion  
4     examination what your findings were for the neck first and  
5     then the back?

6           A     His flexion was restricted by about fifty percent.  
7     His extension was restricted by about fifty percent.   And his  
8     right flexion and left flexion was reduced by about fifty  
9     percent, as well.

10          Q     How about his back?

11          A     His back was unchanged.

12          Q     Meaning, what was --

13          A     It was still decreased by about forty-five percent  
14     range of motion, as well.

15          Q     And you next saw him on June 6, 2016; correct?

16          A     June 6, 2016, correct.

17          Q     And what was the range of motion?

18          A     It was unchanged.   It was the same.

19          Q     And what complaints, if any, did he make?

20          A     Pain is about three on a scale from one to ten.  
21     So, it was minor to medium pain.

22          Q     And the cervical range of motion examination?

23          A     Unchanged.   It was the same.

24          Q     Can you just tell us the percent?

25          A     About fifty percent probably, the same.   Nothing

1 changed.

2 THE COURT: Five-zero?

3 THE WITNESS: Yes.

4 Q And what was the impression?

5 A Patient was in global postoperative period for  
6 cervical ACDF. Treatment is instituted as listed above.  
7 Patient to continue activity modification. Follow up with  
8 PCP for Xarelto, which is that blood thinner medication for  
9 his blood clots.

10 We want to make sure, as I mentioned to you  
11 earlier, to have a closer look to make sure there is no DVT  
12 and his level of blood medication is normal.

13 Q You wrote a couple of times global post-op period.  
14 What does that mean?

15 A Meaning that there is no complications in his neck  
16 from the surgery.

17 Q And what, if any, medications were you prescribing  
18 after the surgery?

19 A We usually give -- for the first month, we give a  
20 painkiller, which is Oxycodone. And Baclofen, which is a  
21 muscle relaxer.

22 Q July 25, 2016, how was he doing overall?

23 A Patient was doing great. He endorses pain as  
24 weakly as one on a scale from one to ten. He has relief with  
25 medication. Patient does not attend physical therapy.

1 Patient says he finished medication prescribed by the  
2 hospital for the ultrasound of the right calf.

3 Q Were any medications prescribed?

4 A I don't remember then. I know that we gave him  
5 another script for physical therapy. At that point, we  
6 really don't give medication because it's now a month and a  
7 half, about, past the surgery.

8 Q It says "work status."

9 A Uhmm --

10 Q And you have "see restrictions." And you have  
11 "upper extremity restrictions."

12 A Where are you looking? I'm sorry.

13 Q It's the last pages.

14 A We told him to return to modified duty.

15 Q Okay. "Upper extremity restrictions"?

16 A Limit lift, push, pull to five pounds.

17 Q Why is that?

18 A Because we don't want him to strain anything and  
19 cause worsening of the discs above or below.

20 Q So, how many months post-op was this?

21 A This is about two months post-op.

22 Q I don't see a notation for range of motion.

23 A Because it was unchanged so we were not documenting  
24 it. If there had been any changes, we would document it.  
25 But, it was unchanged.

1 Q And December 14, 2016, chief complaint says "The  
2 patient follows up today status post C5-C6, 5/17/16. Patient  
3 rates pain as a seven on pain scale to ten."

4 A Correct.

5 Q Does that have any significance, he went from an  
6 one in July to a seven in December?

7 A The neck -- the neck pain again, it can come and  
8 go. And the reason why we do surgery is not for the neck  
9 pain so much but with the weather changes, especially, in  
10 December, I don't particularly know whether there was, you  
11 know, a severe drop.

12 Once patient have instrumentation in their neck for  
13 the first year they are very sensitive to the weather. So,  
14 that could have been one of the triggers to actually worsen  
15 his symptoms or neck pain itself.

16 Q And the musculoskeletal, second page, you have  
17 "straightening of the normal lordosis."

18 What does that mean and what significance, if any,  
19 does that have?

20 A Lordosis is the normal curve of the spine. So,  
21 this is lordosis, this is kyphosis, and back lordosis.

22 (Indicating)

23 So, the straightening of the normal lordosis, if  
24 you have muscle spasms, usually what happens is they tighten  
25 up your neck and this is what happens, you go into spasm and

1 you have a straight neck instead of having a nice curvature  
2 because you have muscles coming down on both sides.

3 If they tense up, they straighten up your neck so  
4 they lose --

5 Q Why does the body do that?

6 A It's our protection mechanism. The body protects  
7 itself by tensing up the muscles, protecting the spine around  
8 it.

9 Q And you have "lumbar spine." You did a range of  
10 motion.

11 What was that, sir?

12 A It was unchanged.

13 Q How much loss of range of motion?

14 A About forty-five percent of range of motion was  
15 lost.

16 Q Did you formulate an opinion within a reasonable  
17 degree of medical certainty whether that injury to the spine  
18 was a permanent loss of range of motion?

19 A Correct. Yes, it is.

20 Q You also saw him on March 13, 2017. You have that  
21 the patient rates pain as six on a pain scale of ten.

22 A Okay.

23 Q Then you wrote "Patient participates with physical  
24 therapy. No new injuries."

25 Why did you write "No new injuries"?



1           A     To make sure the patient did not sustain any new  
2 injuries that could have caused worsening of his symptoms or  
3 worsening of his pain.

4           Q     And what was your plan, sir?

5           A     The plan was activity modification.  Avoid  
6 prolonged bending, stooping or lifting.  Avoid cigarette  
7 smoking.  Continue conditioning program.  Change conditioning  
8 program if needed.  Avoid activities that can aggravate the  
9 neck pain.

10                     We spoke to him about working with a disability.  
11 We talked to him about not lifting anything heavy.  Monitor,  
12 again, his neck to make sure there is no changes in his scar  
13 tissue or swelling.

14                     Again, we kept him limited to lift, push, pull no  
15 more than five pounds.  And we still said he can work  
16 modified duty.

17           Q     So, for the year 2017, what was his condition?

18           A     I would say it was, like, guarded.

19           Q     And you did see him on July 11, 2018; correct?

20           A     July 11th?  Patient was seen by RPA and the surgeon  
21 who covered that day.

22           Q     What does that mean, "RPA"?

23           A     We have a PA in-house that is covered by whoever  
24 the surgeon they need.  Like, low post-op visits are seen by  
25 PA.  And the surgeon follows up and sees -- makes sure that

1 he sees the patient, as well.

2 In that case that day, Dr. Levin was in-house,  
3 another spine surgeon. So, he co-signed and checked the note  
4 of our physician assistant.

5 So, basically, patient never have such day where  
6 they cannot follow up with someone to continue their care.

7 Q You talked about future surgery.

8 A Possibility of future surgery, correct.

9 Q I want you to assume that Mr. Torres told us that  
10 there was a discussion about he would need a future --  
11 another surgery.

12 Do you have a memory of discussing that?

13 A Of course, yes. Even before the surgery, we  
14 discuss the possibility of future surgery.

15 Q Right. I don't know if you can do it, but someone  
16 at thirty-one, do you have an estimate of when he would  
17 probably need a subsequent surgery?

18 A Give or take, about five to ten years from now.

19 Q So, that would mean if he had it in '16, you're  
20 saying --

21 A Probably, 2020 -- between '20 to '25.

22 Q And what kind of revision surgery or subsequent  
23 surgery would he need?

24 A He would have to -- depending on that particular  
25 time which level is affecting him the most, we would have to

1 go from the other side to avoid scar tissue and do the same  
2 exact thing that we did on C5-C6, on either C4-C5 or C6-C7.

3 Q What were your findings with reference to radiating  
4 pain in 2017, if any?

5 A I know patient started -- I was referred back to  
6 pain management because he started to have something, like,  
7 in his arm.

8 Q And what significance does that have?

9 A If it's going to continue, then it warrants getting  
10 a new M.R.I. and see what is going on at the levels above and  
11 below.

12 Q So, you did prepare a narrative report; correct?

13 A Correct.

14 Q Do you have it?

15 A Yes.

16 Q And this report was prepared August 21, 2018;  
17 correct?

18 A Correct.

19 Q And Mr. Torres' law firm requested that of you?

20 A Yes, correct.

21 Q What records did you review?

22 THE COURT: For his report? At all or for that  
23 report?

24 MR. PFLUGER: For the narrative.

25 A We had an opportunity to review records from his

1 previous M.R.I.'s. We reviewed records from current  
2 M.R.I.'s. My office visits. We reviewed records from Dr.  
3 Westerband (Phonetic) which is Energy Acupuncture. Beth  
4 Israel Medical records which is my operative report. New  
5 York Surgery Center. We reviewed Dr. Thomas's records,  
6 Jamaica Wellness, Omega Diagnostic Imaging, as well.

7 Q Did you mention Third Avenue Medical?

8 A Third Avenue Medical, correct.

9 Q Now, what future medical treatment, if any, is in  
10 his future?

11 THE COURT: Regarding the spine?

12 MR. PFLUGER: Yes, sir.

13 A At this point, we're looking again at revision --  
14 well, not even revision surgery but more of an adjacent level  
15 surgery at either C4-C5 or C5-C6.

16 And again, patient still has issues with his lower  
17 back which was not looking good to begin with. And he still  
18 has complaints of that lower back so there is always the  
19 potential for that, as well.

20 Q And what is the cost of a fusion in the neck?

21 A About between hospital fees and, you know,  
22 monitoring, anesthesia, implants, about a hundred thousand  
23 dollars.

24 Q And how about for the back?

25 A About the same, yeah.

1 Q What about any diagnostic tests, M.R.I.'s, X-rays?

2 A I mean, he would need follow-up with M.R.I.'s. And  
3 if another surgery is done, once you do more than one level,  
4 you have to have a constant follow-up with C.A.T. scan to  
5 make sure that the bony bridge fusion occurs.

6 Q Sir, do you have an opinion within a reasonable  
7 degree of medical certainty whether Mr. Torres sustained a  
8 permanent, consequential limitation of use of a body organ or  
9 member as a result of the accident on February 25, 2015?

10 A Yes. We discussed earlier his neck is permanently  
11 limited on range of motion.

12 Q Do you have an opinion within a reasonable degree  
13 of medical certainty whether Mr. Torres sustained a  
14 significant limitation of use of a body function or system as  
15 a result of the accident of February 25, 2015?

16 A Yes. His neck.

17 Q Well, how about his back, L5-S1?

18 A His back, again, will need potential surgery but we  
19 don't know exactly where, and how, and what.

20 Q Well, have you formulated an opinion that the  
21 accident of February 25, 2015 resulted in a herniation at  
22 L5-S1?

23 A Yes.

24 Q What is your opinion?

25 A That it was a result of the accident. He did not

1 have any injury there before.

2 Q You did look at the 2013 M.R.I. of his back;  
3 correct?

4 A Yes, correct.

5 Q Did you see a herniation at that level?

6 A No, I did not see a herniation.

7 Q Doctor, I forgot to ask you: What is the best way  
8 to diagnose a herniation at C5-C6?

9 MR. BROWN: Objection. Asked and answered.

10 THE COURT: You're saying you didn't ask that  
11 question already?

12 Q So, when you went in --

13 MR. PFLUGER: I'll do it this way, Judge.

14 Q How do you go into someone's neck? You told us;  
15 right?

16 A Yes.

17 Q Did you actually see the C5-C6 herniation?

18 A Yes. As described earlier, you see the actual  
19 piece that we took it out from the foramen.

20 Q Okay. Because you told us you put ten radiologists  
21 in the room and you get ten different opinions.

22 A Unfortunately, that's correct.

23 Q So, the best way to diagnose the herniation is to  
24 actually look at it and see it?

25 A Absolutely.

1 Q And did you?

2 A I did.

3 THE COURT: It's one clock. We will see you at  
4 2:15.

5 Doctor, you remain under oath.

6 As always, please don't discuss the case amongst  
7 yourselves or with anyone else. Don't do any research.  
8 See you back at 2:15.

9 (Whereupon, the jury exits the courtroom and the  
10 following occurs:)

11 THE COURT: (To the witness) You're under oath and  
12 that means you can't discuss your testimony.

13 MR. PFLUGER: I'm on direct.

14 THE COURT: I know that. But, you still can't  
15 discuss his testimony while he's under oath.

16 (Whereupon, a luncheon recess was taken.)

17 (After the luncheon recess, the following  
18 occurred:)

19 \*\*\*

20 A F T E R N O O N S E S S I O N

21 THE COURT OFFICER: Jury entering.

22 (Whereupon, the jury enters the courtroom.)

23 THE COURT: Ladies and gentlemen, okay, you can all  
24 be seated. Thank you.

25 Your witness.

1 MR. PFLUGER: Thank you, Judge.

2 CONTINUED DIRECT EXAMINATION

3 BY MR. PFLUGER:

4 Q Good afternoon, sir. Just a couple of questions.

5 In your narrative, summary of future plan or

6 prognosis, you commented on traumatic cervical disc

7 herniations as a result of the February 25, 2015 accident.

8 A Mm-hmm.

9 Q What herniations were they?

10 A The dangerous disc herniation was C5-C6. There was

11 also herniation of C3-C4, C4-C5, C6-C7 and C1 -- that's it.

12 Q And you formulated an opinion with a reasonable

13 degree of medical certainty that the accident of February 25,

14 2015 caused those herniations at those levels?

15 A Yes, I did.

16 Q And have you formulated an opinion whether those

17 herniations are permanent?

18 A Yes.

19 Q What is your opinion?

20 A They are permanent.

21 MR. PFLUGER: That's it.

22 THE COURT: Cross-examine.

23 CROSS-EXAMINATION

24 BY MR. BROWN:

25 Q Good afternoon, Dr. Lerman.



1 A Good afternoon.

2 Q I have some questions for you. And we understand  
3 you've testified in court before; correct?

4 A Correct.

5 Q And I just want to let you know about the rules of  
6 cross-examination. I'm going to ask you questions that  
7 require a yes or no only, and if you can answer yes or no.  
8 And if you can't, will you let me know that?

9 A Okay.

10 Q Thank you, Doctor.

11 Now, you indicated earlier that you practiced  
12 medicine as part of a facility called Advanced Orthopedics  
13 and Spine Care, PLLC?

14 A PLLC.

15 Q But, on your reports that are in the chart, at the  
16 top it says Advanced Orthopedics and Spine Care; correct?

17 A It's PLLC. There is a little note there, it's  
18 d/b/a.

19 Q So, they're one and the same; correct?

20 A Yes.

21 Q And that organization has locations in Brooklyn,  
22 manhattan and the Bronx and an office in Long Island?

23 A It used to be Manhattan, not anymore.

24 Q But, you now have them in Long Island; correct?

25 A That's correct.

1 Q Now, and the Total Orthopedics, does that have a  
2 website?

3 A Yes.

4 Q And you indicated that about fifty percent of your  
5 practice is private practice; correct?

6 A Correct.

7 Q And you said about fifty percent is patients that  
8 you see through Nassau University Medical Center; correct?

9 A Correct.

10 Q Now, what's the percentage of the patients at your  
11 facility that have personal injury cases pending in court?

12 A Roughly, about fifty percent.

13 Q And you indicated that previously you've testified  
14 about seven times?

15 A Throughout my career, yes.

16 Q And each time that you testified, it was a lawyer  
17 who paid you to testify; correct?

18 MR. PFLUGER: Objection.

19 THE COURT: Whether a lawyer paid him to testify  
20 when he testified?

21 MR. PFLUGER: He was compensated for his time in  
22 court.

23 THE COURT: But, it was a lawyer who retained you  
24 to testify; yes.

25 THE WITNESS: On my patients only, yes.

1 THE COURT: I understand.

2 BY MR. BROWN:

3 Q Now, do you consider yourself to be independent in  
4 this case?

5 A Correct.

6 Q And you indicated that you've testified in court  
7 seven times over your career.

8 About how many years does that span?

9 A Seven years.

10 Q And when you do testify, you indicated that you  
11 testify for your own patients?

12 A Only, yes.

13 Q And with those people because they're your own --  
14 withdrawn.

15 Are those patients when you testified plaintiffs in  
16 personal injury accidents?

17 A Correct.

18 Q And in the last seven years, you've testified only  
19 for plaintiffs?

20 A Only for plaintiffs.

21 Q Now, in this case, it's the plaintiff's attorney,  
22 William Schwitzer & Associates, who is paying you for your  
23 time here today; correct?

24 A Correct.

25 Q And does William Schwitzer & Associates refer

1 patients to you?

2 A They have not referred patients to me.

3 Q Does Dakoff, Ishai & Associates refer patients to  
4 you?

5 A No. We only get referrals from other doctors.

6 Q And isn't it true that you have worked with  
7 plaintiff's attorney, William Schwitzer & Associates, before  
8 on a personal injury case?

9 A I don't personally work with attorneys, I work with  
10 my patients. So, there was one case before that that was  
11 represented by that firm.

12 Q Was that in November of 2016, a case in Supreme  
13 Court, Bronx county called Raymond (Phonetic), Silvas &  
14 Morales against the City of New York?

15 A I don't remember that.

16 Q Now, Doctor, in this case you indicated that you  
17 first saw the plaintiff on April 4th of 2016; correct?

18 A Correct.

19 Q And this was over one year and almost two months  
20 after the accident on February 25, 2016?

21 A Yes. One year, four months.

22 Q Now, you earlier testified that about fifty percent  
23 of your practice involves patients through the Nassau  
24 University Medical Center; correct?

25 A I mean fifty percent of the practice, not from the

1 Nassau University but it's the injury, the traumas, ER's.  
2 People we have operate out of many hospitals.

3 Q And Nassau University Medical Center you said is a  
4 Level 1 trauma center; correct?

5 A Correct.

6 Q And I want you to assume that Mr. Torres testified  
7 earlier that he did not go to a trauma center after the  
8 accident.

9 Is that significant to you?

10 A No.

11 Q I want you to also assume Mr. Torres testified  
12 earlier that he didn't go to the emergency room of any kind  
13 right after the accident.

14 Is that significant to you?

15 A No, it's not.

16 Q Now, during the visit on April 4th of 2016 --

17 A Mm-hmm.

18 Q -- you indicated that you took a history; correct?

19 A Correct.

20 Q And do you agree, Doctor, that if you did not take  
21 a proper history, your opinion would be less than valid?

22 A Yes, I agree.

23 Q And you do take that history so that you can be  
24 accurate in your diagnosis; correct?

25 A Yes.

1 Q And did all the information that you got from that  
2 history come from the plaintiff himself?

3 A Correct.

4 Q And did you call or speak to any of his doctors?

5 A No, I did not.

6 Q And you consider, like you said on direct,  
7 Mr. Torres to be your patient; correct?

8 A Correct.

9 Q Did you conduct an extensive interview with him  
10 when you met with him for the first time?

11 A Yes.

12 Q And did you ask him about his medical history?

13 A Yes.

14 Q Do you think you obtained an accurate medical  
15 history from the plaintiff?

16 A Everything that this patient provided, we have it  
17 here, yes.

18 Q And you also gave an opinion that the plaintiff's  
19 neck and back injuries, and the surgery, are related to the  
20 plaintiff's accident on February 25, 2015; correct?

21 A Correct.

22 Q Now, in order to give that opinion, do you agree  
23 that you would need an accurate history from the plaintiff?  
24 Correct?

25 A Correct.

1 Q And if you didn't have an accurate history, could  
2 you be mistaken in your opinion on causation of those  
3 injuries?

4 A I cannot answer yes or no on this one.

5 Q And Doctor, is a motor vehicle accident considered  
6 a trauma?

7 A Not necessarily. I mean, it's an accident.

8 Q And after you saw the plaintiff, did you ever  
9 review any photographs of the vehicles involved in the  
10 accident on February 25, 2015?

11 A I'm not a body mechanic. No.

12 Q Now, Doctor, in rendering your opinion, do you  
13 consider what is subjective and then use an objective test to  
14 measure, confirm, the subjective?

15 A Sure.

16 Q And I used this example previously and I hope you  
17 can agree: Is it fair to say that a doctor -- to say,  
18 Doctor, that a subjective complaint is nausea and an  
19 objective confirmation of that complaint is vomiting?

20 THE COURT: It's the other way around. You mean  
21 that nausea is a subjective complaint, not that a  
22 subjective complaint is nausea.

23 MR. BROWN: Yes. That a subjective complaint is  
24 nausea.

25 A Yes.

1 Q And the objective complaint of have would be  
2 vomiting; correct?

3 A You could have nausea without vomiting.

4 Q But, that could be one subjective -- sorry,  
5 objective confirmation; right?

6 A But, if someone doesn't vomit that doesn't mean  
7 that they are not nauseous; right?

8 Q But, an objective confirmation of the subjective  
9 complaint of nausea could be vomiting; correct?

10 A Sure. Could be vomiting.

11 Q Now, Doctor, did you take into account that a  
12 person's subjective complaints may be tied to a personal  
13 injury lawsuit?

14 A At the time of the injury, a patient that comes to  
15 see me, I'm not sure whether it's a personal injury lawsuit  
16 until someone reaches out or --

17 Q Yes or no, Doctor? If you can't --

18 A I cannot answer yes or no.

19 Q And during your visits with Mr. Torres, you  
20 indicated that you performed range of motion testing;  
21 correct?

22 A Correct.

23 Q And isn't some part of range of motion testing  
24 subjective?

25 A Correct.



1 Q Now, in your reports that counsel went over with  
2 you, you indicated the range of motion findings; correct?

3 And in those reports do you also list what would be  
4 considered normal?

5 A The program doesn't have it here, no.

6 Q Doctor, are you aware of the term secondary gain?

7 A Sure.

8 Q And is that a medically-accepted concept that  
9 recognizes that a patient has a motivation beyond their own  
10 symptoms and complaints that affects the way that they relay  
11 problems to you?

12 A Sure.

13 Q And is it that a patient may be motivated by a  
14 desire for personal gain in how they make their complaints to  
15 you?

16 A Some of these things are, of course.

17 Q Now, Doctor, do you consider secondary gain when  
18 forming an opinion or a diagnosis?

19 A It tends to be something really suicidal to put  
20 themselves through a spine surgery for secondary gain.

21 Q Doctor, do you consider it?

22 A Absolutely not.

23 Q Okay. So, if you don't consider it, you just take  
24 them at their word?

25 A You have to trust the patient. Yes.

1 Q Now, after the first visit on April 4th of 2016,  
2 you go to complete your report; correct?

3 A Correct.

4 Q And that's part of your chart; correct?

5 A Correct.

6 Q And in that report dated April 4, 2016, did you ask  
7 the plaintiff about his medical history?

8 A Yes.

9 Q And did the plaintiff deny any history of trauma?

10 A Patient said he did not have any trauma, correct.

11 Q And did the plaintiff also tell you that he had  
12 never been diagnosed with a significant problem?

13 A Correct. But, actually, the patient bought the  
14 previous M.R.I. and --

15 Q Doctor, you answered the question. Thank you.

16 Now, at your office visit on April 4th of 2016, was  
17 the plaintiff's height measured?

18 A Yes.

19 Q Was he listed at five feet, six inches tall?

20 A Correct.

21 Q Was he weighed that day?

22 A Yes, he was.

23 Q And was his weight listed at two hundred fifteen  
24 pounds?

25 A Yes.

1 Q Was his body mass index 34.7?

2 A Correct.

3 Q And is that considered obese?

4 A It's -- I think it's borderline obese.

5 Q So, isn't obesity defined as a body mass index  
6 equal to or greater than 30?

7 A 33.

8 Q So, 34.7 is above the 33; correct?

9 A Yes.

10 Q Now, Doctor, after that first examination on April  
11 4th of 2016, did you diagnose the plaintiff with spinal  
12 stenosis, cervical region?

13 A Yes.

14 Q And did you also diagnose the plaintiff,  
15 Mr. Torres, with spinal stenosis, lumbosacral region?

16 A Right.

17 Q And lumbosacral is the lower back; correct?

18 A Correct.

19 Q And cervical is the neck area; correct?

20 A Correct.

21 Q And is spinal stenosis a common source of chronic  
22 pain that is caused by gradual narrowing of nerve pathways in  
23 the cervical spine?

24 A Not necessarily.

25 Q Can spinal stenosis be caused by a trauma?

1 A Absolutely.

2 Q And can spinal stenosis be caused by degenerative  
3 disc disease?

4 A Yes. If someone is old enough.

5 Q And can spinal stenosis be caused by age-related  
6 conditions?

7 A Yes.

8 Q Can spinal stenosis be caused by wear and tear?

9 A Yes.

10 Q And can spinal stenosis occur over time with or  
11 without a trauma?

12 A Yes. With age.

13 Q And is spinal stenosis a -- considered a  
14 degenerative condition?

15 A Not necessarily.

16 Q Now, are you familiar with the term degenerative  
17 disc disease?

18 A Yes.

19 Q And does degenerative disc disease occur when a  
20 person's intervertebral discs begin a natural degeneration?

21 A Yes. At forty-five, forty-six years old.

22 Q Does that mean there is a lot of fluid in the  
23 discs?

24 A You can have a lot of fluid loss with degeneration  
25 or you can because of a trauma. If something, as I told you,

1 the Saran wrap ruptures, the fluid can leak out as well,  
2 so --

3 Q But, it can be both; correct?

4 A Yes.

5 Q And does this process of degenerative disc disease  
6 flatten the disc and bring the vertebrae closer?

7 A It has to be a lot of time for it to happen.

8 Q But, that's what happens; correct?

9 A Sometimes, yes.

10 Q And you just indicated to me that this occurs when  
11 a person is around forty-five years old?

12 A Or older.

13 MR. BROWN: Officer, can I have this marked for  
14 I.D. only, please.

15 THE COURT OFFICER: Defendant's M for I.D.

16 THE COURT: Defendants' M as in Mary?

17 THE COURT OFFICER: Yes.

18 (Whereupon, a copy of a page from the witness's  
19 website dealing with degenerative disc disease was  
20 marked as Defendant's M for identification, as of this  
21 date.)

22 Q Doctor, having seen that --

23 A Yes.

24 Q -- is that a page of a section from your website?

25 A Correct.

1 Q Is that specifically a page from your website that  
2 deals with degenerative disc disease?

3 A Yeah.

4 Q And in the first paragraph under that heading --

5 MR. PFLUGER: Objection.

6 THE COURT: Objection what?

7 MR. PFLUGER: Objection reading from something not  
8 in evidence.

9 THE COURT: He didn't read from it yet. If he  
10 does, then it's objectionable.

11 Q Does that website discuss a different age that  
12 degenerative disc disease can come into play?

13 A Yes. Because of previous trauma, it can happen at  
14 different ages.

15 Q Does it say it can happen in your thirties and  
16 forties?

17 A Yes, it does.

18 Q Now, does degenerative disc disease make it harder  
19 for a disc to absorb shock?

20 A Correct. As I discussed with different levels of  
21 degeneration, that can happen at twenty years old, as well.

22 Q Do many acquire disc disease in their thirties and  
23 forties?

24 A You can acquire it at fifteen, sixteen. It's a  
25 gray line; there is no definitive age.

1 Q If a person has degenerative disc disease, does  
2 that person -- withdrawn.

3 If a person has degenerative disc disease, can that  
4 person also have spinal stenosis?

5 A They're not the same.

6 Q They could, though; correct?

7 A They could. Again, can we go back and explain what  
8 spinal stenosis is?

9 Q No, Doctor. I'm going to move on.

10 Now, in your April fourth, 2016 report, Doctor, you  
11 mentioned the M.R.I. findings of the plaintiff's cervical  
12 spine taken on March 26, 2015?

13 A Correct.

14 Q And you stated on direct that you found herniated  
15 disc at several levels; correct?

16 A Correct.

17 Q Now, Doctor, can a herniation of a disc be caused  
18 by an injury?

19 A Yes.

20 Q And can a herniation -- withdrawn.

21 Can a herniated disc come from active use?

22 A Uhmm, yes.

23 Q And can a herniated disc be caused by obesity?

24 A In the lower region, yes, not in the neck.

25 Q Can a herniated disc be caused by heavy smoking?

1           A     You can have degeneration but not really disc  
2 herniation.

3           Q     Can a herniated disc happen over time as we all  
4 age?

5           A     Sure.

6           Q     Can a patient who is diagnosed with a herniated  
7 disc suffer a reoccurrence of a herniation in the same disc  
8 within three months of recovery?

9                   MR. PFLUGER:  Objection.

10                   THE COURT:  Three months of recovery.

11          A     I'm not sure what you mean.

12          Q     Can a patient suffer a reoccurrence of that  
13 herniated disc in the same level?

14                   MR. PFLUGER:  Objection.

15                   THE COURT:  Sustained.

16          Q     Can a person recover from a herniated disc?

17          A     Never.

18          Q     Can the symptoms of a herniated disc go away?

19          A     Yes.

20          Q     Can a person then have a recurrence of those  
21 symptoms?

22          A     Yes.

23          Q     And does your website say that approximately ten to  
24 fifteen percent of people have a reoccurrence of a herniated  
25 disc at the same level?



1 MR. PFLUGER: Objection.

2 THE COURT: Overruled.

3 A Again, if it's post the surgery, then, yes, it's  
4 about ten to fifteen percent of reoccurrence, meaning, if you  
5 have done a discectomy there is a chance of ten to fifteen  
6 percent of reoccurrence.

7 MR. BROWN: Officer, please mark this for I.D.  
8 only.

9 (Whereupon, a photocopy of a section from the  
10 witness's website dealing with cervical disc herniation  
11 was marked as Defendant's Exhibit N for identification,  
12 as of this date.)

13 Q Dr. Lerman, is that a copy of a section of your  
14 website?

15 A Yes, correct.

16 Q Is that a section that covers cervical disc  
17 herniation?

18 A Yes.

19 Q And after having read that, does your website say  
20 that --

21 THE COURT: Sustained. You can't say does yours  
22 website say. You can ask to have it in evidence and it  
23 can be published, but you can't say does your website  
24 say X, Y, Z because it's not in evidence. You know  
25 that.

1 Q After reading that section in your website, is it  
2 true that ten to fifteen percent of patients diagnosed with  
3 herniated discs have a reoccurrence of a herniated disc at  
4 the same level?

5 THE COURT: Sustained.

6 Q Now --

7 THE COURT: One, because it's hearsay. And one,  
8 because it's asked and answered.

9 Q Doctor, can spinal stenosis be treated surgically?

10 A Yes.

11 Q And can spinal stenosis also be treated non-  
12 surgically?

13 A Yes.

14 Q Now, after your very first visit with Mr. Torres on  
15 April 4, 2016, did you recommend surgery?

16 A Yes.

17 Q And before making that recommendation, did you  
18 review any of the plaintiff's medical records?

19 A I had an opportunity to review the previous  
20 M.R.I.'s 2013.

21 Q Other than that?

22 A Just the M.R.I.'s.

23 Q So, just the M.R.I.'s; correct?

24 A Yes. That's all I needed.

25 Q And there were -- and all the M.R.I.'s and records

1 that you reviewed were listed in your report; correct?

2 THE COURT: That he reviewed from that time until  
3 today?

4 MR. BROWN: No, I'm talking about the first visit.  
5 And he said --

6 THE COURT: You can't mumble. You can object or  
7 you can say you do not object. You can't --

8 MR. BROWN: I object. It's not accurate.

9 THE COURT: Sustained.

10 Q After the first visit, you reviewed his M.R.I.'s  
11 only; correct?

12 A No. I had to chance to -- after the first visit, I  
13 had a chance to review other records, as well, during the  
14 whole time the patient was under my care.

15 Q Understood. But, at the time you wrote the report  
16 on April 4, 2016, you had the M.R.I.'s; correct?

17 A From the first visit I just had the previous  
18 M.R.I., the new M.R.I. and the physical exam.

19 Q Now, on May 17th of 2016, you performed the surgery  
20 that you went over with us; correct?

21 A Correct.

22 Q And was your preoperative and postoperative  
23 diagnosis neck pain?

24 A Hmm? It was cervical radiculitis, as well.

25 Q And did it also include a diagnosis C5-6 disc

1 herniation with central foraminal stenosis?

2 A Yes.

3 Q Now, Doctor, is it possible for a person the  
4 plaintiff's age, work history and body habitus to have this  
5 condition without an accident?

6 MR. PFLUGER: Objection to form. Anything is  
7 possible.

8 THE COURT: Sustained.

9 Q Can a person of the plaintiff's age, work history  
10 and body habitus have spinal stenosis without an accident?

11 A He should have symptoms prior to that but not  
12 immediately after the accident.

13 Q Can they have it, though?

14 A Anything is possible.

15 Q Now, in the -- you indicated earlier you did review  
16 the Beth Israel Hospital records from the surgery; correct?

17 A Yes. It's in my operative report.

18 Q Is that all you reviewed with just the operative  
19 report or did you review the records from the hospital?

20 A There is nothing else I needed. No.

21 Q And you also indicated that Dr. Avanesov was the  
22 co-surgeon; correct?

23 A Correct.

24 Q And in your operative report that is part of your  
25 chart, you can refer to it in your operative report, Doctor,

1 does it list the surgeon as Karen Avanesov?

2 A Because you have to dictate, two people have to  
3 dictate. When you do co-surgeons you have to dictate the  
4 same report. So, you might have a copy that says Avanesov  
5 surgeon and a report that says Lerman, and I have a copy  
6 listing Lerman surgeon and Avanesov co-surgeon. So, you have  
7 to have double of the dictation for record purposes.

8 Q Understood. At the end of the report, it would be  
9 page three --

10 A Mm-hmm.

11 Q -- it says for the closure, please see Dr. Lerman  
12 dictation for detailed closure.

13 A Correct.

14 Q Does that refer to the closure of the plaintiff's  
15 skin after surgery?

16 A Skin, yes.

17 Q And is that the part that you did?

18 A No. I did the whole part. It's just my dictation  
19 is more detailed.

20 Q And you did that exclusively, the closing part?

21 THE COURT: Meaning, no one else did that?

22 Q (Continuing) Meaning, no one else, you did that  
23 closure?

24 A Of course, yes.

25 Q And you again saw the plaintiff on May 23rd of

1 2016; correct?

2 A Let me see.

3 (Witness scanning documents)

4 A Yes, correct.

5 Q And again in that report, you again state that the  
6 plaintiff denies any history of trauma?

7 A Correct.

8 Q And in that report, you again state that the  
9 plaintiff has never been diagnosed with a significant  
10 problem?

11 A Correct.

12 Q And after that visit, did you again state that the  
13 plaintiff has spinal stenosis in the cervical region?

14 A Correct.

15 Q And did you again state that the plaintiff has  
16 spinal stenosis in the lumbosacral region?

17 A Correct.

18 Q Now, by the time of that visit, did the pain that  
19 you indicated on the plaintiff's calf resolve?

20 A The DVT?

21 Q Yes.

22 A Which note are we talking about?

23 Q May 23rd of 2016.

24 A He might still have the tenderness -- no, May 23rd,  
25 2016, that's when he first complained of that right calf.

1 Q But, at some point that resolved; correct?

2 A Yes.

3 Q Now, Doctor, you again saw the plaintiff on June  
4 6th of 2016; correct?

5 A One second.

6 (Witness scanning documents)

7 A (Continuing) June 6th? June 6th, yes. Correct.

8 Q Do you have that?

9 A Yes.

10 Q And Doctor, after that visit did you generate a  
11 report that you have in front of you?

12 A Yes.

13 Q And in that report, did you again state the  
14 plaintiff denies history of trauma?

15 A Correct.

16 Q And in that report, do you again state the  
17 plaintiff has never been diagnosed with a significant  
18 problem?

19 A Correct.

20 Q And in that report, did you also state that the  
21 plaintiff has spinal stenosis in the cervical and lumbar  
22 region?

23 A Yes.

24 Q And then you again saw the plaintiff on July 25th  
25 of 2016; correct?

1 A Yes.

2 Q And in that report, you again state that the  
3 plaintiff denies history of trauma and ever being diagnosed  
4 with a significant problem?

5 A It will be repeated because it's generated as part  
6 of the first entire visit so that's why it keep repeating.  
7 So, from the time he came on, this is the history and it will  
8 generate exactly the same note --

9 Q So, in each report does it say that?

10 A Yes.

11 Q After the July 25th of 2016 visit, did you again  
12 state that the plaintiff had spinal stenosis in the cervical  
13 and lumbar spine?

14 A Correct.

15 Q And you again saw the plaintiff on -- in December  
16 of 2016; correct?

17 A Correct.

18 Q Did you again say that the plaintiff has spinal  
19 stenosis in the cervical and lumbar spine?

20 A Yes.

21 THE COURT: You mean was it auto-populated into the  
22 record again?

23 THE WITNESS: It's part of the chart, yes.

24 Q And would that be the same for your visits of  
25 March 13, 2017 and June 26th of 2017?



1 A Correct.

2 Q So, it would be fair to say that the history is the  
3 same and the diagnosis was the same?

4 A Yes. The diagnosis didn't change because he still  
5 has other history and issues that --

6 Q Fair enough. I don't want to go through each one  
7 if I don't have to. Thank you, Doctor.

8 Now, the last time that the plaintiff was seen at  
9 your office was July 11th of 2018; correct?

10 A One second.

11 (Witness scanning documents)

12 MR. PFLUGER: Can I have the question read back?

13 A July 11th.

14 THE COURT: The last time that he saw him.

15 Q And Doctor, it was after that that you generated  
16 the narrative report that's dated August 21st of 2018;  
17 correct?

18 A Correct.

19 Q And you indicated that -- on direct that you didn't  
20 see Mr. Torres that day, that a physician's assistant saw  
21 him; correct?

22 A Correct.

23 Q So, the physician's assistant was not Dr. Avanesov;  
24 correct?

25 A No.

1 Q And in that report dated August 21, 2018, you  
2 address it to Williams Schwitzer & Associates; correct?

3 A Correct.

4 Q And did William Schwitzer & Associates pay you for  
5 that report?

6 A Yes.

7 Q How much?

8 A I think it was seven hundred dollars.

9 Q And at that time when you wrote the report on  
10 August 21st, 2018, at the end did you sign it?

11 A Yes.

12 Q And at that time on August 21st, 2018, did you know  
13 that this case was coming up for trial?

14 A I figured that it's part of the -- I didn't know if  
15 it was going for trial or not, but I know that it became a  
16 part of the legal part of that.

17 Q Now, in that report did you state that the  
18 plaintiff had a prior motor vehicle accident in 2013?

19 A Correct.

20 Q And you also state that he returned to work and was  
21 asymptomatic at the time of accident of February 25, 2015?

22 A Correct.

23 Q Again, did you get that information from the  
24 plaintiff?

25 A We also saw the Third Avenue Medical records, yes.

1 Q So, this is the first time that you listed it in  
2 your report dated August 21st.

3 Was the plaintiff untruthful to you on the prior  
4 occasion?

5 A No. We never had an issue. We talked about it.  
6 Why would he be untruthful?

7 Q But, you put it in this report without putting it  
8 in the other reports; correct?

9 A Because this is a more of a detailed narrative  
10 of --

11 Q Yes or no, you put it in this report; correct?

12 A Yes, of course.

13 Q You didn't put it in any other report; correct?

14 A No. I didn't feel that it was necessary for my  
15 notes.

16 Q And you didn't put it in the other reports because  
17 you didn't know about it; correct?

18 A I knew about it. I have a previous M.R.I. I gave  
19 you.

20 Q But, you had the previous M.R.I. when you looked at  
21 things in 2018; correct?

22 A No. I had the previous M.R.I. from day one.

23 Q Wait. You're saying that you reviewed the prior  
24 M.R.I. in April of 2016?

25 A Yes. And it was not significant.

1 THE COURT: He testified to that now two or three  
2 times on direct and cross.

3 Q But, you didn't list that you reviewed that M.R.I.  
4 in your report of April --

5 A No. It was not significant to me.

6 Q Now, did the plaintiff's attorney give you the  
7 information about the -- withdrawn.

8 Did the plaintiff's attorney give you the records  
9 regarding the 2013 accident?

10 A I don't remember who gave me the report.

11 Q And earlier we talked about secondary gain;  
12 correct?

13 A Correct.

14 Q And because the plaintiff did not tell you about  
15 any prior history of trauma, do you think secondary gain is  
16 in play in this case?

17 MR. PFLUGER: Objection.

18 THE COURT: Sustained. First of all, it calls for  
19 speculation. Second of all, the jury's recollection of  
20 the testimony controls.

21 But, the witness has now testified at least three  
22 times, maybe four times, that he was aware of the prior  
23 accident because he had the prior M.R.I. on the first  
24 visit. I just finished ruling on Mr. Pfluger's previous  
25 objection to that.

1 Q And in the July 11, 2018 visit, did you state that  
2 the plaintiff returned to work?

3 A Hold on one second. Returned to -- hold on one  
4 second. Just one second, I have to find that one.

5 (Witness scanning documents)

6 A (Continuing) Patient currently working and does  
7 not participate in physical therapy, correct.

8 THE COURT: That record is in evidence; correct?

9 THE WITNESS: Yes.

10 THE COURT: Sorry, I'm just getting a little  
11 punchy.

12 Q Now, and in your narrative report, Doctor, you  
13 state the records that you reviewed in a section that's  
14 called review of M.R.I. and medical records?

15 A Yes, correct. We went over it.

16 Q And you listed the M.R.I. from the back of the neck  
17 in 2015; correct?

18 A Correct.

19 Q And you listed the M.R.I. of the cervical and  
20 lumbar spine of August 28, 2013; correct?

21 A Yes.

22 Q And you indicated that you reviewed the records  
23 from Dr. Westerland and Energy Acupuncture?

24 A Right.

25 Q And you also reviewed the records from Third Avenue

1 Medical and Beth Israel Medical Center?

2 A Correct.

3 Q And you also indicate you reviewed records from Dr.  
4 Thomas and Jamaica Wellness?

5 A Yes.

6 Q And you also reviewed the records from Omega  
7 Diagnostic Imaging; correct?

8 A Correct.

9 Q And now did you review any of the records from Dr.  
10 Nathaniel Mazza (Phonetic)?

11 A No.

12 Q Did you review any records from a Dr. Fritz Gonje  
13 (Phonetic)?

14 A I don't recall.

15 Q Did you review any records from a Jean Baptiste  
16 Simeon (Phonetic)?

17 A Again, I don't recall the names.

18 Q Did you review any records from North Valley  
19 Medical and Dr. Capers (Phonetic)?

20 A I don't remember.

21 Q Did you review any records from Landsman  
22 Acupuncture?

23 A Whatever you see here is the records that I  
24 reviewed.

25 Q Did you review a legal file from Andrew Hirschhorn,

1 Esq.?

2 A No.

3 THE COURT: A legal file? Okay.

4 Q And Doctor, would you agree that without reviewing  
5 these records, that your diagnosis would be less than valid?

6 A Absolutely not.

7 Q Would you agree that without a review of those  
8 records, that your diagnosis would be incomplete?

9 A No.

10 Q Without a review of those records, is your opinion  
11 on the cause of the plaintiff's injuries less than valid?

12 A Nope.

13 Q Now, being a physician who wants to be accurate in  
14 his diagnosis, would you want to see those records to give an  
15 accurate opinion to the jury?

16 A Not necessarily.

17 Q Now, again, you gave an opinion that the  
18 plaintiff's neck and back problems are related to the 2015  
19 accident; correct?

20 A Correct.

21 Q And when the -- withdrawn.

22 You list in your narrative report that the first  
23 time you saw the records from Third Avenue Medical was in  
24 July of 2018; correct?

25 A Correct.

1 Q Now, when you first reviewed the records from Third  
2 Avenue Medical in July of 2018 right before trial, did that  
3 cause to you question the accuracy of the information you  
4 received from the plaintiff previously?

5 A No.

6 Q When the plaintiff told you the first time you list  
7 in your report in the narrative that he had an accident in  
8 2013, did that cause you to question the plaintiff's  
9 truthfulness?

10 A No. I knew he had a car accident from day one; we  
11 spoke about it.

12 Q Now, are you aware that the plaintiff underwent  
13 therapy after 2013 accident?

14 A Yes.

15 Q And you're aware that he had M.R.I.'s after the  
16 2013 accident, obviously; correct?

17 A Yes. I said that.

18 Q And if the plaintiff had a M.R.I -- withdrawn.

19 After the 2013 accident, he had M.R.I.'s of neck  
20 and his back; correct?

21 A Correct.

22 Q And if the plaintiff had a M.R.I. of his neck and  
23 back as a result of the 2013 accident, does that mean that  
24 some physician determined that he had significant pain to  
25 warrant that expensive test?



1 MR. PFLUGER: Objection.

2 THE COURT: Can I hear that question back.

3 (Whereupon, the question as requested was read by  
4 the reporter.)

5 THE COURT: Well, the doctor already testified that  
6 he read the M.R.I. on the first visit, so sustained. If  
7 you want to ask him a question about whether it's  
8 meaningful that someone ordered that test, you can ask  
9 that question without objection.

10 MR. BROWN: Okay.

11 Q Is that significant to you that some doctor ordered  
12 the M.R.I. of his neck and back after the 2013 accident?

13 A No.

14 Q And are you aware that Mr. Torres hired a lawyer  
15 for the 2013 accident?

16 MR. PFLUGER: Objection.

17 THE COURT: Sustained.

18 Q Do you think that you are well informed of the  
19 plaintiff's history?

20 A Yes.

21 Q Now, in your operative report you indicate that the  
22 plaintiff had a herniated disk at the C5-6 level; correct?

23 A Correct.

24 Q Is that herniation a significant problem in your  
25 view?

1 A Yes.

2 Q Now, in your chart you indicated that you reviewed  
3 the August 28, 2013 M.R.I. of the plaintiff's neck; correct?

4 A Correct.

5 Q And that report is part of your chart and it's in  
6 evidence; correct?

7 A Correct.

8 Q And are you aware that Dr. Robert Traflick  
9 diagnosed the plaintiff with --

10 MR. PFLUGER: Objection.

11 Q -- herniation at C5 and C6?

12 THE COURT: Hold on, there is an objection. Stop  
13 talking. Let's step outside.

14 (Whereupon, an off-the-record discussion was held  
15 outside the courtroom.)

16 THE COURT: All right, the objection is overruled.  
17 If you can just do me a favor and restate the question.

18 MR. BROWN: I will.

19 Q Now, Doctor, are you aware that Dr. traffic lick  
20 after reviewing the M.R.I. of the plaintiff's cervical  
21 spine --

22 THE COURT: The 2013 M.R.I.

23 MR. BROWN: Yes.

24 Q -- on August 28, 2013, diagnosed -- gave an  
25 impression of anterior disc herniation at C3-4, C4-5 and

1 C5-6?

2 A Yes.

3 Q Are you also aware that Dr. Traflick gave an  
4 impression of posterolateral annular bulging at C2-3, C4-5,  
5 C5-6 and C6-7?

6 A Sure.

7 Q And are you also aware that Dr. Traflick gave an  
8 impression of posterolateral disc herniation at C3-4?

9 A Correct.

10 Q And are you also finally aware that he gave an  
11 impression of straightening of the cervical lordosis?

12 A Correct.

13 Q Now, having -- and did Dr. Traflick after reviewing  
14 the plaintiff's lumbar spine M.R.I. also give an impression  
15 that the plaintiff had a disc herniation at L5-S1?

16 A Correct.

17 Q And did Dr. Traflick also state that the plaintiff  
18 had a bulging disc at the levels of L3-4 and L4-5?

19 A Correct.

20 Q And isn't it true that you operated on a herniated  
21 disc at C5-6?

22 A Correct.

23 Q And is it true that a person can be a surgical  
24 candidate after being diagnosed with a herniated disc?

25 A Correct.

1 Q Now, Doctor, I want you to assume that the  
2 plaintiff testified under oath at his deposition at page 73,  
3 line 24, to page 74, line 4, that he had never injured his  
4 neck before the 2015 accident and he never had any treatment  
5 for his neck before the motor vehicle accident in 2015?

6 Can you assume that?

7 A Sure.

8 Q And I also want you to assume that the plaintiff  
9 testified during this trial that he never injured his neck  
10 before the 2015 motor vehicle accident and that he did not  
11 recall any prior treatment for his neck before the 2015  
12 accident; okay?

13 MR. PFLUGER: Objection.

14 THE COURT: Do you have the testimony -- as to the  
15 E.B.T.'s, you can check. As to the trial, does anybody  
16 have the dailies?

17 MR. BROWN: No.

18 THE COURT: Then, the objection is sustained. The  
19 jury's recollection controls.

20 Q Doctor, does this make you question the plaintiff's  
21 truthfulness here?

22 A Maybe he didn't feel like he hurt his neck so --

23 Q Well, Doctor, reviewing those records, he obviously  
24 had treatment for his neck; correct?

25 A Yes, he did have some treatment for his neck.

1 MR. BROWN: Objection.

2 THE COURT: Overruled.

3 Q So, what the plaintiff told you was untrue about  
4 his prior treatment; correct?

5 A He never told me that he didn't have any treatment.

6 MR. BROWN: Objection.

7 THE COURT: Sustained. You're a little late with  
8 that.

9 MR. PFLUGER: Sorry.

10 Q Doctor, if the plaintiff had a herniated disc at  
11 C5-6 as Dr. Traflick opined in 2013, isn't it possible that  
12 he could have be a surgical candidate for the 2013 accident?

13 MR. PFLUGER: Objection.

14 THE COURT: If he had a herniation after 2013?

15 MR. BROWN: Right.

16 MR. PFLUGER: Is it possible?

17 THE COURT: Sustained as to form.

18 Q If the plaintiff had a herniated disc at C5-6 as  
19 Dr. Traflick opined in 2013, could he have been a surgical  
20 candidate for the 2013 accident?

21 A He didn't have a disc herniation.

22 Q And it's not possible at all?

23 MR. PFLUGER: Objection.

24 THE COURT: Sustained. You don't get to ask those  
25 questions.

1 Q Now, knowing that Dr. Traflick diagnosed the  
2 plaintiff with the herniated disc at C3-4, 4-5 and 5-6 after  
3 the 2013 accident, could that have been a direct cause of the  
4 plaintiff's injury and discectomy?

5 MR. PFLUGER: Objection.

6 THE COURT: Sustained.

7 Q Now, doctor, does seeing Dr. Traflick's report  
8 change your opinion as to the cause of the plaintiff's neck  
9 injuries?

10 A Absolutely not.

11 Q Now, you also in your narrative report talk about a  
12 future plan for the plaintiff; correct?

13 A Correct.

14 Q And you state that the plaintiff will need M.R.I.'s  
15 and C.A.T. scans of the cervical spine; correct?

16 A Correct.

17 Q And you also state that the plaintiff will need  
18 therapy, injections and medication?

19 A Correct.

20 Q Now, with regard to the injections, are you aware  
21 that the plaintiff has only seen the pain management doctor,  
22 Dr. Thomas, on two occasions?

23 A I know he has been seeing him; I'm not sure how  
24 many occasions.

25 Q Do you know if the plaintiff is currently taking

1 any medication?

2 A I'm not aware, no.

3 Q Now, in your report you also state on July 25th of  
4 2016 that the plaintiff was not participating in physical  
5 therapy; correct?

6 A Correct.

7 Q And then you state that he was not participating in  
8 physical therapy on December 12, 2016, March 13, 2017, and  
9 June 26th of 2017; correct?

10 A Because he had financial difficulties.

11 Q But, he wasn't participating; correct?

12 A And due to the clot he cannot participate. He was  
13 participating on and off.

14 THE COURT: I'm sorry, I didn't understand.

15 THE WITNESS: Because of the DVT, you cannot do  
16 physical therapy at the time because his range of motion  
17 can set the clot off.

18 Q You're talking about the DVT in May of 2016;  
19 correct?

20 A Yes.

21 Q Do you still feel that the plaintiff needs physical  
22 therapy even though he is working full-time since soon after  
23 the surgery in May of 2016?

24 A Only if there is an exacerbation of symptoms.

25 Q And earlier you testified on direct that you feel

1 that the plaintiff will probably need another surgery on his  
2 neck in 2020 or 2021.

3 Do you remember giving that testimony?

4 A '20, 2025, correct.

5 Q Did you speak to the plaintiff about this future  
6 plan?

7 A I spoke to the plaintiff even before doing the  
8 first surgery. It's not an if, it's a matter of when he is  
9 going to have another surgery.

10 Q Now, in your report under future plan, do you also  
11 write with regard to the surgery "if the symptoms worsen"?

12 A Correct.

13 Q So, you're not certain if he needs future surgery,  
14 it's something that he may need; correct?

15 A As was mentioned earlier, it's not a matter of if,  
16 it's a matter of when.

17 Q So, then you do state if the symptoms worsen he  
18 needs surgery; correct?

19 A Correct.

20 Q Now, you also state that the surgery would cost an  
21 estimated seventy thousand dollars; correct?

22 A Correct.

23 Q Is that in today's dollars?

24 A I'm not sure I understand.

25 THE COURT: Meaning if the surgery was done today,



1 would it cost seventy thousand dollars?

2 THE WITNESS: No. We're talking about future. So,  
3 if the surgery is done today it will probably be twenty  
4 percent less depending on inflation.

5 Q Did you put that in your report about what you just  
6 said inflation and today's dollars?

7 A No, I didn't put it in the plan.

8 Q You also state it would cost an additional one  
9 hundred thousand dollars for hospital fees, anesthesia,  
10 implants, and room and board; correct?

11 A Correct.

12 THE COURT: Stop. We're going to take five.

13 MR. BROWN: Okay.

14 THE COURT OFFICER: Ladies and gentlemen.

15 (Whereupon, the jury exits the courtroom.)

16 THE COURT: Sir, you remain under oath.

17 (Whereupon, a recess was taken.)

18 THE COURT OFFICER: Ready for the jury?

19 THE COURT: Yes.

20 THE COURT OFFICER: Jury entering.

21 (Whereupon, the jury enters the courtroom.)

22 THE COURT: Okay, you can all be seated. Thanks.

23 BY MR. BROWN:

24 Q Now, Doctor, earlier I asked you about the cost  
25 that you associated with the surgery that you think the

1 plaintiff needs; correct?

2 A Correct.

3 Q In your report, did you list any source for those  
4 costs, how you arrive at that figure?

5 A What it is about right now, the cost of the  
6 surgery?

7 Q In your report did you put where you found it or --

8 A No, I did not. I'm not a financial planner.

9 Q Do you have any proof with you today of what the  
10 charge was for the plaintiff's surgery in 2016?

11 A No, I don't.

12 THE COURT: Is he carrying the proof with him?

13 Q Do you know what you charged him in 2016?

14 A I'm not the billing department; I don't know the  
15 answer to that.

16 Q Now, earlier again you indicated that the plaintiff  
17 would need pain management; correct?

18 A Correct.

19 Q And I believe on direct you indicated that you're  
20 aware the plaintiff had only one epidural steroid injection  
21 to his neck since this accident; correct?

22 A Prior to surgery, correct.

23 Q Right. Yes.

24 Now, because he only had the one injection in 2015  
25 before the surgery, isn't your estimate for future epidural

1 injections speculative?

2 A No.

3 Q Now, I believe in answering my question you said  
4 you are not a financial planner; correct?

5 A Correct.

6 Q You're a doctor licensed to practice in New York;  
7 correct?

8 A Correct.

9 Q You are not an economist; correct?

10 A No.

11 Q Did you discuss these costs with the plaintiff?

12 A No.

13 Q And do you feel that your plan is accurate even  
14 though you haven't seen the plaintiff since June of 2017?

15 A Yes.

16 Q Now, Dr. Lerman, on direct you said if you put ten  
17 different radiologists in a room and those ten radiologists  
18 looked at a M.R.I., you can get ten different opinions;  
19 correct?

20 A Correct.

21 Q So, is it fair to say that one or some of those  
22 radiologists could be right?

23 A There is no such thing.

24 Q Okay. Could some be wrong?

25 A I mean, there is definitely no such thing. They

1 are gonna have a different opinion of what they see. But, it  
2 would be within the same reason but it could be a bulge  
3 versus herniation, or this versus that.

4 Q And Dr. Traflick reviewed the surgical M.R.I. in  
5 2013 and you did, as well; correct?

6 A Yes.

7 Q And is it fair to say that Dr. Traflick can be  
8 right?

9 A We're not saying he's right or wrong here.

10 Q Is it fair to say that reading that M.R.I. from  
11 2013 that you could be wrong?

12 A I rely only on my own reading.

13 Q Now, on direct you also said that the only real way  
14 to see a condition of a person's spine is to see it with your  
15 own eyes; correct?

16 A Correct.

17 Q And is it accurate that the only thing that you can  
18 be certain about is what you observed about the plaintiff's  
19 neck on the date of May 17, 2016 when you did the surgery?

20 A I'm not understanding your question.

21 Q On May 17, 2016, that was the only time that you  
22 actually saw the plaintiff's spine, correct, with your own  
23 eyes?

24 A You mean when we did the surgery? You mean the  
25 patient's discs, and the patient's nerves, and the patient

1 spinal cord?

2 Q Yes.

3 A Yes, that's the only time besides the M.R.I.

4 Q Wait. That's not what I asked you. The only time  
5 you saw --

6 THE COURT: That actually is what you asked.

7 Q (Continuing) The only time you saw it with your  
8 own eyes was during the surgery; correct?

9 A No.

10 Q He only had one surgery; correct?

11 A Correct. But, I saw it first on the M.R.I. and  
12 then confirmed it with seeing it directly --

13 Q I'm not talking about through a film, Doctor.

14 I want to know the only time you saw it with your  
15 own eyes without the benefit of films was on the date of the  
16 surgery?

17 A Yes.

18 Q So, is it fair to say you can't be sure of the  
19 condition of the plaintiff's spine anytime before that?

20 A Yes, of course. I saw the M.R.I. before.

21 Q But, you can't be sure because you didn't see it  
22 with your own eyes. Can you be sure?

23 A No one can be a hundred percent sure.

24 MR. BROWN: Thank you, Doctor. I have no further  
25 questions.

1 THE COURT: Redirect.

2 MR. PFLUGER: Yes, sir. Thank you.

3 REDIRECT EXAMINATION

4 BY MR. PFLUGER:

5 Q Sir, we were just talking about you looking at his  
6 spine.

7 A Correct.

8 Q So the jury understands, you saw the herniated disc  
9 at C5-C6; correct?

10 A Confirmed it, yes. With visualizing it during the  
11 surgery, yes.

12 Q So, we can agree that a surgeon's two eyes are  
13 the --

14 A Four eyes.

15 Q Tell us why you say four eyes.

16 A Because you have to always have the presence of a  
17 second spine surgeon as I mentioned earlier. Even the M.R.I.  
18 has to be done prior to surgery by two surgeons.

19 Q When you looked at his spine, what visual aids did  
20 you use?

21 A We also have a special light that you mount on your  
22 head and 2.5 times millimeter magnification loops. These are  
23 the glasses with the microscope in them.

24 Q So, when you saw the herniation, tell us what it  
25 looked like.

1           A     There was a tear and as I showed in the pictures  
2 the little crabmeat that was inside of the foramen or the  
3 little window where the nerve root is impinging the nerve of  
4 the spinal cord.

5           Q     Are you able to tell us, was it a herniation that  
6 resulted from the 2015 accident?

7           A     You can always tell a fresh herniation versus an  
8 old herniation.

9           Q     Tell the jury why.

10          A     It's a soft material versus hard.  If it's been  
11 there for a while, the disc becomes calcified so it becomes  
12 hard and almost impossible to remove it.

13                     If it's a soft matter like in this case, it's  
14 not -- it's full of water and you just pull out the soft  
15 matter so you know it's acute or a/k/a new.

16          Q     Just so we're clear, this was a herniation that  
17 happened as a result of the February 25, 2015 accident;  
18 correct?

19          A     Correct.

20          Q     And, sir, I want you to assume because defense  
21 counsel has informed us that he is calling Dr. Frau  
22 (Phonetic), a radiologist, and a Dr. Nicholas Post, and they  
23 both looked at the M.R.I. films for 2013.  And I want you to  
24 assume they're going to tell us that neither of those  
25 defendant's doctors saw a herniation in the 2013 M.R.I.

1 MR. BROWN: Objection. It's beyond the scope.

2 THE COURT: No, it's not beyond the scope.

3 MR. PFLUGER: You brought it up.

4 A I agree with them.

5 Q Now, I think you wanted to tell us about spinal  
6 stenosis. What is it?

7 A So, spinal stenosis, steno in Latin means  
8 narrowing. So, anything can narrow the canal is called  
9 stenosis.

10 Stenosis in the arteries is when your arteries are  
11 narrow. Stenosis in the spinal canal is when something is  
12 narrowing, whether it's a large disc herniation, whether it's  
13 degenerative disease, or any trauma that can be compressing.

14 So, it not necessarily refers to spine, not  
15 necessarily refers to someone smoking, not necessarily --  
16 stenosis in reality is just a narrowing of the canal, simple.

17 Q And did you formulate an opinion within a  
18 reasonable degree of medical certainty what the cause of that  
19 narrowing was?

20 A In this situation, yes. It's the disc herniation.

21 Q Okay. Caused by what?

22 A By the trauma.

23 Q Of the accident of February 25, 2015?

24 A Yes.

25 Q When you visualized the operative field and you saw



1 the spine located at C5-C6, did you see any degenerative disk  
2 disease with your own two eyes?

3 A No. He's too young for degenerative disk disease.

4 Q Why?

5 A I didn't see any degenerative disc disease.

6 Q And I think the Court mentioned auto-populated  
7 notes.

8 THE COURT: Auto what?

9 MR. PFLUGER: Did you say auto-populated notes?

10 THE COURT: No, he said it. And he said it would  
11 auto populate. So, the fourth time when the question  
12 got asked, I said the same auto-populated notes that he  
13 mentioned. It's not my term.

14 MR. PFLUGER: Your Honor, I'm sorry.

15 THE COURT: No, it's okay. I just want the record  
16 to be clear and I want the jury to be clear, I'm just  
17 trying very hard. And I don't want to make up terms, I  
18 just want to repeat things that are said in response to  
19 objections.

20 MR. PFLUGER: You're a big man, but you're a soft-  
21 spoken person.

22 THE WITNESS: It's just my voice right now.

23 BY MR. PFLUGER:

24 Q So, what does that mean? Because counsel kept  
25 reading the note --

PROCEEDINGS

1           A       Instead of going back to every time to the first  
2 note, you have from the beginning it generates the same note.  
3 So, you can add to it in your first half all the new stuff  
4 that's going on, but at the same time you can refer to all  
5 the stuff that's been there from day one.

6           MR. PFLUGER: Thank you, sir.

7           THE COURT: Any recross?

8           MR. BROWN: I have none. Thank you, sir. Thanks  
9 for being here.

10          THE COURT: Plaintiff have anything further?

11          MR. PFLUGER: No, sir.

12          THE COURT: Do you have any other witnesses?

13          MR. PFLUGER: No, sir. Plaintiff rests.

14          THE COURT: Thanks. I assume -- I know that you  
15 plan to call witnesses. You're going to start doing  
16 that on Wednesday; correct?

17          MR. BROWN: That's correct, your Honor.

18          THE COURT: So, ladies and gentlemen, you have now  
19 heard all of the plaintiff's case in chief which I  
20 described to you would happen during the opening charges  
21 I gave you.

22                When -- we're going to go off tomorrow, as I  
23 mentioned, all day. And when we come back, it will be  
24 defendant's chance to put on their case in chief in  
25 opposition.

PROCEEDINGS

1           And then I don't anticipate this happening, but as  
2 I mentioned to you during opening charges, at that point  
3 the plaintiff would have a right, although no  
4 obligation, if he wants to present rebuttal witnesses.  
5 But, in general, we expect it's going to be plaintiff's  
6 case, defendant's case, end of story.

7           And then that's Wednesday, and you'll be here  
8 Wednesday for sure, Thursday, we believe. And then the  
9 only question is whether we will be back on Friday or  
10 finished by Friday. But, my suspicion is we will be  
11 back on Friday for deliberations; okay?

12           And that's it. And it is my very strong goal that  
13 were gonna be finished by this Friday and that we won't  
14 carry into next week.

15           9:30, Wednesday. And we've got two doctors to get  
16 through on Wednesday so let's try to get a fresh start  
17 on Wednesday and that way we'll hopefully finish them  
18 both in a timely way.

19           Thanks very much for your work. Please don't talk  
20 to who you are not allowed to talk to. Don't do any  
21 research of what you are not allowed to research.

22           I look forward to seeing you then.

23           THE COURT OFFICER: Ladies and gentlemen.

24           (Whereupon, the jury exits the courtroom and the  
25 trial was adjourned to November 7, 2018, at 9:30 a.m.)