

SUPREME COURT OF THE STATE OF NEW YORK  
COUNTY OF KINGS: CIVIL TERM: PART 43

-----x  
VERONICA MARTINEZ,  
Plaintiff,

Index No.  
- against -  
002827/2015  
TRIAL/EXCERPT

GINA MARIE CHINESE and GANDOLFO CHINESE,  
Defendants.

-----x  
360 Adams Street  
Brooklyn, New York 11201

March 15, 2018

B E F O R E:

HONORABLE MARK I. PARTNOW,  
Justice of the Supreme Court, and a Jury.

A P P E A R A N C E S:

WINGATE RUSSOTTI SHAPIRO & HALPERIN  
Attorney for the Plaintiff  
420 Lexington Avenue, Suite 2750  
New York, New York 10170  
BY: ANDREA V. BORDEN, ESQ.

PICCIANO & SCAHILL, P.C.  
Attorney for the Defendant  
1065 Stewart Avenue, Suite 210  
Bethpage, New York 11714  
BY: JESSE M. SQUIER, ESQ.

MIRIAM KAPLAN  
Senior Court Reporter

1                   ALEXANDRE DEMOURA, M.D., after  
2 having been first duly sworn, was examined and testified  
3 as follows:

4 DIRECT EXAMINATION

5 BY MS. BORDEN:

6       Q    Good morning, Dr. De Moura.

7       A    Good morning.

8       Q    Doctor, are you licensed to practice medicine in  
9 the State of New York?

10      A    Yes, I am.

11      Q    When did you become so licensed?

12      A    I believe 1996.

13      Q    Can you please tell us a little bit about your  
14 medical educational background?

15      A    Okay. It goes way back. First, my father was an  
16 orthopedic surgeon, so I followed in his footsteps, went to  
17 the Chicago Medical School in 1990, graduated.

18                   I then decided to become an orthopedic  
19 surgeon, so I did my residency. So you go to college for  
20 four years, medical school for four years, then residency is  
21 another five years to learn about orthopedic surgery, which  
22 is basically surgery on bones and muscles throughout the  
23 entire body.

24                   And then I decided to specialize one more year  
25 just on the spine. So I do spine surgery at NYU.

1 Q Okay. Doctor, what is the difference between a  
2 general orthopedic surgeon and a spinal surgeon?

3           A     So my practice now is limited just to the spine.  
4     So I operate on people's necks, mid back and low back areas.  
5     If I wanted to, I could do hip replacement, knee  
6     replacement, things like that, which is sports medicine,  
7     which is what a general orthopedic surgeon does. But I  
8     specifically just focus my practice on the spine.

9 Q All right. Are you board certified?

10 A Yes.

11      ||      Q      In what?

## 12 A Orthopedic surgery.

13 Q Can you tell us a little bit about your current  
14 practice as it is today?

15 A So I'm the Director of the New York Spine  
16 Institute. We are comprised of three spinal surgeons. We  
17 have a large facility on Long Island with offices in all the  
18 boroughs. Our practice is dedicated just to all problems  
19 related to the spine. So when patients have spinal  
20 complaints, back problems, neck problems, we could treat  
21 them with physical therapy, pain management. We have MRI,  
22 X-rays onsite.

23 So we try to give a comprehensive center where  
24 patients can be treated with any problem related to the  
25 spine. And if all else fails, surgery's an option.

1 Q And you have hospital affiliation?

2 A Yes.

3 Q What is that?

4 A I'm affiliated with NYU in the city, St. Francis  
5 hospital, Mercy Interfaith Medical Center, Winthrop Hospital  
6 in Long Island, and some hospitals in New Jersey also.

7 Q Doctor, as part of your practice are you sometimes  
8 asked to come and give testimony in court regarding care and  
9 treatment that you provided to some of your patients?

10 A Yes.

11 Q Doctor, have you and I ever met before preparing  
12 for this trial last week?

13 A No.

14 Q Have you ever testified in a case in which I was  
15 the trial attorney?

16 A No.

17 Q Are you being compensated for your time here today?

18 A Yes.

19 Q How much?

20 A \$10,000.

21 Q Okay. What did you have to cancel to be here with  
22 us this morning?

23 A 60 patients.

24 Q I won't have you start from scratch and explain the  
25 anatomy of the spine to us because we heard about that two

1 days ago, Doctor, but can you tell us a little bit about a  
2 herniated disc. What is a herniated disc?

3 A So basically the spine is made up of little bones.  
4 If that was not the case you'd have one solid bone and it  
5 would be very stiff. So the bone basically supports the  
6 entire human skeleton, and it also allows the brain to  
7 communicate with the body via the spinal cord and nerves  
8 that come out of the vertebrae.

9 So in order to make the spine flexible there's  
10 something called a disc. The disc acts like little shock  
11 absorbers. There's soft structures between each of the  
12 vertebrae.

13 Just imagine a jelly donut. If you squeeze it  
14 hard enough the jelly comes out. That's something that can  
15 cause pain. If that jelly material pinches a nerve, I'm  
16 sure you've all heard of sciatica, when you have pain  
17 shooting down your leg.

18 So there's certain processes that can cause a  
19 person to develop a herniation and damage to the disc, and  
20 when that happens it's very painful.

21 Q Can a herniated disc be caused by trauma?

22 A Yes.

23 Q Can it also be caused by the general aging process  
24 or wear and tear?

25 A It could.

1           Q     All right. Doctor, generally speaking, how do  
2 spinal surgeons go about treating patients with herniated  
3 discs?

4           A     Primarily, I can easily break it down into two main  
5 options for the patient. If the patient gets a herniated,  
6 it's not like jelly, it's more like crab meat. It has a  
7 consistency to it. If you have a herniated disc in your  
8 lower back and you just have leg pain, then you can pretty  
9 much undergo a small surgery where you go in and take out  
10 that material that pinched the nerve. It's like going in  
11 and taking the jelly that's coming out of the doughnut. And  
12 then the patient goes home the same day.

13                 If the patient has mechanical back pain  
14 because the disc itself is causing a lot of pain, then you  
15 have to take out the jelly and the doughnut. If you do  
16 that, just imagine that would be in the between the  
17 vertebrae, they would grind against. To prevent that  
18 grinding, help the person with the back pain, we can do  
19 something called a fusion where we actually make the two  
20 bones grow together. Once they grow together they don't  
21 have that friction anymore.

22           Q     All right. Doctor, at some point did Veronica  
23 Martinez become your patient?

24           A     Yes.

25           Q     When did you first see her?

1 A I don't have the --

2 MS. BORDEN: I spoke to counsel. We have no  
3 objection to moving your chart in as Plaintiff's  
4 Exhibit 5.

5 MR. SQUIER: No objection, Judge.

6 THE COURT: All right. The records of this  
7 doctor, is that what that is?

8 MS. BORDEN: Yes.

9 MR. SQUIER: Yes, Judge.

10 THE COURT: It will be in evidence without  
11 objection as Plaintiff's Exhibit Number 5.

12 (Whereupon, the item referred to as  
13 Plaintiff's Exhibit Number 5 was received in evidence.)

14 A I saw the patient back in 2015.

15 Q Okay. And was that in December of 2015?

16 A Yes.

17 Q All right. By the way, how do you generally speak  
18 to your Spanish-speaking patients? Do you use an  
19 interpreter?

20 A No, I speak in Spanish.

21 Q All right, okay. So when you first saw Miss  
22 Martinez did you review her MRI films from 2014?

23 A Yes.

24 Q All right.

25 MS. BORDEN: I'd like to have the 2014 MRI

1 marked as Plaintiff's Exhibit 6.

2 MR. SQUIER: No objection, Judge.

3 THE COURT: I'm sorry?

4 MR. SQUIER: No objection.

5 THE COURT: You're moving it into evidence?

6 MS. BORDEN: Yes.

7 THE COURT: All right. The MRI disc will be  
8 in evidence without objection as Plaintiff's Exhibit  
9 Number 6.

10 (Whereupon, the item referred to as  
11 Plaintiff's Exhibit Number 6 was received in evidence.)

12 Q Doctor, as an orthopedic spinal surgeon do you have  
13 expertise in reviewing and reading MRI films?

14 A Yes, I do.

15 THE COURT: Diane, we just admitted an exhibit  
16 into evidence, Plaintiff's Exhibit Number 6.

17 THE COURT OFFICER: Okay, thank you.

18 Q I'm just gonna show some images up to show you what  
19 we're talking about.

20 MR. SQUIER: Judge, could I just have  
21 permission...

22 THE COURT: Yes, wherever you're comfortable.

23 Q Let's start with this view.

24 MS. BORDEN: Your Honor, could I ask that we  
25 dim the lights?

1                   THE COURT: Is that necessary? I think you  
2 could see it.

3                   Can you see it, Doctor?

4                   THE WITNESS: Yes, sir, yes, sir.

5                   THE COURT: Then we don't need to dim the  
6 lights.

7                   Q     Okay. Doctor, what are we looking at here in this  
8 view?

9                   MS. BORDEN: May the doctor come down?

10                  THE COURT: You could step down, Doctor.

11                  A     So this is what an MRI looks like. MRI's are for  
12 looking inside the body, for looking at soft tissue, so  
13 muscles, nerves, discs, things of that nature. X-rays show  
14 bone, and CAT scans and X-rays we would take if we want to  
15 see hard structures. So in this case the patient has a soft  
16 tissue injury and we get an MRI.

17                  Looking here, these are the vertebrae. This  
18 is as though we sliced you in half and we're looking at you  
19 from the side. Each one of these are vertebrae. There are  
20 five of them in the lower back. And this is the sacrum,  
21 which is the tailbone. So this is five, four, three, two,  
22 one.

23                  These discs, if you could see, are white. And  
24 just imagine buying a plump, fresh doughnut today at Dunkin  
25 Donuts. It's gonna be nice and soft. If you keep it on

1 your table, probably in a couple of days it's gonna be very  
2 hard. It loses its water content by getting hard. It then  
3 becomes hard and almost like brittle.

4 So in the human body the same thing can occur.  
5 When the disc loses water it starts to turn black. And we  
6 can see that this disc here is not normal compared to these  
7 other discs. This is the back of the spine and is actually  
8 the skin lying down on the table. So this tissue here, you  
9 could see, it's very smooth here, but here it looks very  
10 irregular. There's a lot of scar tissue there. And that's  
11 because something was done there in the past which is the  
12 patient had surgery in the past.

13 Q Okay. And Doctor, when we're looking at the disc,  
14 specifically at L4/5 in this view here, other than it being  
15 dried out, do you see any abnormalities with the disc  
16 itself?

17 A Not so much on this view. This view pretty much  
18 shows that the internal structure of that disc is not normal  
19 compared to the disc next to it.

20 Q Oh, okay. Let me show you a different view from  
21 that same MRI.

22 Doctor, how about this view? Tell us what we  
23 are looking at in this view?

24 A Here we're looking at almost a bird's eye view.  
25 Imagine you're lying down getting this test done. And this

1 picture is looking from your foot up to your head. This  
2 would be your back on the table. These are the muscles  
3 around your spine. This is the bone which is the vertebra,  
4 and the white portion is the canal that carries all the  
5 nerves from the brain down through the vertebrae to the  
6 body. So this is like a sack of water. And because it's  
7 white, white is water. Water is white on MRI. And inside  
8 that just imagine spaghetti strands inside a water balloon.  
9 That's what it looks like in the lower part of the spine  
10 where the nerves travel through that area.

11 So here on this side -- so since we're looking  
12 from the foot to the head, the patient's lying down, this is  
13 right and is left.

14 You could see here there's bone here but  
15 there's not here. This looks different than over here.  
16 This is actually a little joint in the spine where the  
17 vertebrae meet. This vertebra's intact. It's no longer  
18 here because that's where the patient had surgery before.

19 Also you could see something protruding down  
20 here. Imagine where my finger is. If I move my finger,  
21 there's something here. And that disc can pop out. Imagine  
22 the jelly that came out of the doughnut, and that's what  
23 occurred after this accident.

24 Q So what we're looking at here after this accident  
25 is a herniation at that L4/5 disc?

1 A Yes.

2 Q Now let me ask you. You said there's a joint down  
3 there?

4 A Yes.

5 Q Is that called the facet joint?

6 A Yes.

7 Q One type of aging is called facet joint  
8 hypertrophy?

9 A Yes.

10 Q Do you see any of that here?

11 A No.

12 Q Let me go back and ask you about that. This view,  
13 you said that the disc at L4/5 is a little dried out. Do  
14 you see any other age-related changes such as spondylosis or  
15 bone spurs?

16 A I really don't see much there.

17 Q Do you see any other type of degenerative changes  
18 such as spondylolisthesis?

19 A No.

20 Q How about the height in between the disc, how does  
21 that relate to age-related changes?

22 A The patient still has good height there.

23 Q So what does that mean in totality with respect to  
24 how her spine has deteriorated by this point?

25 A So the patient had prior surgery. That level is

1 not normal compared to the other levels next to it. So that  
2 one level between number 4 and 5 has deteriorated over time.  
3 So we know that she had some degeneration but nothing major  
4 that's really showing up on the X-ray right now or MRI.

5 Q Okay. Thank you, Doctor.

6 I want to ask you something right now, Doctor,  
7 about your first visit when you saw Miss Martinez.

8 Did you perform an examination of Miss  
9 Martinez at that time?

10 A Yes, I did.

11 Q What did your examination, specifically with  
12 respect to the low back, reveal?

13 A My examination showed that the patient had  
14 tenderness.

15 THE COURT: Counsel, why don't you put the  
16 screen down if you don't need it anymore.

17 MS. BORDEN: Okay, thank you. We'll come back  
18 to it though, I'm sorry.

19 Q I'm sorry. Go ahead, Doctor.

20 A So there was tenderness when I touched the patient  
21 in that area. There was also muscle spasm, which means the  
22 muscles are very tense. You can't control muscle spasm so  
23 someone can't fake that. There was also decreased range of  
24 motion which was showing she had pain when she would move in  
25 that area. Also, neurologically testing the nerve function

1 as to how the muscles work, she also had specific weakness  
2 of pulling the foot up. And that's very important because  
3 that indicates the nerve part of the sciatic nerve that  
4 comes out of the spine that goes down the leg is not working  
5 properly so that the foot doesn't have full strength.

6 Q What treatment had she already undergone since the  
7 accident in August of 2014 until that first visit when she  
8 saw you?

9 A So usually most patients come to me as a last  
10 resort because I just do surgery. And this patient had  
11 undergone conservative treatment before this and she had  
12 failed conservative treatment, meaning that everything she  
13 had done up until the point she had seen me really didn't  
14 help her. So she had physical therapy, she had injections,  
15 she had this percutaneous discectomy procedures. She also  
16 had another procedure where they tried to heal the perimeter  
17 of the disc itself. So all these treatments did not help  
18 the patient.

19 Q What is the significance of the fact she had  
20 exhausted those conservative treatments when you first saw  
21 her?

22 A So the significance is now the patient is a  
23 surgical candidate, or if they don't want to have surgery  
24 they have to live with pain the rest of their life.

25 Q And what did you recommend following that first

1 visit?

2 A Well, I recommended we get some new films.

3 Q All right.

4 A But I had in mind that the patient was gonna  
5 require surgery at that level between fourth and fifth level  
6 in the low back area.

7 Q Did you take new films at that time?

8 A I believe we saw her back.

9 Q And did those confirm your diagnosis?

10 A Yes.

11 Q All right. What did you know about her surgical  
12 history at that point with respect to her low back?

13 A So I knew that she had a prior surgical history  
14 where we just looked on that MRI showed you she had jelly  
15 that was taken out of the doughnut for her condition in the  
16 past. That was over four years before this accident, and  
17 she was doing fine for four years with no problems.

18 Now we have the accident in question, and  
19 since that time the patient started developing severe back  
20 pain and sciatic pain, underwent conservative treatment  
21 which did not help her.

22 Q Doctor, somebody who has already had a prior injury  
23 at that level, a prior microdiscectomy and laminectomy, is  
24 that person more susceptible to a new injury at that level  
25 if they're in an accident?

1       A     Yes.

2       Q     You saw Miss Martinez several additional times  
3 before she underwent the surgery. Did her range of motion  
4 or her surgical exam change during that time?

5       A     No.

6       Q     Prior to performing the surgery did you have a  
7 diagnosis as to what was wrong with this patient?

8       A     I felt the patient had a re-disc herniation,  
9 mechanical back pain and sciatic pain.

10      Q     Do you have an opinion to a reasonable degree of  
11 medical certainty as to what the cause of that reherniation  
12 with mechanical pain and sciatic pain was?

13      A     Yes, I believe the accident on August 30, 2014 is  
14 the accident that caused her to have these symptoms develop  
15 after that accident.

16      Q     Doctor, I want you to assume that we heard  
17 testimony from Dr. Reyfman two days ago that prior to Miss  
18 Martinez being under your care he performed a discogram  
19 where he actually injected that disc at L4/5 with contrast  
20 material, saw it leak out, and visualized for himself the  
21 annular tear of the disc at L4/5.

22                   Doctor, assuming that that's what Dr. Reyfman  
23 said, does that have an effect in your opinion in this case?

24      A     Yes.

25      Q     What is that?

1       A    It further strengthens my opinion that the damage  
2 that he saw when he did his test came from this accident.

3       Q    And why is that? What is the significance of the  
4 annular tear?

5       A    So imagine a steel belted tire. A tire nowadays  
6 have steel belts on them. Eventually the steel belt can  
7 fray. When these steel belts fray they create weakness in  
8 the side of the tire, and sometimes you get a blowout of a  
9 tire, and that blowout occurs because the steel fibers  
10 break.

11                   In the human body we have collagen fibers.  
12 And these collagen fibers are like steel belts on a tire.  
13 If they get a rip or a tear, that weakens the side of the  
14 disc itself and then that could be an area where more disc  
15 material can come through it.

16                   But also, when those little fibers rip it's  
17 very painful because there are nerve endings that go in  
18 there. I don't care if I put a little splinter in the  
19 bottom of your feet or in the tip of your finger, that  
20 little splinter is very painful. Because of all the nerve  
21 endings in the tip of your finger, you can feel that little  
22 piece of wood even though it's a little tear in your finger.

23                   The same thing could be felt inside the human  
24 body. When that disc is damaged or ripped, you can feel  
25 severe pain, and that's what this patient experienced.

1 Q Doctor, before we talk about the surgery that you  
2 performed itself, can you tell us what the purpose of it is?

3           A     So the purpose of my surgery, because as we all  
4 know, the patient did not get better from other treatments,  
5 the goal of my surgery was to make the fourth and fifth  
6 vertebrae grow together so they would become one bone and  
7 then the patient would not have friction in that area that  
8 causes the pain.

9 Q Okay. Is that a guarantee of pain free after  
10 surgery?

11       A     No. I tell all my patients, I'm not God, but  
12 usually with my experience and in my hands we probably  
13 usually get about a 90 percent success rate. And then the  
14 fusion rate is usually in the 90 to 95 percent success rate  
15 that the bones will grow together.

16 Q Okay. Doctor, were there any other good treatment  
17 options available to the patient prior to the fusion after  
18 she had exhausted all that conservative treatment?

19 || A No.

20 Q I want to walk through the surgery that you did  
21 itself. And I have a diagram here, Doctor, that I've  
22 already shown defense counsel and he does not have  
23 objection.

24 Doctor, does that diagram fairly and  
25 accurately depict the surgery that you performed?

1           A    Yes.

2           Q    All right. Would you mind coming down, with the  
3 Judge's permission, show the jury what it is that you did?

4           A    So here we see the first picture which shows the  
5 spine when I have the patient facing down on the table. And  
6 we need to get into the spine. So I need to make an  
7 incision, go down to where I feel the bone, which is in the  
8 back of the spine. Then we take the muscles off that area  
9 so I can actually work on the bone. The goal of the  
10 surgery, I want the bones to grow together. We know the  
11 fourth and fifth area is the ones that have been damaged and  
12 that disc in between it is the culprit. So my goal was that  
13 if I make those two bones grow together, they become one  
14 block of bone and then they won't have that friction that  
15 causes pain for the patient.

16                   So, as I said, we come down to the spine here  
17 at the fourth and fifth vertebra. The muscles have been  
18 spread to the side.

19                   I then prepare the bone. The way bone will  
20 grow together is if you take off the surface of the bone,  
21 then the bone cells underneath are exposed and available  
22 that they will actually then start to migrate, eventually  
23 they will grow together.

24                   If you're building a model airplane, anybody  
25 ever done that, with little boxes of wood, if you put the

1 little pieces of wood together they probably will stick  
2 together after a couple of hours. If you put a clamp on  
3 that piece of wood, the piece of wood will then further  
4 stick together. That's the reason why we need screws and  
5 rods inside the human body, to give it a better chance to  
6 heal.

7                   In the old days, if you broke your arm they  
8 probably would put new a cast. That cast holds the two  
9 bones still that are broken, that then allows them to grow  
10 together. Nowadays we put plates and screws on broken bone.  
11 It's the same idea. When you have two fresh ends of bone  
12 that need to grow together, if you hold them still with a  
13 plate or screw, those bones will grow together.

14                   And the same thing here. We then put screws  
15 into the human body and rods that connect it. We can see  
16 here on the X-ray, this is what the screws look like on the  
17 rod. It's holding those two bones still which will then  
18 allow them to grow together.

19                   We have bone graft, which is bone material we  
20 can procure from a bone bank. We sprinkle that bone around  
21 the area because we want the bones to eventually grow  
22 together, and that acts as like a scaffold for the little  
23 bone cells to grow together. So once we do this it's  
24 usually about a 95 percent chance that those bones will  
25 eventually heal.

1       Q     All right. And doctor, just to be clear, you put  
2 in a screw in the L4 vertebrae, a screw in the L5, you  
3 connect them with a rod. And then what is this bone  
4 material?

5       A     This is calcium. This is bone that comes from a  
6 human that has died and donated their body. That bone goes  
7 to the bone bank. The bone is processed, becomes pure  
8 calcium so there's no diseases that can be transmitted to  
9 the patient.

10           So by taking that little piece of bone from  
11 the bone bank, as I said, it acts like a scaffold. A  
12 scaffold is something that will allow other bone cells to  
13 grow through it and give them -- it's like a bridge to go  
14 from one bone to the other. Since we want number four to go  
15 to five, we need a bridge to allow those two bone to then  
16 come together.

17       Q     Doctor, let me ask you this. Was any purpose or  
18 was any part of the surgery that you performed on Miss  
19 Martinez in July of 2016 to address scar tissue from her  
20 surgery six years earlier?

21       A     No.

22       Q     I want you to assume that the defendants intend to  
23 call a doctor whose intended testimony is that the purpose  
24 of your surgery was to deal with pathologies unrelated to  
25 the August 2014 accident.

1                   Do you an opinion as to the accuracy of that  
2 anticipated testimony?

3                   MR. SQUIER: Objection.

4                   THE COURT: Subject to connection.

5                   A     Well, I feel that's inaccurate. We know the  
6 patient had prior surgery but she did well for four years.  
7 She wasn't treated, she didn't have any problems.

8                   Now there's a point in time she's involved in  
9 the accident. That disc gets damaged again. The patient  
10 does not get better.

11                  And it's not a matter of scar tissue, because  
12 scar tissue has been there for years. The problem now, as  
13 you know is the disc has been reherniated.

14                  If I gave you a jelly doughnut, like I said  
15 before, and you squash it, a little bit of jelly comes out.  
16 If a couple of days later, even though it's still dried out,  
17 if you squash it hard enough again you'll get more jelly  
18 out.

19                  That's the problem here. The patient had  
20 trauma again to the spine. That disc reherniated. It gets  
21 reinjured, develops tears in it. And all these factors put  
22 together causes that disc to be the culprit to cause pain  
23 for the patient.

24                  Q     All right. Thank you, Doctor.

25                  Doctor, you took post-operative X-rays. I

1 just want to show the jury your post-operative X-rays.  
2 They're part of your chart.

3 All right. Doctor, we're looking at a  
4 post-operative X-ray that you took?

5 A Last week.

6 Q Sorry?

7 A Last week.

8 Q Okay, yes. This one isn't last week's though, this  
9 was immediately after.

10 THE COURT: All right. Are you testifying or  
11 is the doctor testifying?

12 MS. BORDEN: I'm sorry.

13 Q Doctor, can you tell us what it is that you're  
14 looking at here?

15 A Here we're looking at X-rays, which, as I said  
16 earlier, is to look at bones. And the X-ray shows two  
17 objects there that are not normally in the human body. This  
18 is an X-ray taken after surgery. We can see that there are  
19 two screws, one screw into L4, another screw into L5, and  
20 there's a little rod, a little bar between the screws that  
21 hold that segment together. So this shows what we put into  
22 the human body, the hardware after the surgery.

23 Q Doctor, are those screws and that rod going to stay  
24 in Miss Martinez's spine, that cadaver, bone graft going to  
25 stay in her spine forever?

1 || A Yes.

2 Q All right. Doctor, how many times did you see her  
3 on follow up?

4 A Multiple times.

5 Q All right. Doctor, did she ever regain that loss  
6 of range of motion she had in her lower back?

7 A No. She lost that range of motion in that segment.  
8 We fused that segment, so that's lost forever.

9 Q Doctor, the last documented range of motion that  
10 you have, could you tell that to us, what's normal versus  
11 what you found?

12 MR. SQUIER: Can we have a sidebar?

13 THE COURT: Come up.

16 THE COURT: Continue, counsel.

17 Q Doctor, I'm just looking for you to explain to the  
18 jury the last range of motion that you found versus what is  
19 normal range of motion for the lumbar spine?

20 A So even if you do it yourself, if you bend forward  
21 at the waist, you probably can bend about 60 to 90 degrees  
22 forward. Bending sideways, you could probably move about  
23 45 degrees to the side. So I quantify that the patient had  
24 over 50 percent loss from being able to bend normally  
25 forward versus what she has to deal with. So there is a

1 significant loss of motion that the patient is experiencing.

2 Q And Doctor, would you qualify that loss of range of  
3 motion as mild or minor or slight?

4 A No; significant.

5 Q Okay. Doctor, following your fusion surgery did  
6 the patient report to you radiating pain down her left leg?

7 A Yes.

8 Q And what is the reason for that from a medical  
9 perspective?

10 A Well, what's important to note, we put screws on  
11 the left side to hold that area still. She had right leg  
12 pain before. Now, as I said, the goal of the screws is to  
13 hold the bone still until the bone heals together. So it's  
14 a race of time. Any metal will break. For example, you  
15 take a metal hanger and keep bending it, it will break, but  
16 if you hold the metal still it doesn't break.

17 So there is motion when we leave the operating  
18 room in that area. If the bones grow together solid, which  
19 I said is about a 95 percent chance, the patient will then  
20 develop a solid union and the screws won't toggle or they  
21 won't break.

22 In this instance I believe the patient does  
23 not have a solid union and those screws are toggling in  
24 there, and I think that's why she's developed these new  
25 symptoms of opposite side new leg pain and continued back

1 pain.

2 Q What does that mean for her going forward, for Miss  
3 Martinez moving forward that she has this toggle in this  
4 looseness of screws?

5 A One, she's gonna require more surgery at that level  
6 to try to get those bones to fuse. I might have to go to  
7 the front of the spine now to get the bones to fuse. That's  
8 a problem for her.

9 Q Doctor, let me ask you this question. Do you have  
10 an opinion to a reasonable degree of medical certainty as to  
11 whether Miss Martinez sustained a significant limitation to  
12 her lower back as a result of the accident on August 28,  
13 2014?

14 A I believe she did.

15 Q All right. What is that opinion based on?

16 A It's based on the fact she was doing well before,  
17 it's based on the fact that the studies that we saw after  
18 the accident that showed new injuries, based on the fact  
19 that she had an area that ultimately required spinal  
20 surgery, and also based on the fact that she still is going  
21 to require more surgery.

22 Q And Doctor, do you have an opinion to a reasonable  
23 degree of medical certainty as to whether or not Miss  
24 Martinez sustained a permanent, consequential loss of use to  
25 her lumbar spine as a result of that accident?

1       A    Absolutely.

2       Q    And why is that?

3       A    Because, as stated, the patient lost motion forever  
4 at that area. She had to undergo a major spinal procedure  
5 and she still is being treated.

6       Q    And to a reasonable degree of medical certainty  
7 what was the need for that spinal fusion that you performed?

8       A    Based on the facts that the patient had  
9 incapacitating mechanical back pain at that level, and the  
10 goal of the surgery was to stop that motion to allow those  
11 bones to grow together and become one bone where they would  
12 not have further striking against one another.

13      Q    So the fusion surgery itself does what to that  
14 segment?

15      A    It takes away motion.

16      Q    Permanently?

17      A    Permanently.

18      Q    Doctor, I want you to assume that we heard from  
19 Miss Martinez that after your surgery her back felt a little  
20 better but that she still has continuing back pain,  
21 continuing loss of motion in her back and continuing pain  
22 down her left leg. Is that clinically consistent with her  
23 picture, in your medical opinion?

24      A    I believe so.

25      Q    And Doctor, to a reasonable degree of medical

1 certainty are those conditions now permanent?

2 A Absolutely.

3 Q What is her prognosis at this time?

4 A Poor.

5 Q What is she going to require in the future, to a  
6 reasonable degree of medical certainty?

7 A Well, first of all, she needs to get a CAT scan  
8 now. As I said, CAT scan and X-ray show bone. I need to  
9 get thin slices through the area where the bone graft is to  
10 see if the bone graft has taken.

11 If the bone graft is solid then she doesn't  
12 need another spine surgery at that level now. But I think  
13 since the screws are toggling in that area she will require  
14 further surgery to try to get those bones to heal. And also  
15 once we take away motion at one level it takes care of the  
16 patient, but it's not a type of procedure that will provide  
17 benefit for the entire life of the patient. Usually around  
18 15, 10 to 15 years after that initial surgery the levels  
19 above and below, which are now doing the work for the 4/5  
20 level, could degenerate, thus requiring more surgery at  
21 those levels too.

22 Q Okay. So Doctor, it's your opinion, just to sum  
23 up, to a reasonable degree of medical certainty, she may  
24 need a revision at this level and then another fusion either  
25 at a level above or the level below; is that correct?

1 A That's correct.

2 Q And Doctor, how much do each of these surgeries  
3 cost?

4 A About a hundred thousand dollars.

5 Q Each?

6 A Yes.

7 Q If she needs a revision in a level below and above  
8 that's \$200,000?

9 A Correct.

10 Q Thank you very much, Doctor. I have no further  
11 questions.

12 THE COURT: Counsel.

13 MR. SQUIER: Thank you, Judge.

14 CROSS-EXAMINATION

15 BY MR. SQUIER:

16 Q Good morning, Doctor.

17 A Good morning, sir.

18 Q How much did the surgery that you performed cost?

19 A I'm not sure. Probably hospital cost, anesthesia,  
20 probably close to that, less 50,000, 75.

21 Q Why did you just tell us a hundred thousand per  
22 surgery?

23 A That's the usual and customary rate.

24 Q Why did you cut her a break?

25 A I didn't.

1 Q Why did you tell us 5-75,000?

2 A This was an accident. She will have to have  
3 private insurance to cover that in the future. That's what  
4 private insurance costs.

5 Q Okay. Well, how did you get paid for this surgery?

6 A I don't remember. I believe it was a car accident,  
7 so it's usually no fault.

8 Q But take a look at your records.

9 A Okay.

10 Q Take a look at that April 4th record.

11 A April 4th?

12 Q Yes, sir, and the May 12th addendum to it, page  
13 four out of five.

14 A Yes. Addendum patient surgery denied by her  
15 insurance company with question of disc pathology at L5/S1.

16 Q Right. And it goes on to say you reviewed MRI  
17 requested for surgery -- is not radiographically or  
18 clinically relevant, does not require surgical intervention.  
19 Correct?

20 A At L5/S1. She required surgery at L4/5.

21 Q Why did you request surgery at L5/S1?

22 A She didn't.

23 Q Why did you request it?

24 A We requested surgery at that level, but the problem  
25 is the doctor who did the first surgery called the surgery

1 level that he operated on at L5/S1.

2 Q Okay.

3 A So that's why the insurance company didn't know.

4 It was confusing to the insurance company.

5 Q Regardless, we can agree the insurance company  
6 didn't pay you, correct?

7 A I don't remember, sir, whether I got paid or not.

8 Q What's your title at the spine institute?

9 A I'm the director.

10 Q You're the director. Your main office is in  
11 Westbury?

12 A Correct.

13 Q And then you have ten other locations, right?

14 A Yes.

15 Q Okay, but you're in charge of the whole thing,  
16 right?

17 A Yes.

18 Q Okay. And you would know if you didn't receive  
19 fifty to seventy five to a hundred thousand dollars in your  
20 practice, right?

21 A I do about three, four hundred surgeries a year. I  
22 have my own billing staff that takes care of that thing. I  
23 pretty much take care of patients, not the billing aspect of  
24 the practice.

25 Q Doctor, come on. I'm at a law firm with forty

1 attorneys. We have a managing partner. He also has someone  
2 in charge of billing. You better believe she notifies him  
3 if we don't get paid.

4 MS. BORDEN: Objection; relevance.

5 THE COURT: Sustained as to form.

6 Q Doctor, are you telling me that if you don't get  
7 paid for an operation, one of these three to four hundred  
8 surgeries that you will perform, your billing manager  
9 doesn't say to you, hey, by the way --

10 A Not that I'm aware of, no.

11 Q What's the name of your billing person?

12 A Sandra.

13 Q How long has Sandra been working with you?

14 A At least eight years.

15 Q In those eight years she never once come and said,  
16 we've got a problem, we need payment on that?

17 A I have a COO managing the practice, I have an  
18 office manager, manager of billing. They take care of all  
19 that.

20 Q Okay, okay. So it's your testimony you don't know  
21 how you got paid for this surgery, but we can agree the  
22 insurance company didn't pay for it; is that right?

23 A Evidently, my notes say that the insurance company  
24 denied -- no fault insurance company denied that insurance  
25 for this surgery, yes.

1       Q     Okay. So is it possible you haven't been paid for  
2 the surgery yet?

3       A     It's possible too, sir.

4       Q     Okay. So is it possible you're waiting for an  
5 award potentially in this case to get paid for the surgery?

6               MS. BORDEN: Objection to form.

7               THE COURT: You could answer the question,  
8 Doctor.

9       A     Like I said, sir, I'm not aware whether I got paid  
10 or didn't get paid for it, this surgery. My standard in my  
11 practice is to treat patients as a doctor, not as a business  
12 man.

13      Q     Come on, Doctor. If you weren't a businessman why  
14 would you have eleven locations spread out all over  
15 Long Island and New York?

16               MS. BORDEN: Objection.

17               THE COURT: I'll allow it.

18      A     We are the premier spine institute in New York, the  
19 New York Spine Institute. I have two other spinal surgeons,  
20 along with pain doctors. I don't go to all ten locations.  
21 I go to probably Long Island, Queens and Manhattan  
22 locations.

23      Q     Okay, so there's at least two other spinal  
24 surgeons, correct?

25      A     Yes.

1 Q How many pain doctors?

2 A One.

3 Q One, okay. Any other doctors on staff?

4 A Doctor of physical therapy, we have a doctor of  
5 psychiatry.

6 Q What about radiology?

7 A And a radiologist.

8 Q One or more than one?

9 A One.

10 Q How many MRI facilities do you have?

11 A I only have one MRI.

12 Q Is that in Westbury?

13 A Yes.

14 Q How many support staff do you employ?

15 A 30 people.

16 Q Okay. Over the eleven offices?

17 A Yes.

18 Q Okay. Everybody has to get paid, right?

19 A Yes.

20 Q So you would agree with me this is a business and  
21 you're in your business to make money, correct?

22 A I'm in the business to help people, like my father  
23 did.

24 Q Okay.

25 A But I have to make a living, yes.

1           Q     If you weren't making money would all of your  
2 employees volunteer to work for you for free?

3           A     No.

4                    MS. BORDEN: Objection.

5                    THE COURT: I'll sustain it.

6           Q     Are you familiar with the term secondary gain?

7           A     Yes.

8           Q     Tell us what that is?

9           A     Theoretically, secondary gain is what the patient  
10        might have in the interest of getting a beneficial outcome  
11        from a case if they're injured.

12          Q     Sure. Like monetary benefit, correct?

13          A     Yes.

14          Q     Like a lawsuit?

15          A     Yes.

16          Q     Asking this jury to award millions of dollars for  
17        an injury?

18          A     I'm not asking for millions of dollars. I'm  
19        testifying on behalf of my patient.

20          Q     Very good, Doctor, very good. All right.

21                    You've had your New York license since 1996?

22          A     '95.

23          Q     When did you first open the New York Spine  
24        Institute?

25          A     About five years after that.

1 Q So 2000, 2001?

2 A I think so, sir, yes.

3 Q How long have you been testifying in court?

4 A Probably as long as I've had patients.

5 Q Since '95 or '96?

6 A Yeah, short time after that, yes.

7 Q Okay. Let me ask you this. On average, how many  
8 times a year do you testify in court?

9 A I don't keep track.

10 Q Never once looked at it?

11 A I don't keep track. I don't make it a profession  
12 of appearing in court. I have a very busy schedule taking  
13 care of patients in my own offices and performing surgeries  
14 throughout the year.

15 Q Well, you said three to four hundred surgeries per  
16 year?

17 A Last year I did around three hundred and fifty  
18 surgeries.

19 Q This surgery that you did, the fusion, how long  
20 does that take?

21 A The surgery?

22 Q Yes, sir.

23 A It can take up to anywhere from three to six hours.

24 Q Okay. And all the surgeries you perform are on the  
25 neck, middle back, lower back?

1 A Yes.

2 Q So there's 357 surgeries you did last year?

3 A Yes.

4 Q Do you work on weekends, doing surgeries on  
5 weekends?

6 A No.

7 Q So that's gotta be Monday through Friday?

8 A I operate three days a week, see patients two days  
9 a week.

10 Q What days do you operate?

11 A Usually Monday, Wednesday, Fridays.

12 Q Monday, Wednesday, Friday?

13 A Or Tuesday, Wednesday, Friday.

14 Q Okay. Three days a week though, right?

15 A Yes.

16 Q Okay. So how many weeks are in a year? 52. I'll  
17 help you.

18 What's 52 times three?

19 A Times three days?

20 Q Yes, sir.

21 A 156.

22 Q Very good. So you've got 156 days to perform 357  
23 surgeries?

24 A Yes.

25 Q Do you take any vacations?

1 A Yes.

2 Q How long do you take each year for vacation, two  
3 weeks, three weeks?

4 A I could take one vacation a year, ten days.

5 Q Ten days?

6 A Uh-huh.

7 Q So two weeks?

8 A Yeah.

9 Q So we've got to knock six days off of that. Now  
10 we're down to 150 days.

11 A Okay.

12 Q For 357 surgeries.

13 A Yes.

14 Q At fifty to seventy five to a hundred thousand a  
15 pop; is that right?

16 A I don't get all that money. The hospital,  
17 anesthesia, equipment charges.

18 Q All right, okay. How much do you get?

19 A Per surgery?

20 Q Yes, sir.

21 A Are you talking about usual and customary from a  
22 normal insurance company or no fault, worker's comp?

23 Q How much do you get in your pocket on the typical  
24 lumbar fusion surgery?

25 A How much do I get in my pocket?

1 Q Yes, sir.

2 A After my overhead, paying all the thirty employees,  
3 running all the offices, I have no idea, sir.

4 Q No idea?

5 A No.

6 Q Well, do you get paid when your other two doctors  
7 perform surgeries?

8 A Do I get paid when they get --

9 Q Right.

10 A I don't make money off of them.

11 Q Okay. What's the setup of your spinal institute?

12 MS. BORDEN: Objection.

13 A What are you specifically asking, sir?

14 Q Are you a shareholder, are you the sole proprietor?

15 A I'm the sole proprietor.

16 Q You're getting \$10,000 for being here today?

17 A Correct.

18 Q Is that on top of whatever money you received to  
19 see Miss Martinez in your office?

20 A Correct.

21 Q That's on top of whatever money you received to  
22 perform the surgery?

23 A Correct.

24 Q When was the first time you saw Miss Martinez in  
25 your office, what day? I know you told us December, but

1 December what?

2 A I think it's December 7th.

3 Q 7 or 17?

4 A I have a note here, December 7th.

5 MR. SQUIER: Your Honor, may I approach? The  
6 first record I have is December 17th.

7 THE COURT: Why don't you approach the  
8 doctor's records, make sure he has what you have.

9 MR. SQUIER: Absolutely, Judge. Thank you.

10 Q Did you actually meet with her on the 7th?

11 A Doesn't look like it.

12 Q Your first meeting with her was on the 17th?

13 A I believe so, yes.

14 Q When she came to you, was she only complaining  
15 about her lower back?

16 A She had a chief complaint of neck and low back.

17 Q Okay. And you had -- well, somebody at your  
18 facility did MRI of both her cervical and lumbar spine,  
19 correct?

20 A Okay.

21 Q I'm sorry, I didn't hear you, Doctor. Is that yes?  
22 I apologize. I was rustling paper.

23 A I don't see the cervical. If you're telling me  
24 that, I believe you.

25 Q Take your time, take your time. I don't want to

1 rush you. Take a look at your report, your notes from  
2 December 17th, if you would.

Page three out of four under treatment plan.

4 A On December 17, 2015. I only have page three of  
5 three on this record here.

6 Q Okay. On page three of three did it say treatment  
7 plan?

8 || A Yes.

9 Q Okay. What does it say there regarding cervical  
10 MRI?

11           A     I'm awaiting the actual MRI report of the cervical  
12 spine.

13 Q That would seem to indicate cervical MRI was taken,  
14 right?

15 A Yes, I believe so, yes.

16 Q But what about the first page of that report under  
17 radiology. Does it say what you reviewed?

18 A I reviewed recent cervical and lumbar MRI dated  
19 December 15, 2015.

20 Q All right. There's something about evidence of  
21 disc herniation and prior surgery at L4/5, right?

22 A Yes.

23 Q How about right up above that where it says past  
24 medical history? What does it say there?

25 A No medical history.

1           Q     Underneath where it says hospitalization and  
2     surgeries, what does it say there?

3           A     No surgical history.

4           Q     How would you get that information, from your  
5     client or actually reviewing records?

6           A     Asking the client.

7           Q     You told us about what she told you regarding her  
8     symptoms, how she was absolutely pain free, had no worries  
9     in the world, free and easy. All of a sudden after this  
10    accident, excruciating pain?

11          A     Yes.

12          Q     You're relying on her veracity, you're relying on  
13    her to tell the truth?

14          A     Yes.

15          Q     As you do with everyone that comes to see you?

16          A     Right.

17          Q     You're not a mind reader, you can't tell if  
18    somebody is exaggerating or fibbing?

19          A     Right.

20          Q     Where in your report of December 17th does it say  
21    anything about reviewing that October 2014 MRI of the lumbar  
22    spine?

23          A     It doesn't. It says I reviewed the 2015.

24          Q     Correct. Did you review any records at all other  
25    than the MRI your facility took when you first met with her

1 on December 17th?

2 A I don't recall.

3 Q You probably would have made a note of it?

4 A I could.

5 Q You might not have?

6 A It's possible. I don't recall.

7 Q Is it missing from your report?

8 A I don't recall so, sir.

9 Q Is it standard practice to put down what you  
10 actually review as you did with this 2015 MRI?

11 A Yes.

12 Q We could agree there's nothing in here about her  
13 prior records other than her statement she never had surgery  
14 before and your review of the 2015 MRI, right?

15 A Correct.

16 Q Okay. Did you ever talk to Dr. Barshay?

17 A Dr. who?

18 Q Dr. Barshay?

19 A No.

20 Q Do you know who Dr. Barshay is?

21 A I know the name but I don't know him personally.

22 Q How about Dr. Trimba?

23 A I know who he is also.

24 Q Did you talk to him?

25 A No.

1 Q Did you talk to Dr. Sclaris?

2 A No.

3 Q Did you talk to Dr. Reyfman?

4 A No.

5 Q Did you review any of their records?

6 A I reviewed Dr. Reyfman's.

7 Q When did you review Dr. Reyfman's records?

8 A I reviewed them for this case, and I believe I had  
9 the discogram result before this surgery.

10 Q Okay. But at what point in time did you review  
11 them? It was clearly after December 17th of 2015, correct?

12 A I don't recall reviewing them back at that time,  
13 sir.

14 Q Would you have made a note of it? Probably, maybe?

15 A I would think so, yes.

16 Q Me too. You seem like a thorough guy. I would  
17 assume they would be in here.

18 At any point does it show that you reviewed  
19 the actual records for her actual treatment from the other  
20 doctors that actually treated her?

21 Take your time.

22 A I don't think so, sir.

23 Q Okay. Thank you, Doctor.

24 Let me back up for a minute. I know you told  
25 us you don't recall or didn't keep track of how many times

1 over the last 20 years, 25 years you testified total.

2                   When was the last time you actually testified?

3           A    I think a couple of months ago.

4           Q    Okay. When is the next time you're gonna testify?

5           A    Nothing on my schedule.

6           Q    Okay. You have somebody to keep your schedule?

7           A    Of course.

8           Q    So they tell you when you're gonna go testify?

9           A    Yeah.

10          Q    Okay, all right.

11                   Let's go back to that December 17th of 2015  
12 report, please. You have Miss Martinez's height and weight  
13 listed, correct?

14          A    Yes.

15          Q    5 foot 1, 220 pounds?

16          A    Yes.

17          Q    Can we agree that a person's weight can factor into  
18 degeneration and back problems?

19          A    It could.

20          Q    Let's go back to the treatment plan on page three.  
21 That's where you're talking about awaiting the MRI of the  
22 cervical spine and you also say that you're already  
23 anticipating surgery of her spine, correct?

24          A    The low back, yes.

25          Q    The very first time you met her?

1 A Yes.

2 Q Without reviewing any records?

3 A No.

4 Q Without knowing if she actually did conservative  
5 treatment or management?

6 A No, the patient told me she did.

7 Q But you didn't see any corroboration or  
8 verification or evidence of that?

9 A I did not see any corroboration. The patient told  
10 me she had failed conservative treatment. She hadn't gotten  
11 better. I know she had prior surgery. I saw the MRI. I  
12 examined her. She came to me for a surgical opinion.

13 Q Okay. You actually put in there that you saw  
14 evidence of the prior surgery on MRI, right?

15 A Yes.

16 Q In that prior surgery what did they do to her  
17 spine? Did they remove part of the bone?

18 A Yes.

19 Q That can lead to destabilization?

20 A It could.

21 Q The procedure that you performed, what's the  
22 purpose of that again? Is it stabilization?

23 A No, the purpose is to hold those bones still for  
24 them to fuse together.

25 Q That's not stabilization?

1       A     It adds stabilization but she didn't have an  
2 unstable spine.

3       Q     At all?

4       A     No.

5       Q     What evidence do you have that those screws are  
6 toggling, besides what she told you?

7       A     The X-ray I took last week in my office.

8       Q     That shows -- wait. Last week in your office?

9       A     Last week.

10      Q     What date?

11      A     I think I saw her Monday last week.

12      Q     Monday of last week, okay. The same day we started  
13 picking a jury?

14      A     I don't know, sir, when you picked a jury.

15            MS. BORDEN: Objection.

16      Q     When was the last time you saw her before that?

17      A     It looks like February of last year.

18      Q     When did you see her before that?

19      A     December of 2016.

20      Q     And how about before that?

21      A     October.

22      Q     And before that, was it the follow-up two weeks  
23 after the surgery?

24      A     I'm sure if you're asking me it is, right?

25      Q     I'm not a witness. I can't testify.

1       A    So it looks like July I saw her, it was two weeks  
2 after surgery.

3       Q    July 25th?

4       A    Uh-huh.

5       Q    Okay. Would you agree with me that an MRI is a  
6 better way to view a disc and possible herniation of the  
7 spine than an X-ray?

8       A    Yes.

9       Q    Why is that?

10      A    Because, as I said to the jury earlier, MRI shows  
11 disc, shows soft tissue. X-rays shows bone.

12      Q    Do you perform annuloplasties?

13      A    No.

14      Q    Percutaneous discectomies?

15      A    No.

16      Q    Do you know what gauge needle is used for  
17 perc-disc?

18      A    No.

19      Q    Does the pain management doctor in your office  
20 perform those procedures?

21      A    No.

22      Q    How many patients do you have right now,  
23 personally?

24      A    I don't understand your question.

25      Q    Sure. The number of patients, how many do you

1 have? I know you cancelled 60 patients.

2 A 60.

3 Q Above and beyond that 60, how many more do you  
4 have?

5 A I have a lot of patients, sir.

6 Q More than a thousand?

7 A That I've seen over the years, absolutely.

8 Q No. Right now, current patients that you're  
9 providing treatment or office visits to?

10 A Like I know for today I had 60 patients I didn't  
11 see all that day. That's from 8:00 to 5:00 in the  
12 afternoon. I would say probably two thirds of those are  
13 follow-up patients and probably one-third of those would  
14 probably be new patients.

15 Q Okay. What percentage of patients do you actually  
16 perform surgery on?

17 A Well, if I see 60 patients a day, twice a week, as  
18 I said, roughly, I see a hundred patients a week, that's  
19 almost four thousand patients a year, and I operate on ten  
20 percent of those.

21 Q What percentage of -- so now we've got to a number,  
22 at least a thousand people. Was it four thousand or a  
23 thousand? I didn't hear you.

24 A All right. If I see about hundred patients a week  
25 with the assistance of my PA's also, so there's a hundred

1 patients seen a week, that's four hundred a month, that's  
2 about four thousand patients a year.

3 Q A year, got it.

4 What percentage of your patients are referrals  
5 from plaintiff personal injury attorneys?

6 A I really don't keep track, but based on insurance,  
7 everything is probably broken down, third, third and a  
8 third.

9 Q When you come into court to testify, is that  
10 generally on behalf of plaintiff's personal injury  
11 attorneys, at their request?

12 A Yes.

13 Q Like this case, right?

14 A Yes.

15 Q Have you ever testified for her firm before?

16 A I believe so.

17 Q There's nothing wrong with that. You're treating  
18 the patients who come in to testify.

19 A Right.

20 Q So remind me again, how old is Miss Martinez?

21 A She must be -- last year she was 33. She's  
22 probably 34, 35.

23 Q That first procedure she had back in 2010, she was  
24 27 years old at that time?

25 A Correct.

1       Q     Is it common or usual to see degeneration or back  
2 issues similar to what she had, in people at 27 years old  
3 with no precipitating events, no motor vehicle accident, no  
4 slip and fall, no injury?

5       A     Is it common to see degenerative changes in a  
6 27-year old?

7       Q     Yes, sir.

8       A     No.

9       Q     Little unusual?

10      A     Yes.

11      Q     And the procedure where they actually took part of  
12 her bone out in 2010, like you said, that can lead to  
13 destabilization, it can also cause arthritic changes?

14      A     You said that.

15      Q     You agreed with me?

16      A     I didn't agree. You asked me if there was  
17 instability there. I said no.

18      Q     Can it cause instability, that procedure?

19      A     Just unilateral, one side. They didn't take out  
20 enough bone to destabilize the spine.

21      Q     Is it possible for that procedure to cause  
22 instability of the spine?

23      A     Microdiscectomy?

24      Q     From 2010.

25      A     She had a hemilaminectomy.

1 Q What is that?

2 A Half of the bone was taken out and they took the  
3 disc out. That doesn't destabilize the spine.

4 Q They took the disc out?

5 A Yeah.

6 Q At what level?

7 A At 4/5.

8 Q Okay. So when you looked at the MRI and you were  
9 describing the dark space to us, is that because there was  
10 no disc at all there from her prior surgery?

11 A No. As a matter of fact, if you look at that MRI,  
12 counsel, you'll see she still has high space. I showed the  
13 jury it was high space there, it was dark. So it's like  
14 getting the jelly. In the Dunkin Donuts scenario, if you  
15 take the doughnut today and you keep it on the table for  
16 three days, if you took a little bit of the jelly out the  
17 first day but didn't eat the doughnut, it's still there  
18 three days from now.

19 Q You just told you they took the disc out?

20 A They didn't take the whole disc out. They took the  
21 jelly of the disc out.

22 Q Okay. So you've got an empty doughnut is what  
23 you're telling us?

24 A Partial empty. They didn't take the entire jelly  
25 out of the doughnut. They took the jelly that came out of

1 the doughnut, which I think that was causing pain. So they  
2 took that portion out in the initial surgery.

3 Q Okay. Did you see any jelly coming out of the  
4 doughnut when you opened her up?

5 A No.

6 Q Okay.

7 The range of motion measurements that you did,  
8 you testified to muscle weakness in her ankle?

9 A Right.

10 Q What about her hand or wrist? Did you find muscle  
11 weakness there?

12 A I don't believe that was documented.

13 Q Are you sure?

14 A No, I'm not sure.

15 Q Okay, let's look.

16 A It looks like she also had some weakness in her  
17 wrist.

18 Q And you actually thought she had radiculopathy in  
19 her cervical spine as well, right?

20 A I was focusing on the lower back, sir.

21 Q Right. But you agree with me that you said the  
22 patient is status post motor vehicle accident with result in  
23 cervical and lumbar radiculopathy, right?

24 Page three, where it says assessment.

25 A Yes.

1 Q Okay. Did you do anything for her neck?

2 A No.

3 Q When is her next back surgery scheduled?

4 A It's not scheduled.

5 Q When is her CAT scan scheduled?

6 A CAT scan, I gave her prescription for the CAT scan.

7 Q Do you do those in your office?

8 A No.

9 Q What's the difference between a subjective test and  
10 an objective test?

11 A One is when the patient controls something versus  
12 one they can't control.

13 Q Subjective would be in their control, objective is  
14 out of their control, right?

15 A Correct.

16 Q Subjective can include a lot of these range of  
17 motion tests that you're doing?

18 A Right.

19 Q Okay. If somebody's injured as a result of a motor  
20 vehicle accident for instance, there are indicators that you  
21 could see on MRI and X-rays, acute injuries or trauma,  
22 correct?

23 A Repeat that, please.

24 Q Sure. For instance, if someone like Miss Martinez  
25 were actually injured in a motor vehicle accident and MRI or

1 X-rays were taken shortly thereafter, you would expect to  
2 see indications of an acute or traumatic injury, right?

3 A You would expect to see, if there is evidence of  
4 damage, damage on the MRI. The MRI would show damage.

5 Q Sure. You'd expect swelling, that's something  
6 you'd like to see? Fluid, right?

7 A Yes.

8 Q Okay. You didn't see any of that in the MRI from  
9 October 6th, weeks after the accident, right?

10 A I saw a herniated disc there.

11 Q Right. Did you see any swelling or fluid buildup?

12 A As I said, there was a dried out disc. There  
13 wasn't that much fluid to start out with.

14 Q What about the level above?

15 A That was the level I looked at.

16 Q Desiccation, that's a process, as you explained to  
17 us. You used the jelly doughnut there. Really the water  
18 starts to leave the disc, they start to get dehydrated?

19 A Yes.

20 Q Dried out?

21 A Yes.

22 Q There's no way to reinflate them? You can't put  
23 the water or jelly back in?

24 A Yes.

25 Q That happens to all of us as we age, right?

1 A Yes.

2 Q You have a website, right?

3 A Yes.

4 Q And you've got a whole bunch of references in there  
5 to how it naturally happens, neck and back pain, and address  
6 those in yours too, right?

7 A Yes.

8 Q But again, 27 years old, you wouldn't expect to see  
9 significant desiccation or degeneration?

10 A After her surgery?

11 Q No, no; at all, in general?

12 A Well, she had surgery.

13 Q I'm not referring to her. In general?

14 A No.

15 MS. BORDEN: Objection; relevance.

16 THE COURT: I'll allow it.

17 A An average 27-year-old, you would not expect to see  
18 degeneration of a disc.

19 Q Okay. Let me ask you this. Do you have an  
20 independent recollection of actually meeting with her on  
21 December 17th of 2015?

22 A No.

23 Q Do you know how many people you did meet with that day?

24 A No.

25 Q Do you type your report or dictate?

1 A Dictate.

2 Q Who types it?

3 A It's dragged onto a computer. It's automatic.

4 Q Perfect. I've got to get one of those.

5 When do you dictate a report, as you're doing  
6 your examination or afterwards?

7 A As I'm doing it.

8 Q The MRI that your facility did, do you take them  
9 with contrast or without?

10 A I don't recall. Usually after surgery is done with  
11 contrast.

12 Q What about before surgery?

13 A No.

14 Q You don't do it with contrast?

15 A Contrast is only indicated after surgery.

16 Q What is contrast? Tell us about that.

17 A So counsel is talking about contrast. Contrast is  
18 something we inject into the patient veins to give us a  
19 contrast. And what we want to contrast is if a person's had  
20 surgery and you want to see whether they have scar tissue or  
21 whether they have, let's say, just a new herniation, you  
22 give them contrast. Contrast goes into your veins. It's  
23 gonna go all over your body that has veins and arteries.  
24 And if there's scar tissue, they would theoretically -- the  
25 dye will give -- you would be able to see a difference where

1 the scar tissue is versus where the dye goes.

2 So if you inject the dye, you're gonna be able  
3 to determine whether that's scar tissue or whether that's  
4 not scar tissue. I don't know if I'm explaining that.

5 You understand?

6 So we do dye after surgery because we want to  
7 determine whether the person -- any time you cut yourself  
8 you develop scar tissue. If you have surgery, the body  
9 heals with a scar. So if you've had surgery and you get MRI  
10 after surgery, you have to get a normal MRI and an MRI with  
11 contrast. The contrast is given to the patient in order for  
12 the radiologist to look at film and try to determine whether  
13 they're looking at scar tissue or normal tissue.

14 Q All right. Can we agree she had scar tissue from  
15 the 2010 surgery?

16 A Absolutely.

17 Q Okay. What did you do to address that in your  
18 surgery in 2016?

19 A I didn't address the scar tissue. I addressed the  
20 fact she had a damaged disc and that we wanted to fuse that  
21 level to make those bones grow together so she wouldn't have  
22 that motion in that level that causes the pain.

23 Q Did you do anything regarding decompression?

24 A No.

25 Q Just stabilization?

1 A Let me review my note.

2 Q Please do.

3 A If you're asking me a question you know the answer.

4 Q Certainly not the smartest person in the room,  
5 Doctor, but...

6 A Yes. So my operation note from July 12, 2016 says  
7 that I did just the fusion.

8 Q Right. Okay, okay.

9 And how long after that did she start  
10 reporting left leg pain?

11 A So about three months later she started getting  
12 some pain on and off.

13 Q She told you she didn't have any pain in her left  
14 leg prior to that; is that right?

15 A Right.

16 Q The surgery you performed, that was done in a  
17 hospital, correct?

18 A Yes.

19 Q Would you agree with me it's a better practice to  
20 perform surgery at a hospital than, let's say, a doctor's  
21 office or clinic?

22 A Well, major surgery we do in hospitals. Small  
23 procedure you could do in doctor's offices.

24 Q Okay. Would you ever do a surgical procedure in  
25 your office versus taking your patients to NYU?

1 A I do all my big surgeries at a hospital.

2 Q Okay. So Westbury, that's on Long Island?

3 A Yes.

4 Q About what exit do you take on the Long Island  
5 Expressway?

6 A I don't know.

7 Q You don't know, okay.

8 A I just know how to get there.

9 Q Ever drive on the Long Island Expressway?

10 A Yes, all the time.

11 Q Ever go to exit 70?

12 A All the way out?

13 Q Yeah. Brookhaven?

14 A I guess so.

15 Q Okay. If you were driving back from Brookhaven,  
16 say the BQE on that Long Island Expressway, how many  
17 different hospitals would you drive past on your way down?

18 MS. BORDEN: Objection.

19 THE COURT: I don't understand the relevancy.

20 MR. SQUIER: I'll move on, Judge.

21 Q Did you ever review films, any sort of images,  
22 diagnostic images from the 2010 procedure or treatment?

23 A I don't think so.

24 Q So, there's -- when you're asked what a prognosis  
25 is, what does that mean?

1 A How well I think the person's gonna do.

2 Q And your testimony today is that your prognosis for  
3 Miss Martinez is poor; is that right?

4 A Yeah.

5 Q And your prognosis in all your reports from the  
6 whole time that you did treatment with her from 2015, '16  
7 and February of '17 is guarded. What is guarded?

8 A Concerned.

9 Q Concerned, but not poor, right?

10 A Well, now that I know she might have more surgery I  
11 think it's going from the guarded to poor. I'm concerned.

12 Q Now that you came into testify to this regarding  
13 her attempt to get money from my clients, now you changed  
14 your prognosis to poor?

15 MS. BORDEN: Objection.

16 THE COURT: Sustained.

17 MR. SQUIER: I have no more questions.

18 REDIRECT EXAMINATION

19 BY MS. BORDEN:

20 Q Doctor, do you have the 2010 op report as part of  
21 your records?

22 A Yes.

23 Q I want to refer you to the second page of that op  
24 report. And it's in evidence.

25 MR. SQUIER: It is, sir.

1           Q    They use the word on the second page of the op  
2 report. They use a word "microdissector." Did they do --  
3 they did a microdisectomy during that laminectomy?

4           A    You're talking about my op report?

5           Q    No, I'm talking about the 2010 op report. I'm  
6 sorry.

7           A    That wouldn't be here in my --

8           Q    Let me just give you a copy. You've seen it,  
9 correct, Doctor?

10          A    I believe so, yes.

11          Q    And let me just provide you with this copy for  
12 reference. They did what was called a microdisectomy  
13 during that 2010 procedure, correct?

14          A    Yes.

15          Q    Meaning, they took a very, very small portion of  
16 the jelly within the disc; is that right?

17          A    Correct.

18          Q    All right. And let's call it by its medical name.  
19 It's not jelly. The outside is called the annulus and the  
20 inside is called the --

21          A    Nucleus pulposis.

22          Q    And when you went in and did your surgery -- and by  
23 the way, Doctor, let me just ask you. We used this the  
24 other day. Is this a healthy-looking disc?

25          A    Yes.

1           Q     And this gray part is the annulus and this part is  
2 the nucleus?

3           A     Yes.

4           Q     All right. And when you went in -- and this is the  
5 procedure, by the way, that was done by Dr. Reyfman before  
6 you saw Miss Martinez and before you performed your surgery.

7                   When you went -- you were asked on  
8 cross-examination, did you see the jelly leaking out during  
9 your surgery, and you said you didn't. Why is that?

10          A     Because I didn't want to go through all that scar  
11 tissue and further damage the -- potentially damage the  
12 patient even further. My goal was to stay away from that.  
13 My goal was to merely make those bones grow together. They  
14 would become one bone and then you don't have the friction  
15 any more.

16          Q     And Doctor, didn't Dr. Reyfman actually cauterize  
17 or close that tear in the annulus that the jelly couldn't  
18 get out further before you ever even saw Miss Martinez?

19          A     Yes.

20          Q     Thank you.

21                   MS. BORDEN: No further questions.

22                   THE COURT: Anything, counsel?

23                   MR. SQUIER: One question.

24                   RECROSS-EXAMINATION

25                   BY MR. SQUIER:

1 Q Just to be clear, when you cut her open and you  
2 were drilling in the spine, you didn't actually see anything  
3 leaking out of the disc, no jelly?

4      A      Correct.

5 MR. SQUIER: Nothing further.

8 (Witness steps off witness stand.)

9

\* \* \* \*

11

It is hereby certified that the foregoing is a true and accurate excerpted transcript of the proceedings.

13

14

15

16

17

18

10

20

1

  
Miriam Kaplan

MIRIAM KAPLAN  
Senior Court Reporter